

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF ARKANSAS
CENTRAL DIVISION

DYLAN BRANDT, et al.,

Plaintiffs,

v.

No. 4:21CV00450 JM

November 28, 2022
Little Rock, Arkansas
8:57 AM

LESLIE RUTLEDGE, et al.,

Defendants.

TRANSCRIPT OF BENCH TRIAL - VOLUME 5
BEFORE THE HONORABLE JAMES M. MOODY, JR.,
UNITED STATES DISTRICT JUDGE

APPEARANCES:

On Behalf of the Plaintiffs:

MR. CHASE STRANGIO, Attorney at Law
MS. LESLIE COOPER, Attorney at Law
MR. JAMES D. ESSEKS, Attorney at Law
American Civil Liberties Union
125 Broad Street, Suite 1800
New York, New York 10004-2400

MS. BREEAN WALAS, Attorney at Law
Walas Law Firm, PLLC
Post Office Box 4591
Bozeman, Montana 59772

MR. AVIV S. HALPERN, Attorney at Law
MS. LAURA KABLER OSWELL, Attorney at Law
Sullivan & Cromwell, LLP
1870 Embarcadero Road
Palo Alto, California 94303

Appearances continuing...

Pl. Trial Ex. 080

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES CONTINUED:

On Behalf of the Plaintiffs:

MR. ARUN BODAPATI, Attorney at Law
MS. LAUREN M. GOLDSMITH, Attorney at Law
Sullivan & Cromwell, LLP
125 Broad Street, Suite 2424
New York, NY 10004-2498

MR. DANIEL J. RICHARDSON, Attorney at Law
Sullivan & Cromwell LLP
1700 New York Avenue
Washington, DC 20006

MR. GARY L. SULLIVAN, Attorney at Law
ACLU of Arkansas
Legal Division
904 West 2nd Street, Suite One
Little Rock, AR 72201

MS. SHARON ELIZABETH ECHOLS, Attorney at Law
Gill Ragon Owen P.A.
425 West Capitol Avenue
Suite 3800
Little Rock, AR 72201-2413

On Behalf of the Defendants:

MR. DYLAN JACOBS, Attorney at Law
MR. MICHAEL CANTRELL, Attorney at Law
MS. AMANDA LAND, Attorney at Law
MS. HANNAH TEMPLIN, Attorney at Law
Arkansas Attorney General's Office
323 Center Street, Suite 200
Little Rock, Arkansas 72201

Proceedings reported by machine stenography. Transcript prepared utilizing computer-aided transcription.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX - VOLUME 5 (11/28/22)

WITNESSES FOR THE DEFENDANTS:	Direct	Cross	Redirect	Recross
STEPHEN LEVINE	781	885	955	959

1 (Proceedings continuing in open court at 8:57 AM.)

2 THE COURT: Are y'all ready?

3 MR. JACOBS: Your Honor, Defendants are ready to
4 call, I guess, our next witness, not our first witness. One
5 thing I wanted to check in on. So Dr. Regnerus is prepared to
6 testify remotely tomorrow, and I wanted to ask at what time the
7 Court could begin tomorrow with the hope that it could begin I
8 guess as early as we can make it happen. Because Dr. Regnerus
9 is testifying late in the evening from where he's located, so
10 just to avoid him having to run into testifying in the wee
11 hours of the early morning, if we could start as early as we
12 can. I recognize that --

13 THE COURT: I expect this will likely make everybody
14 cringe, but courthouse opens at 7:30.

15 MR. JACOBS: Could we -- I think he'd be available
16 to start at like 8:00.

17 THE COURT: That's fine. That would give everybody
18 time to get in the building and get settled and we could make
19 sure stuff is up.

20 MR. JACOBS: Okay. That's all the preliminary
21 matters that we have.

22 THE COURT: So with an asterisk, you've got my
23 entire week. What are your thoughts on how long you're going
24 to take?

25 MR. JACOBS: Our witnesses will be done Thursday and

1 we'll rest on Thursday.

2 THE COURT: I've got two sentencings, one at 1:00
3 and one at 2:00 on Wednesday. Those usually last 30 minutes,
4 so we're probably going to work a little later into lunch on
5 Wednesday.

6 MR. JACOBS: That won't be a problem, Your Honor.

7 THE COURT: And then it looks like I've got a lunch
8 hearing on the 1st. Okay. That's what is on my schedule other
9 than you guys. So are we ready to jump back in?

10 MR. JACOBS: We're ready, Your Honor. Defendants
11 will call Dr. Stephen Levine.

12 THE COURT: Sir, if you could come on the far side
13 of that silver rail. Good morning.

14 **STEPHEN LEVINE, DEFENDANTS' WITNESS, DULY SWORN**

15 DIRECT EXAMINATION

16 BY MR. CANTRELL:

17 Q Good morning, Dr. Levine.

18 A Good morning.

19 Q Can you state your name and spell it for the record.

20 A Stephen, S-t-e-p-h-e-n, Barrett, B-a-r-r-e-t-t, Levine,
21 L-e-v-i-n-e.

22 Q Thank you. Dr. Levine, can you tell us what academic and
23 clinical positions that you currently hold?

24 A I am clinical professor of psychiatry at Case Western
25 Reserve University. I'm a staff psychiatrist in a group

1 dictating a trans identity. As many people have come to
2 realize that teenagers and now especially during COVID when
3 teenagers were at home have spent a great deal of time on the
4 internet and we believe that the internet has many
5 opportunities to learn about trans life, and we think this has
6 been an influence on the rising incidence of transgender
7 identities.

8 But I can say that while I could go on and speculate
9 about why there has been this increase, I don't really think
10 that science can tell you why. We can speculate and various
11 people have various speculations. Some people think it's a
12 social contagion from the internet, other people think it's a
13 social contagion from friends, close relationships, especially
14 among girls who have a friend who's trans. Some people think
15 that it's a retreat from adverse life experiences and family
16 disruptions and inability to like both parents or love both
17 parents, but these are all speculations, and I doubt since
18 there are so many people involved with this that we would find
19 one explanation that would explain everything.

20 We need to understand that in mental health work and
21 trying to grasp what happens to people who become who they
22 become and why, that we can't ever find one explanation for
23 things. Things are multifactorial, so I think this phenomenon
24 must be multifactorial as well, but it is a dramatic worldwide
25 change in how young people are identifying. And they're not

LEVINE - CROSS

1 A. Yes.

2 Q. Have you reviewed any transcripts of the first week
3 of trial?

4 A. No.

5 Q. Has anyone spoken to you about the testimony that was
6 given during the first week of trial?

7 A. No.

8 Q. You've been a psychiatrist seeing patients, I believe
9 you said, since 1973. Is that correct?

10 A. I started my residency three years before and I saw
11 patients then, so officially as credentialed psychiatrist,
12 yes, 1973.

13 Q. And the overwhelming majority of your patients have
14 been adults.

15 A. Over the years, yes.

16 Q. Is that right, that the overwhelming majority have
17 been adults?

18 A. Yes.

19 Q. Is that a yes?

20 And you've estimated that you've seen about 50
21 minors, patients under 18, in your nearly 50-year career.
22 Is that correct?

23 A. Yeah. In a previous testimony, give can you give me
24 that number?

25 Q. I can show you.

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 THE COURT: Let's short cut. Is that true?

2 THE WITNESS: It's approximately true.

3 MS. COOPER: Thank you, Your Honor.

4 BY MS. COOPER:

5 Q. And you've testified that you've seen about six
6 prepubertal children in your career over 50-plus years.

7 A. Yes. That's probably approximately true, personally
8 seen.

9 THE COURT: what was the last part? I couldn't
10 hear you?

11 THE WITNESS: I'm sorry?

12 THE COURT: I didn't hear the last couple of
13 words. Did you say something --

14 THE WITNESS: That I personally have been
15 involved.

16 THE COURT: Thank you. You faded off. I
17 couldn't hear.

18 BY MS. COOPER:

19 Q. I believe you testified on direct that there are
20 about 70 gender clinics in the United States. Is that
21 right?

22 A. Yes.

23 Q. And you don't know how different practitioners or
24 clinics provide care, correct?

25 A. There are many, many practitioners. How would I

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 possibly know how they all provide care? I've been aware
2 of some instances of care, yes.

3 Q. So there are many practitioners and clinics about
4 which you don't know protocols --

5 A. Many.

6 Q. And you don't know how common it is for clinicians to
7 provide hormone therapy to minors without a careful
8 assessment of the child and their comorbidities. Is that
9 correct?

10 A. Well, I've been in touch with many parents from all
11 over the country who have indicated that to me. But in a
12 numerical sense with a denominator, I'm not aware. I
13 certainly have had many experience where I heard
14 complaints of -- about that.

15 Q. You don't know if it's a small minority, a majority,
16 or something in between?

17 A. I don't know that 38 percent do and 42 percent don't
18 or -- I don't have that kind of information.

19 Q. You wouldn't say whether it's a majority or a
20 minority.

21 A. Couldn't say.

22 Q. You don't have any knowledge about how gender
23 affirming medical care is provided to minors in Arkansas.
24 Is that correct?

25 A. That's correct.

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 of rapid onset gender dysphoria versus lifelong gender
2 dysphoria, the parents -- the parents' view is vital, you
3 see.

4 But, you know, today most of the onset of the gender
5 dysphoria presents itself at first in adolescence, not at
6 age four. In that sense, you and I are talking past each
7 other.

8 Q. All right. If we can look at -- just to wrap this
9 question up for clarity, on page 41 -- can you see the
10 screen in front of you --

11 A. Yes.

12 Q. -- beginning on line 22. Question: Does your -- you
13 mentioned that you meet with the parents too. Does that
14 contribute to your assessment whether someone meets the
15 criteria for gender dysphoria, what's reported by the
16 parents.

17 Answer: Of course.

18 That was your testimony?

19 A. I thought I just explained what I meant by that.

20 Q. When you diagnosis patients for other conditions like
21 depression or bipolar disorder, do you rely on self-report
22 of patients?

23 A. And reports from the parents.

24 Q. Reliance on self-report from the patients and
25 information from parents is not unique to the diagnosis of

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 gender dysphoria, is it?

2 A. That's right. It's not unique.

3 Q. Diagnosing patients based on self-report and
4 information from families who know the -- people who know
5 the patient, that's how psychiatry works, isn't it?

6 A. Ideally.

7 Q. You were deposed this past March in a case called BPJ
8 in West Virginia. Do you remember that case involving
9 athletics?

10 A. Yes.

11 Q. I'd like to show you a passage from -- well, a
12 passage from your deposition in that case. Can we look at
13 paragraph 6, please?

14 Do you see that in front of you in paragraph 6? It
15 says, if you'll read along with me: In the course of my
16 five decades of practice treating patients -- I'm sorry.
17 This is not your deposition. Let me back up. I misspoke.

18 You remember giving a report in the BPJ case. Is
19 that right?

20 A. I vaguely remember.

21 Q. And is this your expert report?

22 A. I don't know. You just --

23 Q. Can we scroll through this front page just to show?

24 A. It's my signature, yes.

25 Q. So if we can turn back to paragraph 6. And I would

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 like you to read along with me. In the course of my five
2 decades of practice treating patients who suffer from
3 gender dysphoria, I have at one time or another
4 recommended or prescribed or supported social transition,
5 cross-sex hormones, and surgery for particular patients,
6 but only after extensive diagnostic and psychotherapeutic
7 work.

8 So you wrote this passage, correct?

9 A. Yes.

10 Q. And you have supported patients' social transition.
11 Is that correct?

12 A. This -- this paragraph or sentence doesn't give an
13 age group.

14 Q. Understood. But I'm just asking generally, you have
15 supported --

16 A. Many four-year-olds.

17 Q. Yes. Okay. You have counseled some parents to
18 support the transgender identification of their child,
19 haven't you?

20 A. I'm not sure that's true. Depending on what you mean
21 by child. Child -- a could be 25. That is a child of a
22 parent can be 25.

23 Q. You've counseled some parents to support their minor
24 child's social transition, haven't you?

25 A. I have on rare occasion, yes.

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 Q. And switching back to adults, you've written letters
2 of authorization for adults seeking gender-affirming
3 surgeries. Is that correct?

4 A. I have.

5 Q. And you've done that as recently as the past two
6 years.

7 A. I have.

8 Q. And you've also written letters authorizing hormone
9 therapy for adult patients with gender dysphoria.

10 A. I have.

11 Q. And these are letters they can take to the
12 endocrinologist. Is that right?

13 A. Yes.

14 Q. And you have written such letters approving hormone
15 therapy for minors under 18 in a few cases within the past
16 five years, haven't you?

17 A. I don't think in the past five years.

18 Q. Okay. Can we turn to Dr. Levine's deposition, page
19 78?

20 I would like you to read along with me starting on
21 line 3. So between you and Mrs. Novak, there have been a
22 handful of cases in the past, say, five years where you
23 have approved hormone therapy for minor. Is that right?

24 These are particularly fraught difficult
25 circumstances, yes.

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 A. Yes.

2 Q. Mrs. Novak is someone who works in your medical
3 practice -- or your psychiatry practice?

4 A. She's a younger colleague of mine.

5 Q. That was your testimony.

6 A. I'm sorry?

7 Q. That was your testimony that I read correctly.

8 A. Yes. I'm just not sure today whether it's five years
9 or six years now. And in general, there have been a few
10 very fraught cases where we felt that this is a very
11 reasonable thing given the severity, the complexity of the
12 case, and that we would -- we, along with parents, would
13 hold our breath that this would be of help.

14 Q. And you have cosigned letters for hormone therapy for
15 minors written by Mrs. Novak, again, approving some minors
16 for hormone therapy. Is that right?

17 A. Yes, but this has not occurred very recently, Ms.
18 Cooper.

19 Q. You would not write a letter supporting hormone
20 therapy for a minor if you did not believe the patient had
21 gender dysphoria, correct?

22 A. Correct.

23 Q. And you would not write a letter approving a minor
24 for hormone therapy without first determining that they
25 had a longstanding, stable gender identity. Is that

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 much more cautious. We will give adolescents hormones,
2 but not as quickly as the Standards of Care would like.

3 That was your testimony in Keohane.

4 A. I have to say yes.

5 Q. And just to clarify, the Standards of Care you're
6 referring to in the 7th Edition, is that the WPATH's
7 Standards of Care 7th Edition?

8 A. Yes.

9 Q. When you were deposed in May of this year in this
10 case, the Brandt case, you testified, did you not, that
11 going forward you have not made a decision to no longer
12 write letters approving hormone therapy for patients under
13 18 years of age.

14 A. Indulge me a minute. In the previous thing you put
15 up, my deposition of adolescents was not the definition I
16 gave to the Judge earlier this morning. It was my
17 definition of an adolescent is somebody 19 years of age.
18 And so if you reread that, it would include 18-year-olds
19 and 19-year-olds.

20 So would you repeat the last question you asked me?

21 Q. Sure. When you were deposed this past May in this
22 case in Arkansas, you testified that, going forward, you
23 have not made a decision to categorically not write
24 letters approving hormone therapy for patients under 18,
25 correct?

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 A. I don't remember saying that, but if you have that, I
2 trust you.

3 Q. Yeah. I think we want to put that in the record.

4 Can we look at deposition page 227?

5 And if you go to line 3, part way through beginning
6 with the words, "Have you made a decision." Are you with
7 me? It's highlight.

8 Have you made a decision to no longer consider
9 hormone therapy for anybody who has not reached their 18th
10 birthday since you provided those letters?

11 Answer: I've made a decision to be very cautious and
12 to put a period of time in therapy between me and the
13 letter.

14 You go on to say more, which you're welcome to read
15 if you would like, but I want to continue on to another
16 passage that picks up rather than taking the Court's time
17 reading a lot of discussion in between.

18 If we could turn to page 228, line 3. Let me know if
19 you want to review there.

20 MR. CANTRELL: Your Honor, I would like to just,
21 if we could, take a look at the intervening testimony,
22 glance at that.

23 MS. COOPER: Sure. We can post that.
24 Absolutely.

25 THE COURT: I thought you were in the

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 deposition, Mr. Cantrell, but go ahead.

2 BY MS. COOPER:

3 Q. Do you have that in front of you now, Doctor? If you
4 look at line 3 and read along with me.

5 So I'm not sure if that answers my question. Have
6 you made a decision to no longer provide letters?

7 Answer: Oh, I'm sorry. No, I haven't made that
8 decision.

9 Question: So would it be a case-by-case basis if
10 there were a patient that you felt it was appropriate for
11 you -- appropriate for, you would consider doing it, say,
12 a 17-year-old or a 16-year-old?

13 Mr. Cantrell: Object to form.

14 Answer: I don't have a -- yes. The answer to your
15 question is yes.

16 I'm not going to ask you if that was your testimony
17 again --

18 A. Thank you.

19 Q. -- since I see how you love those questions.

20 Now, today you testified that you would not recommend
21 hormone therapy for patients under 18. Do you mean you
22 would not generally recommend hormone therapy as a general
23 matter?

24 A. Yes.

25 Q. So there may be exceptional cases where you would

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 still consider it appropriate.

2 A. Yes. These are very fraught circumstances. I think
3 all of us all over the world recognize that we are under
4 very difficult circumstances sometimes. We don't know
5 what to do and we eventually go along with the patient's
6 sincere desire to try hormones.

7 Q. Now, you talked on direct about an article you wrote
8 called, *Reconsidering Informed Consent for*
9 *Trans-identified Children, Adolescents, and Young Adults.*

10 And I just want to ask you a couple of questions
11 about that article.

12 In this article, you recommend informed content
13 process that you think providers should undertake before
14 authorizing medical or surgical transition for minors,
15 correct?

16 A. Yes.

17 Q. I'd like to pull up a passage from that article to
18 show you. If we can look at page 2. And I have some
19 material highlighted. Actually, I would like you to skip
20 to -- sorry. I wasn't in front of the mic. I would like
21 to skip to the second highlighted paragraph.

22 A. I know what you're you talking about.

23 Q. We over highlighted. If you'll read along with me in
24 the second paragraph there.

25 social transition, hormonal interventions, and

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 that is immutable, that it cures suicidal ideation, and
2 that it makes everyone live happily ever after, you see.

3 So in order to understand that last -- the
4 second-last sentence there, it does not preclude
5 transition. It presumes that the doctor is knowledgeable.
6 And what it I have been saying is that all the doctors are
7 not equally knowledgeable about the state of science.

8 Q. But you're not saying that no doctors are
9 knowledgeable.

10 A. Of course I'm not saying that, Ms. Cooper.

11 Q. In that article that we're looking at here, you don't
12 say that gender-affirming medical care, specifically
13 hormone therapy or blockers or surgeries, should be
14 categorically prohibited for minors, do you?

15 A. No, I don't. This is -- that was not the topic of
16 this article.

17 Q. You testified that you would like to see an
18 international committee -- this was today. You testified
19 that you'd like to see an international committee
20 developed standards for informed consent to provide
21 gender-affirming medical care to adolescents. Is that
22 right?

23 A. Yes.

24 Q. So, you're not seeking to prohibit care, but to
25 ensure that patients have been thoroughly provided

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 information and take the time and patience to understand
2 it before making this monumental decision.

3 A. Ms. Cooper, when you say "patients," you need to ask
4 -- for me to agree to that, you have to add parents and
5 patients.

6 Q. Thank you. Let me ask it differently.

7 So you're not looking to prohibit care, but to ensure
8 that patients, and particularly their parents when they're
9 minors, have thorough information in order to be able to
10 adequately make that decision. Is that correct?

11 A. I am not motivated to prohibit care. I am motivated
12 to clarify the scientific basis upon which the care is
13 provided, and if the basis is inadequate, to let doctors
14 be cautious about this.

15 Q. And to inform families of this information as well.

16 A. And to inform -- to use their own ethical unease
17 about the wisdom of this in their informing patients and
18 parents about the state of science here and what is not
19 known, the uncertainties, and the risks of harms.

20 Q. And your view is that, if families are -- by
21 "families," I'm specifically focusing on parents -- are
22 fully informed about the risks and the state of the
23 science, the decision about whether to pursue hormone
24 therapy for adolescents -- minor adolescents should be
25 made by the parents, patient, and doctors. Is that

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

LEVINE - CROSS

1 Q. I'm sorry. Yes?

2 A. Yes.

3 Q. You believe that for youth who are currently
4 receiving hormone therapy, requiring them to discontinue
5 treatment could create a psychological -- could create
6 psychological and physiological problems, correct?

7 A. Most certainly, I think it would be a psychological
8 challenge for those folks, whether physiologically or
9 cause a significant problem is not clear because,
10 depending on their age and depending on the original
11 maturation of their ovaries and testes, stopping estrogen
12 or stopping testosterone abruptly may cause brief periods
13 of thermo-regulatory -- what we call hot flashes.

14 But I think if a person's just had hormones, say,
15 starting at age 15 or 16, their gonads had matured enough,
16 that they would begin the secretion of progesterone and
17 estrogen for biologic girls and testosterone for boys.
18 But I think psychologically, it would be a shocking and
19 devastating thing for them.

20 There are lots of negative things that happen to all
21 of us in life that are shocking and devastating, and we
22 learn to cope with it. And what I said at the deposition
23 is that doctors are compassionate people generally and
24 they would find a way to be of help. And my concern with
25 the law as it was originally written is that it seems to

Valarie D. Flora, FCRR, TX-CSR, AR-CCR
United States Court Reporter
valarie_Flora@ared.uscourts.gov (501) 604-5105

1 (Proceedings continuing at 3:00 p.m.)

2 BY MS. COOPER:

3 Q. Dr. Levine, you submitted a report in opposition to our
4 plaintiffs' motion for preliminary injunction. Do you remember
5 doing that in this case?

6 A. In this case?

7 Q. In this case, yeah.

8 A. That's too legalese for me.

9 Q. Okay. Let's show you -- if you can put up the report or
10 the rebuttal.

11 If you can look at the document on the screen dated
12 July 9th, '21, or filed. On the top, it says, "July 9th, '21,
13 declaration of Stephen Levine." Is this a report that you
14 prepared in this case?

15 A. That was my original report.

16 Q. Okay. If you can look at page -- excuse me -- paragraph
17 35, and read along, the highlighted material.

18 "To my knowledge, there is no credible scientific evidence
19 beyond anecdotal reports that psychotherapy can enable a return
20 to male identification for genetically male boys, adolescents,
21 and men, or return to female identification for genetically
22 female girls, adolescents and women."

23 That's what you said in your report?

24 A. That's what I did say, yes.

25 Q. Okay. Now, you talked on direct about your clinical

1 experience, and you mentioned that most of your patients were
2 adult patients. It's correct that you've had only two patients
3 who have detransitioned after medically transitioning. Is that
4 correct?

5 A. That I'm aware of at the moment, yeah.

6 Q. Okay. And in your report in this case, you cited a paper
7 by Exposito-Campos about detransition. Correct?

8 A. Yes.

9 Q. And you noted that the Exposito-Campos review of
10 detransitioning claimed to have identified 16,000 entries in a
11 search of proliferating websites devoted to this topic. Is that
12 correct?

13 A. Detransitioning, yes.

14 Q. And, to be clear, this did not represent 16,000
15 detransitioners. Right?

16 A. It's not possible to know what percentage of them are
17 individual people who have detransitioned.

18 Q. That was a reference of the number of people participating
19 in these online groups. Is that right?

20 A. Right.

21 Q. Uh-huh. Okay. Now, you talked some during direct
22 testimony about detransition and studies looking at rates of
23 detransition. I just had a couple of questions about that. You
24 mentioned that there was a study that said that there was a rate
25 of about 30 percent of patients detransitioned.

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 A. Yes.

2 Q. Right? How was detransition defined in that study?

3 A. I think as stopping hormones.

4 Q. People may stop hormones without going back to reverting to
5 their biological sex. Correct?

6 A. Yeah. The study is about the rate, you see. It's not
7 about the details, right?

8 Q. Okay. And I would like to pull up a passage in your
9 rebuttal report in this case. Do you have that, paragraph 17?
10 If you can look at page 1, is this your rebuttal?

11 Oh, is this the same document? I'm sorry. It is the same
12 document.

13 This is your report you wrote in this case?

14 A. I trust you.

15 Q. It has your name on it. Right? Did you look at it? I
16 want to make sure we're on the same page.

17 A. A rebuttal declaration of Dr. Stephen Levine, M.D.

18 Q. Let's look at paragraph 17, please. I would like to have
19 you go down sort of towards the bottom, second-to-last line in
20 that paragraph, beginning with the word "according." And read
21 along with me.

22 It says, "According to a recent study from a UK adult
23 gender clinic, 6.9 percent of those treated with
24 gender-affirmative interventions detransitioned within 16 months
25 of starting treatment, and 3.4 percent had a pattern of care

1 detransition occurs in various forms to various degrees should
2 not be denied any longer. The incidence of using this 1 or
3 2 percent of regret for the whole phenomenon of maybe a mistake
4 has been made somewhere along the line no longer is acceptable.
5 What we need to think is that with the rising number of people
6 getting hormones and the rising number of people saying they are
7 transgender and then the rising number of people getting access
8 to hormones, we should expect that some of those people, given
9 the ordinary ambivalence of the human soul, will change their
10 mind as they get older and detransition to various degrees.
11 These studies are just the first early reports.

12 Q. Understood. But my question has to do with the 30 percent
13 figure you gave. These studies don't show that 30 percent of
14 patients who were on treatment detransitioned. Isn't that
15 right?

16 A. I think -- yes, that's right. They are showing that
17 30 percent dropped out of treatment or were lost to the original
18 treatment plan.

19 Q. So, to be clear, they showed that 6.9 percent, what you
20 described here, 6.9 percent detransitioned, and another
21 3.4 percent had a pattern of care suggestive of detransition.
22 But separately, to get to the remainder, to get to that
23 30 percent are 21.7 percent who are just people who dropped out
24 of the program. Right?

25 A. And that's study No. 1. That's Hall.

1 Q. Just to clarify, we don't know if 21 percent of those
2 people detransitioned.

3 A. No. They may have gotten their care at a different
4 country.

5 Q. In fact, your own description says some of them reengaged
6 in the clinics in those 21 percent.

7 A. That was a quote.

8 Q. That was a quote. Okay. Tell me, so there's another study
9 that showed 30 percent detransitioned? Which one is that?

10 A. If you look down further to No. 9, reference No. 9 to Boyd,
11 that's a study of older people detransitioning.

12 Q. Okay.

13 A. My point, Ms. Cooper, is that people detransition. And we
14 shouldn't be surprised, and we shouldn't sell the public that
15 once a trans always a trans.

16 Q. I want to switch gears for now and ask you about a
17 presentation you gave at the American Psychiatric Association
18 this past May. Correct?

19 A. Correct.

20 Q. Yeah. You presented at an annual conference of the
21 American Psychiatric Association in a symposium on reexamining
22 the best practices for transgender youth. Is that correct?

23 A. That is very correct.

24 Q. And among your co-presenters were Ken Zucker. Correct?

25 A. Yes.

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 Q. And others were Lisa Marchiano and Sasha Ayad? Correct?

2 A. Yes.

3 THE COURT: Ms. Cooper, can you spell that last name?

4 MS. COOPER: I can. Ayad is A-y-a-d.

5 BY MS. COOPER:

6 Q. And all four of you who were on that panel are people who
7 have dissenting views from APA policies on trans healthcare.
8 Correct?

9 A. That's right.

10 Q. Okay. And the APA was aware that the four of you were
11 presenting ideas that were not in keeping with official policies
12 of the APA. Correct?

13 A. Yes. They made an announcement of that before we were
14 allowed to present. And they sent a special person to moderate
15 it. They didn't allow me, the chairman, to moderate it, but
16 they didn't tell me they were going to do that. They just
17 showed up three minutes before the symposium, yes.

18 Q. And while you were talking, the group in the audience was
19 polite, and no one interrupted. Is that correct?

20 A. While I --

21 Q. While you were presenting on the panel.

22 A. Yes. They were very polite until the presentation was --
23 the presentations were finished.

24 Q. Excuse me? Until the presentations were --

25 A. Finished.

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 THE COURT: Dr. Levine, as a psychiatrist, how did
2 that make you feel? No. I'm kidding.

3 THE WITNESS: I can answer that, Your Honor.

4 THE COURT: You don't have to answer it. I'm just
5 trying to shake things up a bit. Keep going.

6 THE WITNESS: You succeeded.

7 BY MS. COOPER:

8 Q. No one interrupted. Correct?

9 A. I'm sorry?

10 Q. No one interrupted the presentations. Correct?

11 A. The only interruption in the presentation was when I began
12 to speak to introduce the symposium, this woman appeared and
13 told me to wait a minute, she was going to make the first
14 comment.

15 Q. But there wasn't a disruption of the content of the
16 presentations?

17 A. That's right.

18 Q. We just mentioned Ken Zucker, who was on the panel with
19 you. Is it correct that he is called -- excuse me -- he is a
20 proponent of what some call watchful waiting for prepubertal
21 children?

22 A. That's not what he presented about.

23 Q. But your understanding is he's a proponent. I'm sorry if
24 that wasn't clear. Putting aside his presentation at the panel,
25 he is a person who supports watchful waiting for prepubertal

1 for off-label use."

2 That was your testimony. Correct?

3 A. Oh, yes, yes. That was my testimony.

4 Q. Okay. Off-label drug use is very common in probably every
5 field of medicine. Correct?

6 A. Yes.

7 Q. Uh-huh. And the fact that a drug is being used off label
8 does not make the use experimental. Correct?

9 A. In some sense it is experimental. It's not approved.
10 There hasn't been evidence other than in a clinical fashion to
11 do it. It's not experimental in the same serious way we're
12 talking about using the various drugs for gender dysphoria off
13 label and perhaps experimental.

14 Q. You would agree, though, that the fact that a drug is being
15 used off label does not alone mean that it's experimental.
16 Correct?

17 A. I would agree with that.

18 Q. People in your field know the difference between articles
19 that are peer reviewed in a scientific journal and different
20 kinds of publications. Right?

21 A. Yes.

22 Q. Speaking generally about psychiatric conditions, you would
23 agree that because of the complexity of the human psyche and the
24 difficulty of running controlled experiments in this area,
25 substantial disagreements among professionals about the causes

1 WPATH's principles.

2 Q. But, again, you don't know how most practitioners around
3 the country, how credentialed they are and how they provide
4 care.

5 A. Who in the world knows?

6 Q. But you don't.

7 A. I don't and you don't.

8 Q. Okay. Now, I want to switch gears and talk about some of
9 the European reports that you talked about. Let's start with
10 Finland. You talked about a report from Finland. Do you
11 remember talking about that? Okay. And you mentioned that a
12 committee of, I believe you said, blue ribbon experts put
13 together these international reports. Which were the experts
14 who were the blue ribbon experts who put together the Finnish
15 report?

16 A. Their names?

17 Q. Anything about them.

18 A. I don't know their names.

19 Q. Do you know anything about them?

20 A. Well, I know the head of this national program. I know
21 her, but I don't know her colleagues.

22 Q. And do you know what organization put that report out?

23 A. Well, it's called COHERE. Please don't ask me what the
24 COHERE stands for.

25 Q. How many experts were on that blue ribbon committee that

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 THE COURT: Is that true, Doctor?

2 THE WITNESS: It is true.

3 BY MS. COOPER:

4 Q. Okay. Now, the French report, the French document that you
5 talk about, that's not a review of the literature. Right?

6 A. I'm sorry. Which one?

7 Q. The French application.

8 A. The French, I don't think -- I don't want to testify it's
9 based on a review.

10 Q. So you don't know if that was done by a blue ribbon
11 committee of experts?

12 A. I don't know who did it, just there was a national body in
13 France.

14 Q. Okay. Thank you. That helped clarify that. I want to go
15 back to some of these individual reports and just a few
16 questions. The Finnish report did not recommend banning
17 gender-affirming medical care for minors, did it?

18 A. I think in special cases they thought it could be
19 continued.

20 Q. In fact, it allowed puberty blockers on a case-by-case
21 basis after careful consideration. Isn't that what it says?

22 A. I think so.

23 Q. And it says in the Finnish report that hormone therapy
24 could be provided to minors based on a thorough case-by-case
25 consideration if it can be ascertained that the identity as the

1 other sex is of a permanent nature and causes severe dysphoria.

2 Is that correct?

3 A. Yes.

4 Q. Now --

5 A. Ms. Cooper, then how could a bunch of doctors know that a
6 nine year-old's gender identity is permanent? It only could be
7 based on the fact it has existed for three years.

8 Q. Is anybody providing hormone therapy -- cross-sex hormone
9 therapy to nine year-olds?

10 A. Yes, yes, yes.

11 Q. Cross-sex hormone therapy?

12 A. No, no.

13 Q. That's what we're talking about.

14 A. Puberty blocking hormones.

15 Q. This was about cross-sex hormone therapy.

16 A. Oh, I'm sorry.

17 Q. Yeah.

18 A. Even so, if somebody has consistent cross-gender
19 identification from 12 to 16, there's no guarantee that that
20 person will be cross-gender identified at 25.

21 Q. But that's what the Finnish report said, what I described.

22 A. Right. You see the limitations inherent even in policy.

23 Q. So, turning to the French report, just to be clear, they
24 did not recommend prohibiting gender-affirming medical care for
25 minors, did they?

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 A. I don't know.

2 Q. You don't know?

3 A. At this moment.

4 Q. Uh-huh. So you don't know if in France minors can receive
5 gender-affirming medical care to treat gender dysphoria?

6 A. I think this was a general recommendation rather than a
7 prohibition. And the recommendation was psychotherapy and
8 psychiatric evaluation first.

9 Q. Okay.

10 A. I think what we have in common here in all of these
11 countries we're talking about is the recommendation, the prudent
12 recommendation that psychiatric evaluation and attention to
13 associate a psychopathology and worry about both detransition
14 and the rapid rise in the number of people calling themselves
15 transgender calls for a different approach, not the preclusion
16 of individual cases getting a particular treatment, but, in
17 general, doctors of our country think psychotherapy first, not
18 hormones first, not transition first. That's what these things
19 have in common, whether I remember one phrase or another from
20 the report.

21 Q. And your assumption is in the U.S. doctors are doing
22 medical transition before psychotherapy?

23 A. Oh, yes.

24 Q. But you don't actually know how many doctors do that.
25 Right?

1 get these drugs.

2 Q. And you mentioned a report from Canada. Hormone therapy
3 and puberty blockers are not prohibited for minors in Canada,
4 are they?

5 A. No. This was done for the State of Florida. It was not
6 done by the Canadian National Service. But what it did was
7 reiterate the low quality of evidence for puberty blockers being
8 beneficial and for cross-gender hormones being beneficial or
9 them in sequence being beneficial. Based on international
10 standards, blue ribbon people, sophisticated people, every
11 review says that the scientific objective review of the evidence
12 supporting these treatments is of very low quality.

13 Q. I'm not asking about the quality of evidence. I'm asking
14 about the recommendations in the reports. That's what my
15 questions were focused on. And going back to the Canadian
16 report, do I understand from what you said earlier that that's a
17 report that was prepared to be used in litigation to support a
18 ban on treatment in Florida?

19 A. I don't know exactly why it was done. What I was trying to
20 help you not make a mistake in thinking, that it was part of
21 Canadian national policy. It was an academic center. That
22 place does reviews, does all kind of reviews. And somebody I
23 think from the State of Florida commissioned and paid for the
24 review. And the review said, and it was objectively done, the
25 same thing that the other reviews have said, low quality and

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 taking that into consideration in comorbidities, but in Europe
2 they are focusing on that. But when I pointed out that the
3 standards of care here actually require that, you said, well,
4 they don't really follow the standards.

5 A. Ms. Cooper, what I was trying to say to you, you can read
6 these wonderful words in the standards of care, but it turns out
7 the devil is in the details. The devil is how it's translated,
8 who is doing the psychiatric assessments, what mindset do they
9 have, what knowledge do they have, and how long do they have to
10 do it. Every clinic can say we do comprehensive care. Every
11 clinic will say we do these evaluations. But from the parents'
12 point of view or the educated parents' point of view, that is
13 not what is happening to their child frequently.

14 Q. For some families you've talked to.

15 A. Frequently.

16 Q. For some families you've talked to. Correct?

17 A. I'm sorry?

18 Q. For some families you've talked to.

19 A. Almost for all the families I've talked to.

20 Q. Which is a tiny amount, representing a very tiny amount of
21 the clinics around the country. Correct?

22 A. And I don't know what percentage of the clinics, but they
23 are from many states.

24 Q. I just have a few more questions. I want to go back to the
25 second study on detransition that you mentioned showing the

1 30 percent detransition rate.

2 A. The Boyd study?

3 Q. That was the one by Boyd. Thank you. We're going to put
4 that up so we can get clarity on that. Looking at a study
5 called "Care of Transgender Patients: A General Practice
6 Quality Improvement Approach" by Isabel Boyd, et al., that's the
7 study you are talking about?

8 A. That was I think a primary care study.

9 Q. Okay. You have the highlighted portion in front of you?

10 A. Yes.

11 Q. "3.2.4. Undesired Treatment Outcomes (stopping hormones,
12 abnormal blood test results, side effects and complications)."
13 It says here, "Nine patients had stopped hormone therapy, one
14 related to practice policy because they had not attended any GIC
15 follow-up (the patient has restarted since the audit). Thus,
16 eight patients had stopped hormones voluntarily (20 percent
17 stopping rate; six trans men, two trans women). These patients
18 had been on treatment for a mean of five years (range 17 months
19 to 10 years). Four trans men had comments in the record that
20 related to a change in gender identity or detransitioning (4 out
21 of 41, 9.8 percent) quote: Would like to gradually
22 detransition. No longer wish to live your life as a male. Has
23 decided to detransition. Feels comfortable having decided to
24 dress and appear more feminine. Feels it was a mistake
25 identifying as non-binary now, close quotes.

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 None of these patients had undergone any gender-related
2 surgery. They had presented at a mean of 18 years of age, taken
3 testosterone for a mean of 18 months and currently presented as
4 female (three) or non-binary (one). The other four patients who
5 had stopped hormones continued to present as trans (two women,
6 two men): One, who had experienced orchidectomy, had a record
7 of regret (no hormonal treatment currently, regrets gender
8 reassignment); one had a medical reason noted for stopping,
9 quote, problems with PV bleeding despite androgen; two had no
10 specific reason for stopping in their record, but it was
11 documented that they had stopped."

12 So I see a 20 percent stopping rate, but I don't see a
13 30 percent detransition rate anywhere in there. Is this the
14 study you are talking about?

15 A. Yes. But, you know, I haven't read this study since it
16 came out. And you've picked out one paragraph. And perhaps we
17 could sit down and read it together and figure out whether I'm
18 right or wrong. And if I'm wrong, I'm wrong by 10 percent. The
19 point is, Ms. Cooper, the detransition for various reasons
20 happens. If it happens in this clinic, we should assume it
21 happens in Arkansas and in Missouri and everywhere else. And
22 the idea that people think it's a mistake is one of the things
23 I've tried to talk about this morning. We can't be sure that a
24 14 or 15 or 17 year-old knows what his or her future is going to
25 be. And if they cannot be prudent, we have to be prudent. And

1 if the medical profession isn't prudent about this, isn't
2 careful, isn't aware of the limitations, I guess legislatures
3 make a decision.

4 So I'm just asking the medical profession to be prudent and
5 to know about the evolution of gender identity. Even after it's
6 been solid during adolescence for three years or four years, it
7 doesn't mean that when you are 23 you don't think differently.
8 We must be prudent, and we must protect people sometimes against
9 things that we have reason to believe that a majority of people
10 may come to regret. Now, you see the real question here is not
11 the Boyd study.

12 Q. Well, I do want to stay on the Boyd study for a minute. I
13 understand your point that detransition happens. And that is
14 not the question I want to -- I'm not arguing about that.

15 A. Good.

16 Q. I'm asking about this 30 percent number that you testified.
17 I understand now you may be not standing by that 30 percent
18 figure?

19 A. Yeah. Maybe I'm going back to 20 percent.

20 Q. Then, even the 20 percent you would agree is not a
21 representation of detransition, but it represents the number of
22 people who stop medical transition for various reasons, some
23 medical reasons and unknown reasons. Correct?

24 A. I don't think you are going to make compelling points in my
25 view by picking out one paragraph and not looking at the whole

1 thing.

2 Q. You used the number 30 percent, and this appears to be
3 where it came from, so I need to pick it apart.

4 A. I do not represent myself as infallible. And my
5 statements, I can't imagine every statement is verifiable that I
6 ever make in my life. I'm doing the best I can with my memory.

7 Q. Fair enough. It's not a memory test. Looking at it here
8 now, though, you would agree that this study does not even say
9 20 percent detransitioned. It says 20 percent stopped the
10 medical transition for various reasons.

11 A. Well, can you tell what the denominator here is?

12 Q. Well, 20 percent. Twenty is a percentage.

13 A. That requires a denominator. What number of people are we
14 talking about?

15 THE COURT: Twenty out of a hundred.

16 THE WITNESS: That's not --

17 BY MS. COOPER:

18 Q. 41 is the N. If you want to know the number, it's 41 I
19 think it says. Four out of 41 were the ones who --

20 A. Is 41 the denominator?

21 Q. 4 out of 41 detransitioned.

22 A. So nine of 41 people stopped their hormones. Is that what
23 you are saying? So we do that math. 9 of 41.

24 Q. Twenty percent stopped.

25 A. It's over 20 percent.

1 Q. Stopped hormones?

2 A. Yeah.

3 Q. I just want to be clear, though, that doesn't represent
4 detransition. It represents stopping hormones for a variety of
5 different reasons.

6 A. And I want to be clear. I want to be clear that you don't
7 know it doesn't represent detransition. It means stopping
8 hormones. Why does a person stop hormones?

9 Q. Well, it says right here problems with bleeding despite
10 androgen, and two had no specific reasons. You don't understand
11 people stopping hormones besides detransition?

12 A. What are you quizzically asking?

13 Q. Do you think the only reason somebody who is on hormone
14 therapy for gender dysphoria would stop treatment, that the only
15 reason would be because they detransitioned?

16 A. No. Some stop because they get hypertension. Some stop
17 because they get obese. Some stop because they get blood clots.
18 Some stop because their hemoglobin levels go way up and they are
19 threatened with stroke.

20 Q. But they may still maintain their trans identity. Correct?

21 A. And, of course, if that would happen to a person, that
22 would make them rethink everything.

23 Q. I think we can put this study aside, and just a couple of
24 questions. Prior to this case, you had never heard of Mark
25 Regnerus. Correct?

1 Q. I understand you are speculating about the connection
2 there. But the national review board of Sweden did not ban
3 blockers. Correct? We talked about that before.

4 A. If Karolinska blocked blockers and if Karolinska is the
5 primary site for the Swedish people to get gender-affirming
6 care --

7 Q. Is it your understanding that's the only place you could
8 get gender-affirming medical care if you are a minor in Sweden?

9 A. I'm not sure. Sweden is a small country compared to the
10 United States. Those countries tend to create clinics like the
11 Portman Clinic in the UK that funnel these patients to the
12 centers of excellence, the centers of study.

13 Q. But you don't know how it's done in Sweden?

14 A. I don't know for sure how it's done in Sweden. I do know
15 Sweden, we're very concerned about the suicide rates of their
16 transgender population. The last report I had, it was
17 3.5 percent higher than the general population.

18 Q. I lied. I have a second question, if that's okay, related
19 to the same topic. I would like to put up another document, the
20 Swedish national report that we have discussed. This is, for
21 reference, DX17. This is the Swedish report you were discussing
22 earlier. Correct?

23 A. The translation of it?

24 Q. The English translation. If we can scroll, if you can look
25 at the first highlighted paragraph with me. "To minimize the

1 risk that a young person with gender incongruence later will
2 regret a gender-affirming treatment, the NBHW deems that the
3 criteria for offering GnRH analog and gender-affirming hormones
4 should link more closely to those used in the Dutch protocol,
5 where the duration of gender incongruence over time is
6 emphasized. Accordingly, an early childhood onset of gender
7 incongruence, persistence of gender incongruence until puberty
8 and a marked psychological strain in response to a pubertal
9 development is among the recommended criteria."

10 I'm sorry. I'm reading the wrong paragraph.

11 Apologies. I'm going to read the highlighted second
12 paragraph. "To ensure that new knowledge is gathered, the NBHW
13 further deems that treatment with GnRH analogs and sex hormones
14 for young people should be provided within a research context,
15 which does not necessarily imply the use of randomized
16 controlled trials, RCTs. As in other healthcare areas where it
17 is difficult to conduct RCTs while retaining sufficient internal
18 validity, it is also important that other prospective study
19 designs are considered for ethical review and that register
20 studies are made possible. Until a research study is in place,
21 the NBHW deems that treatment with GnRH analogs and sex hormones
22 may be given in exceptional cases, in accordance with the
23 updated recommendations and criteria described in the
24 guidelines." This is the requirement or provision in the
25 Swedish national report. Correct?

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155

1 eight o'clock tomorrow.

2 MR. JACOBS: Yes, Your Honor.

3 (Overnight recess at 4:19 p.m.)

4 REPORTER'S CERTIFICATE

5 I certify that the foregoing is a correct transcript from
6 the record of proceedings in the above-entitled matter.

7 /s/Elaine Hinson, RMR, CRR, CCR Date: December 4, 2022.
8 United States Court Reporter

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Elaine Hinson, RMR, CRR, CCR, United States Court Reporter
elaine_hinson@ared.uscourts.gov (501) 604-5155