

**UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF FLORIDA
TALLAHASSEE DIVISION**

AUGUST DEKKER, et al.,)	
)	
Plaintiffs,)	Case No: 4:22cv325
)	
v.)	Tallahassee, Florida
)	May 10, 2023
JASON WEIDA, et al.,)	
)	9:01 AM
Defendants.)	Volume II
)	

**TRANSCRIPT OF BENCH TRIAL PROCEEDINGS
BEFORE THE HONORABLE ROBERT L. HINKLE
UNITED STATES CHIEF DISTRICT JUDGE
(Pages 251 through 507)**

Court Reporter: MEGAN A. HAGUE, RPR, FCRR, CSR
111 North Adams Street
Tallahassee, Florida 32301
megan.a.hague@gmail.com

*Proceedings reported by stenotype reporter.
Transcript produced by Computer-Aided Transcription.*

APPEARANCES:

For Plaintiffs:

Lambda Legal
By: OMAR GONZALEZ-PAGAN
Attorney at Law
ogonzalez-pagan@lambdalegal.com
120 Wall Street
19th Floor
New York, New York 10005

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: JENNIFER ALTMAN
Attorney at Law
jennifer.altman@pillsburylaw.com
600 Brickell Avenue
Suite 3100
Miami, Florida 33131

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: JOSEPH LITTLE
Attorney at Law
joe.little@pillsburylaw.com
500 Capitol Mall
Suite 1800
Sacramento, California 95814

Lambda Legal
By: CARL S. CHARLES
Attorney at Law
ccharles@lambdalegal.org
1 West Court Square
Suite 105
Decatur, Georgia 30030

Southern Legal Counsel Inc
By: SIMONE M. CHRISS
CHELSEA LEE DUNN
Attorney at Law
simone.chriss@southernlegal.org
chelsea.dunn@southernlegal.org
1229 NW 12th Avenue
Gainesville, Florida 32601

Florida Health Justice Project
By: KATHERINE JEAN ANN DEBRIERE
Attorney at Law
debriere@floridahealthjustice.org
3900 Richmond Street
Jacksonville, Florida 32205

APPEARANCES (continued):

For Plaintiffs (continued):

National Health Law Program
By: CATHERINE ANNE MCKEE
Attorney at Law
mckee@healthlaw.org
1512 East Franklin Street
Chapel Hill, North Carolina 27514

For Defendants:

Holtzman Vogel Baren, et al.
By: MOHAMMAD OMAR JAZIL
GARY VERGIL PERKO
MICHAEL BEATO
Attorneys at Law
mjazil@holtzmanvogel.com
garyp@holtzmanvogel.com
michaelb@holtzmanvogel.com
119 South Monroe Street
Suite 500
Tallahassee, Florida 32301

P R O C E E D I N G S

1
2 (Call to Order of the Court at 9:01 AM on Wednesday,
3 May 10, 2023.)

4 THE COURT: Good morning. Please be seated.

5 Dr. Shumer, you are still under oath.

6 Mr. Jazil, you may proceed.

7 MR. JAZIL: Your Honor, a housekeeping matter before
8 we get started.

9 THE COURT: Sure.

10 MR. JAZIL: I spoke to my friend Jennifer Levi who is
11 the lead lawyer for the plaintiffs in the Doe v. Lapado case,
12 and I spoke with my friend Omar Gonzalez-Pagan about the issues
13 we raised yesterday. And my understanding, Your Honor, is in
14 the second case, once the bill is signed, the plaintiffs will be
15 moving for TRO. They will also be amending their complaint to
16 list the various State's attorneys as defendants in the case,
17 because of one of the provisions in the bill that deals with
18 criminal liability.

19 So as we were working through the issues of can this
20 be a trial that addresses both cases, one of the issues that
21 came up is the State attorneys will have to decide for
22 themselves whether or not they want to cross-examine certain
23 witnesses, et cetera. So that's a complication we're working
24 through.

25 Anything else?

1 MR. GONZALEZ-PAGAN: As I said for plaintiffs,
2 Your Honor, we have no problem conceptually with consolidating
3 the evidence for both cases, as the conversation was happening
4 yesterday, keeping the record, if you will, open.

5 We do have conceptually issues about having to, like,
6 bring back experts to be reexamined, if you will. The testimony
7 is not really going to change. And, of course, our plaintiffs
8 are not suing the State's attorneys.

9 So --

10 THE COURT: All right.

11 MR. GONZALEZ-PAGAN: -- we are trying to work through
12 those issues. I don't think there's a proposal to present to
13 the Court right now.

14 THE COURT: We can do that other than when we've got a
15 witness ready to testify.

16 I will say I looked briefly -- we'll address it. I
17 think you have a real standing issue in this case. I looked at
18 your provision about the obligation to pay for care rendered out
19 of the state. If the State, indeed, had an obligation to pay
20 for service out of state when it's not available in state in
21 circumstances like these, it seems to me that would solve the
22 standing problem.

23 At least on first look, I don't think the pay
24 out-of-state provision applies in these circumstances. So you
25 need to look at that. We can take that up, but let's -- none of

1 that is going to affect Dr. Shumer's testimony or the
2 cross-examination, so let's take that up not on Dr. Shumer's
3 time.

4 I think we do have the preliminary injunction in the
5 other case, and we talked about getting that set. I recall your
6 responses may be due, what, later this week?

7 MR. JAZIL: The 15th, Your Honor, Monday.

8 THE COURT: So that must be next week.

9 MR. JAZIL: Yes, sir.

10 THE COURT: And then we were going to deal with it
11 after that. And at least in that case -- there is standing for
12 at least some of the plaintiffs certainly in that case. So
13 we'll have issues to address. In the meanwhile, let's keep --
14 let's keep going.

15 CROSS-EXAMINATION

16 BY MR. JAZIL:

17 Q. Good morning, Dr. Shumer.

18 A. Good morning.

19 Q. Yesterday you testified that you're an endocrinologist;
20 right?

21 A. Yes.

22 Q. And as an endocrinologist, you follow the Endocrine
23 Society's guidelines for treating gender dysphoria; right?

24 A. Yes, the Endocrine Society Clinical Practice Guidelines are
25 certainly a tool that I rely on for providing this type of care.

1 Q. And, Doctor, you should have in front of you an exhibit
2 that's marked DX24.

3 Would you mind grabbing ahold of that?

4 And are those the guidelines?

5 A. Yes.

6 Q. And, Doctor, yesterday when you were testifying, it was my
7 understanding that you deal mostly with the adolescent
8 population.

9 Did I get that right?

10 A. That's correct.

11 Q. Okay. So let's go ahead and take a look at the adolescent
12 recommendations in the Endocrine Society guidelines. If we go
13 to page 7 of the document -- and I'm going by the numbers on the
14 bottom right -- now here Section 2 lays out the guidelines for
15 the treatment of adolescents; right?

16 A. Yes.

17 Q. Okay. So after each recommendation, there is a
18 cross-filled circle. Do you see that?

19 A. I do.

20 Q. And do you know what the cross-filled circles signify?

21 A. Yes. I believe in the beginning of this manuscript --

22 Q. Well, actually, let me help you out there. Let's go to
23 page 8 of this document, bottom right.

24 A. Yep.

25 Q. Now, you'd agree with me that one cross-filled circle

1 signifies very low-quality evidence?

2 A. Yeah. So here they are outlining -- I think they're very
3 transparently outlining how they came to these recommendations
4 based on the evidence they reviewed, right. So they're talking
5 about how much evidence they reviewed and what they are calling
6 the quality of evidence that they reviewed for each one of these
7 specific recommendations or suggestions that they're making
8 throughout the article.

9 Q. Okay. And one cross-filled circle, according to this,
10 signifies very low-quality evidence; right?

11 A. Yes.

12 Q. And two cross-filled circles signify low-quality evidence;
13 right?

14 A. Yes.

15 Q. Three cross-filled circles signify moderate-quality
16 evidence?

17 A. Yes.

18 Q. And four cross-filled circles signify high-quality
19 evidence?

20 A. Yes.

21 Q. So let's go back to page 7 where the recommendations are.

22 Doctor, you'd agree with me that not one of these six
23 recommendations is supported by high-quality evidence; right?

24 A. Yes. I think that deserves some explanation about how one
25 would have high-quality evidence.

1 Q. Your counsel can ask you about the explanation. Let's go
2 through some of these questions first.

3 And you'd agree that none of them are supported by
4 moderate-quality evidence?

5 A. Yes, sir.

6 Q. And five of the six are supported by low-quality evidence;
7 right?

8 A. Yes.

9 Q. And one is supported by very low-quality evidence?

10 A. Yes.

11 Q. So I'd like to focus on the one, 2.5, that's supported by
12 very low-quality evidence.

13 If you would take a minute to read that, Doctor.

14 Now, there is a portion in there that says: *There are*
15 *minimal published studies of gender-affirming hormone treatments*
16 *administered before 13.5 to 14.6 [sic] years. As with the care*
17 *of adolescents greater than or equal to 16 years age, we*
18 *recommend that an expert multidisciplinary team of medical and*
19 *MHPs manage this treatment.*

20 Do you see that?

21 A. I do.

22 Q. Do you agree that there are minimal published studies of
23 gender-affirming hormone treatments administered before age 13.5
24 to 14 years?

25 A. So I think this is a very specific question, right. The

1 question is is there evidence in this specific age group
2 providing gender-affirming hormones -- so this is separate from
3 GnRH agonist. So there is more evidence outlining the use of
4 hormones in people 16 and older and less below 16 in 2017 at the
5 time of this publication; that's correct.

6 Q. Is that still the case?

7 A. Gosh. I think there's literature being published all the
8 time, and I wouldn't be able to tell you, if they rewrote this
9 recommendation, if it would still get one hash mark or two.

10 Q. And it says here that an expert multidisciplinary team
11 should manage care.

12 Do you see that?

13 A. Yes.

14 Q. Now, you work on an expert multidisciplinary team at the
15 University of Michigan; right?

16 A. Yes.

17 Q. And you called it the biopsychosocial team, if I got that
18 right?

19 A. No. The biopsychosocial assessment is what the social
20 worker on our team performs as part of our multidisciplinary
21 team.

22 Q. I got it. And your multidisciplinary team at Michigan
23 includes an endocrinologist, you; right?

24 A. It includes two pediatric endocrinologists, three
25 adolescent medicine pediatricians, two social workers, one

1 pediatric psychiatrist, one pediatric nurse practitioner, a
2 pediatric nurse, and medical assistants.

3 Q. That's a pretty big team; right?

4 A. I don't know. It's our team.

5 Q. Okay. And all of these people were good enough to be hired
6 at the University of Michigan children's hospital; right?

7 A. Yes.

8 Q. It's one of the best children's hospitals in the world?

9 A. Thank you.

10 Q. So you agree with me, I take it?

11 A. Well, I don't -- I'm not sure we are rated in the top ten,
12 but I'm proud to work there.

13 Q. And would you agree with me that a small subset of the
14 transgender population that is dealing with gender dysphoria has
15 access to a multidisciplinary team like the one you're a part
16 of?

17 A. I would not agree.

18 Q. Would you at least agree with me that the WPATH guidelines
19 and the Endocrine Society guidelines suggest that
20 multidisciplinary teams like yours be the ones at the forefront
21 of providing gender-affirming care to patients. Right?

22 A. That's one of the Endocrine Society's recommendations.

23 Q. Okay. Doctor, I'd like to move on to another issue,
24 fertility.

25 You testified yesterday that puberty blockers have no

1 affect on fertility; right?

2 A. Correct.

3 Q. But you still talk about fertility issues with your
4 patients before putting them on puberty blockers; right?

5 A. Absolutely.

6 Q. And the Endocrine Society guidelines actually advise you to
7 do just that; right?

8 A. Well, as I explained, you know, puberty blockers themselves
9 have no impact on fertility. But I think it's helpful as a
10 young person -- and their family -- who go on puberty blockers
11 to be aware of subsequent decisions that -- and how those
12 decisions may impact fertility in the future.

13 Q. Understood.

14 And so, Doctor, would you also agree with me that almost
15 all of the patients that start off on puberty blockers go on to
16 using cross-sex hormones?

17 A. I would say a vast majority of the patients that meet
18 criteria for GnRH agonist who are having persistent gender
19 dysphoria as puberty starts continue to have that gender
20 identity in later adolescence and do qualify for -- for
21 gender-affirming hormones, although not all, which is sort of
22 the point of the GnRH agonists.

23 Q. And so for this vast majority, as you described it, who go
24 from puberty blockers to cross-sex hormones, is there an effect
25 on fertility for that population that goes from puberty blockers

1 to cross-sex hormones?

2 A. Well, as I tried to explain, the fact of the matter is you
3 do need to go through puberty using your own body, at least
4 partially, to achieve fertility. So someone assigned male at
5 birth needs to progress through puberty enough, maybe to Tanner
6 Stage 3 to make sperms currently, although there are
7 investigations about taking, you know, premature sperm cells
8 out, but that's not where we are at today in science.

9 So, yes, a person assigned male at birth needs to go
10 partially through puberty to achieve the ability to make sperms.
11 A person that is assigned female at birth, in order to
12 participate in the pregnancy, needs to go through at least some
13 puberty to produce eggs for fertility. The combination of
14 puberty suppression using GnRH agonists, then hormones, you
15 know, is intentionally, as part of treatment for gender
16 dysphoria, forestalling the person from going through puberty
17 using their own body. However, the only time I would say a
18 person has no fertility potential is if the gonads are removed.

19 Q. So there is an effect on fertility? Did I understand that
20 right?

21 A. Well, I would say, for example, if someone is assigned male
22 at birth, right, and they are starting GnRH agonists at Tanner
23 Stage 2, and then they're starting estrogen in later adolescence
24 and never have started male puberty, that person, you know, has
25 been, you know, treated for gender dysphoria, let's assume doing

1 well, and then later in adulthood says, You know what? I'd like
2 to use my sperm to make a baby.

3 Okay. So what would that person do? They would stop their
4 hormones and allow their body to go through some male puberty.
5 And so anyone with testes in place, there is a chance that they
6 could achieve fertility coming off of those hormones, allowing
7 their body to go through a masculinizing puberty to some degree.
8 You know, that would be the route that person would take if they
9 wanted to achieve fertility.

10 Q. All right. I understood that. You said anyone with testes
11 in place could potentially use those testes to have a kid later
12 on in life?

13 A. Right. So how --

14 Q. Let me --

15 MS. COURSOLE: Objection.

16 THE COURT: Overruled.

17 Here's what we are going to do. We are going to talk
18 one at a time.

19 And, Dr. Shumer, it will help if you just answer his
20 questions.

21 THE WITNESS: Sure.

22 THE COURT: And it will also help if things that have
23 already been well established in the record, we just leave it
24 alone and go on to the next thing.

25 MR. JAZIL: Understood.

1 BY MR. JAZIL:

2 Q. So, Doctor, someone with testes intact can still have a
3 child, but the chances of that person having a child are lower
4 after that person has gone through cross-sex hormones; right?

5 A. Yes. I think there's a couple parts to that. One is being
6 on prolonged estrogen, how does that impact the testes, right?

7 If -- is the chance of fertility -- if someone were to be
8 on estrogen for ten years and then discontinue, what is that
9 person's fertility potential compared to if that person never
10 went through that process in the first place?

11 I don't know the answer to that. It may be lower, but
12 presumably not impossible.

13 Q. Are you aware of literature that supports the notion that
14 withdrawal of hormones can allow a natal male to have a child?

15 A. I'm having a hard time thinking about a specific article,
16 but I have patients that have done that successfully.

17 Q. Do you recall an article by Alexis Light that you cited in
18 your expert report that deals with this issue?

19 A. Yes.

20 MR. JAZIL: Can we pull up Plaintiffs' Exhibit 188,
21 please.

22 Can we go to the next page on this.

23 BY MR. JAZIL:

24 Q. Is this the article, Doctor?

25 A. Yes. So just to clarify, we were just talking about a

1 trans woman. So this article isn't necessarily about that. But
2 I'm happy to review this article with you if you'd like.

3 Q. Okay. So what's this article about, a trans man?

4 A. Yes.

5 Q. Okay. And can trans men also become pregnant?

6 A. Yes.

7 Q. And this is one of the articles that supports that notion?

8 A. That's the -- sort of the topic of this article, yes.

9 Q. And this is one of the articles you relied on in forming
10 your expert opinion in this case?

11 A. I don't exactly remember why I cited this article or what
12 sentence I thought this article was helpful in citing, but I do
13 recall citing this article in my expert report.

14 Q. And let's just quickly go through this article.

15 The first section, *MATERIALS AND METHODS*, it says that it
16 was a web-based survey.

17 Do you see that, Doctor?

18 A. I do.

19 Q. Under *RESULTS*, it says: *Forty-one self-described*
20 *transgender men completed the survey.*

21 Do you see that, Doctor?

22 A. I do.

23 Q. The *CONCLUSION* says: *Transgender men are achieving*
24 *pregnancy after socially, medically, or both transitioned.*

25 Do you see that, Doctor?

1 A. Yes.

2 Q. Now, if we go to page 7 of this article, the second full
3 paragraph -- page 7 on the bottom, the second full paragraph on
4 the right, *Limitations to the study...*, do you see where it
5 says: *Our eligibility criteria screened for transgender men who*
6 *had a successful birth, impeding generalizable to those who*
7 *attempt to get pregnant and cannot and those who do not carry to*
8 *term.*

9 Do you see that, Doctor?

10 A. Uh-huh, yes.

11 Q. So you -- this study that you cited, you'd agree with me
12 that it limited its eligibility criteria to transgender men who
13 have had successful pregnancies; right?

14 A. Yes.

15 Q. And it concluded that transgender men can have successful
16 pregnancies; right?

17 A. Yes.

18 Q. And it did so relying on a survey of 41 people; right?

19 A. So I think that the study is talking about the results of
20 these pregnancies. I think the idea that trans men can have
21 pregnancies is not controversial. This happens thousands of
22 times across the country, you know, throughout the years. So I
23 think the -- you know, just last week a person with an
24 unexpected pregnancy who is a trans man currently on
25 testosterone came to our emergency room. The fact that

1 transgender men can become pregnant is well known. So when I
2 talk to my patients on testosterone, I always tell them, Even if
3 you are not having periods, testosterone is not birth control,
4 and that, You need another form of birth control, because
5 transgender men get pregnant all the time.

6 So I think that that is not up for debate, necessarily. I
7 think this article is interesting because it's explaining --
8 providing more detail about the results of those pregnancies.

9 Q. All right. Let's move on to another issue.

10 Dr. Shumer, bone mineral density, as I understood your
11 testimony yesterday, you said the use of puberty blockers does
12 have an affect on bone mineral density because we are
13 suppressing natural puberty; right?

14 A. Yes.

15 Q. And you discuss issues related to bone mineral density with
16 your patients; right?

17 A. Yes, similarly to how I discussed it with counsel.

18 Q. So as with the discussion with puberty blockers, is the
19 idea that once you get off of the -- let me ask a better
20 question.

21 If you are on puberty blockers, you discuss bone mineral
22 density. And do you tell your patients that once you withdraw
23 from the puberty blockers that bone mineral density will recover
24 to where it should be for the natal sex at that age?

25 A. Well, how I talk about it is that puberty is an important

1 time for bone mineral density accrual, and everyone is going to
2 go through puberty in some form or another, whether it's
3 withdrawal from GnRH agonists or a provision of testosterone or
4 estrogen.

5 You know, I think that the data that I discuss is having to
6 do with the fact that, yes, when someone is on a GnRH agonist,
7 as I said, you continue to accrue bone strength but not at the
8 same speed as you would if you were going -- if you were
9 continuing through puberty.

10 Upon starting puberty, in one way or the other, we would
11 expect an increase in bone density relative to the speed that
12 it's accruing prepubertally. And we do have evidence of
13 catch-up. You know, there's an article demonstrating relative
14 catch-up by 22. And as I also pointed out, we don't have data
15 to suggest that there's a bunch of middle age trans people that
16 took GnRH agonist that are now having the outcome that we really
17 care about, which is fracture.

18 Q. Okay. When you're having these discussions, do you also
19 order tests to get a baseline of what their bone density is
20 before you put them on the puberty blockers?

21 A. My practice is to do a bone density scan in patients that
22 have higher risk for low bone density. I don't -- I don't feel
23 the evidence is compelling enough to require a bone density scan
24 for every person that I prescribe GnRH agonists to.

25 Q. And do other endocrinologists in your practice have that

1 same practice of not taking a bone density scan to establish a
2 baseline unless there is some other reason to do so?

3 A. I can't speak for all pediatric endocrinologists. I think
4 perhaps some do DEXA scans on every patient starting GnRH
5 agonists, and some use, you know, their professional judgment
6 based on what the outcome of doing that bone density scan would
7 be.

8 So sometimes I think about it like this. Anytime I order a
9 test, I want to know what I'm going to do with the result,
10 right. So if someone has lower bone mineral density than
11 average prior to starting GnRH agonist, what would that mean?

12 It wouldn't change the fact that that person is eligible
13 for GnRH agonist, but it would make me, as an endocrinologist,
14 more cognizant of the fact that we need to keep this person's
15 vitamin D in the normal range, talk about calcium intake, and
16 that we would, you know, perhaps follow up with subsequent DEXA
17 scans.

18 Someone without risk for low bone density, you know, the
19 utility of that assessment, in my opinion, wouldn't change
20 practice. So that's why personally I'd get a bone density scan
21 if there is a history of fracture or low BMI, but not in someone
22 that I'm not expecting to have a low bone mineral density for
23 the reasons that sort of we discussed.

24 Q. What about your patients who go from puberty blockers to
25 cross-sex hormones? How do you -- do you keep track of their

1 bone mineral density by taking a scan and then monitoring it
2 over time?

3 A. No, I don't, because at the time that they start hormones,
4 at that point we know that bone mineral density accrual
5 increases, and watching that increase on a bone density scan
6 wouldn't change the decisions that I would make with that
7 particular patient.

8 Q. And, Doctor, you said that you reviewed the medical records
9 of the plaintiffs in this case?

10 A. Yes.

11 MR. JAZIL: Your Honor, I'd like to discuss with the
12 doctor some of the medical records. I was hoping we could not
13 present the material on the larger screens.

14 THE COURT: Okay. The public screens are off, and the
15 display will be shown there to the lawyers and to me and the
16 witness but not to the public.

17 MR. JAZIL: Can we go to Plaintiffs' Exhibit 235,
18 please.

19 BY MR. JAZIL:

20 Q. Now, Doctor, do you recognize the name of the institution
21 on the top left?

22 A. I do.

23 Q. It's an institution that in your estimation provides
24 world-class health care in this field; right?

25 A. I think that it's an institution I used to -- I was trained

1 at, and I have a high opinion of the care provided there, yes.

2 Q. Understood.

3 MR. JAZIL: Can we go to the page Bates labeled 43?

4 BY MR. JAZIL:

5 Q. Doctor, I'd like you to take a look at the second
6 paragraph.

7 It says: *If the puberty blockade is discontinued, then the*
8 *body would mature and the female puberty changes would occur.*
9 *The potential risks include the effect on bone mineralization*
10 *and fertility.*

11 Based on your review of the medical records for this
12 patient, do you have any reason to disagree with this statement?

13 A. No.

14 MR. JAZIL: Let's go to the pages Bates labeled 45 and
15 46, please.

16 BY MR. JAZIL:

17 Q. Doctor, I'd like you to take a look at the very last
18 sentence that begins on page 45 and then goes onto the next
19 page.

20 Now, this says: *The risks of the procedure/treatment that*
21 *have been discussed with me are,* and then the sentence goes on
22 to say: *Studies of long-term side effects in this population --*
23 *in this population here we are talking about a natal female who*
24 *is in Tanner Stage 2 -- is limited and may include a potential*
25 *negative impact on bone health, growth, psychosocial development*

1 *(including exploration of gender identity) and future fertility.*

2 Do you see that, Doctor?

3 A. I do.

4 Q. First let me ask you this, Doctor: You disagree that
5 puberty blockers may have an effect on future fertility if given
6 long enough, right?

7 A. So as I have explained, GnRH agonists themselves do not
8 have an impact on fertility. I think that this -- the verbiage
9 here is obviously this provider's sort of boilerplate risks and
10 benefits statement that they've discussed fertility with the
11 patient.

12 She's not saying in this that the GnRH agonists themselves
13 cause infertility, but she's saying that she had, presumably, a
14 discussion around the same topics that we've been discussing in
15 this trial.

16 Q. Do you disagree with anything that's listed there as the
17 general verbiage from this physician?

18 A. Well, I think in previous testimony we talked a little bit
19 about psychosocial development, to the extent that that may
20 imply the topic we were talking about of cognition or brain
21 development. So my answer to that one, you know, that I
22 previously discussed may make me disagree with that part of the
23 statement. Otherwise, you know, I don't have strong feelings
24 about disagreeing with anything else.

25 Q. Understood.

1 MR. JAZIL: Can we take that down and go to
2 Plaintiffs' Exhibit 236B.

3 Which is also medical records, Your Honor.

4 If we can go to the page Bates labeled 708.

5 BY MR. JAZIL:

6 Q. Now, Doctor, these are the medical records for a natal male
7 who is almost a Tanner Stage 2.

8 If we go to the bottom of the page, the physician's notes,
9 it says: *We have discussed that blocker therapy is reversible,*
10 *but may have an affect on future fertility if given long enough.*
11 *Furthermore, future fertility will almost definitely be*
12 *compromised once cross-sex hormone with estrogen is ultimately*
13 *started.*

14 That sentence, *Furthermore, future fertility will almost*
15 *definitely be compromised once cross-sex hormone therapy with*
16 *estrogen is ultimately started*, do you disagree with that
17 statement?

18 A. I do. That's not how I would put it. I think the nuance
19 of how I described it would be more accurate.

20 Q. And then it goes on to say: *For now, I have advised that*
21 *we get a bone age and baseline labs below.*

22 So when the physician there is talking about bone age, is
23 that the scan that you were mentioning?

24 A. It's not.

25 Q. It's not?

1 A. No.

2 Q. What would that be?

3 A. What is a bone age?

4 Q. Yes, sir.

5 A. Bone age is an X-ray of the hand. It's looking at the
6 growth plates to help understand how much more height growth
7 potential a patient has. So it's often used by pediatric
8 endocrinologists when evaluating someone with short stature, for
9 example.

10 Q. And then for someone who is being put on puberty blockers,
11 would you be concerned about their bone age, and would you
12 measure it before putting them on puberty blockers?

13 A. So a bone age itself isn't something to be concerned about.
14 It's a test to assess how much taller someone is going to be.

15 So I don't know how tall this person was or if there was
16 concerns about this person's final height. But I'd get a bone
17 age frequently if I'm being asked a question about how tall
18 someone is going to be. In gender clinic I get bone ages more
19 rarely, only if there is a question or a concern about someone's
20 final adult height.

21 Q. Understood.

22 Doctor, a few more questions.

23 THE COURT: Before you go on, let me just say for the
24 record, I think you misread one of those scripts. There was a
25 reference to the one in red on the screen now. *Furthermore,*

1 *future fertility, it says, will most definitely be compromised.*
2 You read it as will almost definitely be compromised. And I'm
3 sure the transcript will have what you read and not what it says
4 here.

5 MR. JAZIL: Understood, Your Honor. I apologize. It
6 was inadvertent.

7 THE COURT: I understand. It's better for your side
8 the way it's actually written than the way you read it, but I
9 just didn't want there to be a confusion about the transcript.

10 BY MR. JAZIL:

11 Q. Doctor, yesterday you talked with my friend about the
12 *DSM-5*.

13 Do you recall that testimony?

14 A. Yes.

15 Q. But you're not a psychiatrist; right?

16 A. That's correct.

17 Q. And you've never made a diagnosis for gender dysphoria
18 using the *DSM-5*, have you?

19 A. Well, I'm very familiar with the *DSM-5*. And making a
20 diagnosis using *DSM-5* isn't very complicated. So if you meet
21 this criteria, this one and this one, you have a diagnosis of
22 gender dysphoria.

23 That being said, my role on our multidisciplinary team is
24 not to perform that assessment. It's -- that part is being done
25 by a social worker.

1 Q. Understood.

2 And, Doctor, you're a member of a group called Stand with
3 Trans; right?

4 A. I'm not a member of a group. I'm on the advisory committee
5 of that organization.

6 Q. Okay. And that organization that you are on the advisory
7 committee of, it's an advocacy organization for transgender
8 issues; right?

9 A. Yeah.

10 Primarily they organize support groups for trans youth and
11 for parents of trans youth in southeast Michigan.

12 Q. But they also put out literature critical of state efforts
13 to regulate gender-affirming care; right?

14 A. Well, fortunately there aren't a lot of state efforts to
15 eliminate transgender care in Michigan, so I don't think they
16 have been very active in that regard.

17 Q. Have you written anything for that organization that
18 criticizes, say, the State of Arkansas for it's gender-affirming
19 care approach?

20 A. I have written about legal efforts in Arkansas, but I'm not
21 sure that was related to the organization Stand with Trans.

22 Q. Okay. Fair enough.

23 MR. JAZIL: Your Honor, I have no further questions.

24 THE COURT: Redirect?

25 MS. COURSOLE: Thank you, Your Honor.

REDIRECT EXAMINATION

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BY MS. COURSOLE:

Q. Dr. Shumer, when my friend Mo was asking you questions just now, you mentioned that the quality of evidence related to the Endocrine Society guidelines reviewing deserves further explanation.

What is that explanation?

A. Yeah. So I can -- I can understand consternation when one line in a long report says, This is based on very low-quality evidence. And I think the previous witness talked about this a bit, but I wanted to elaborate from my perspective that -- that the Endocrine Society, for example, publishes Clinical Practice Guidelines on many, many, different topics; congenital adrenal hypoplasia, thyroid cancer, adrenal insufficiency.

When you read all of those Clinical Practice Guidelines, they all start very similarly with an explanation of these hash marks, and then the multitude of very many suggestions and recommendations are all assigned different markers of quality that the Endocrine Society Clinical Practice Guidelines in this arena reads very similarly to all of these other Clinical Practice Guidelines, where if you have, you know, 35 different things, some of them are going to be higher or lower quality. And that quality means what type of evidence is that recommendation specifically being relied on, right?

So if you were going to give something four hash marks,

1 presumably that would be something that, for example, was
2 subjected to some sort of double-blinded randomized trials,
3 which, as we discussed, is not feasible in complicated medical
4 problems such as gender dysphoria, but also such as many other
5 complicated medical problems.

6 That -- the one here that was with the lowest, the one hash
7 mark, was referring to a very specific question providing
8 gender-affirming hormone care in this narrow age group between,
9 I think, 13 and a half and 16.

10 So there hasn't been -- while there is maybe some
11 literature about that, that literature isn't it as robust as the
12 literature regarding 16 and up, for example, which isn't
13 surprising to me. When I -- if I'm evaluating a 14-year-old and
14 thinking about hormonal care, what I'm doing is, first of all,
15 thinking about that person as an individual, looking at the
16 literature to suggest is there relevant literature related to
17 this specific patient that I'm seeing today? Is there
18 literature -- more literature about 16-year-olds? Can I -- how
19 does that literature relate to the question that I have in front
20 of me; hormone care for a 14-year-old? Is that totally
21 irrelevant because it doesn't talk about 14-year-olds, it's
22 talking about 16-year-olds? Probably not totally irrelevant.

23 That -- you know, for that particular line to be higher,
24 you would need to conduct another study that was specific to
25 that age group, for example. And presumably that type of work

1 is continuing to be done.

2 None of those will ever be four hash marks, right, because
3 we can never do the type of research in this particular field
4 that would result in that, similarly to other Endocrine Society
5 recommendation manuscripts such as this that are talking about
6 very complicated, multifaceted medical problems that will never
7 have four hash marks, but at least as a way for the reader to
8 understand why the Endocrine Society made that particular
9 suggestion or recommendation.

10 I'd also say that one hash mark is saying that, All right.
11 We aren't assigning this a higher level, but we did review all
12 of the data and we could have come up with one of two
13 statements. One statement is, We recommend this or suggest
14 this, and the other statement is, We do not recommend or suggest
15 this.

16 So based on the review of the entire body of work, you
17 know, while they weren't able to assign that particular item a
18 higher score, rather than say, After reviewing all this, we
19 don't suggest it, they said, After reviewing this, we do suggest
20 it.

21 You know, I think that with any area of medicine more study
22 and more literature is welcome. What I'm concerned about,
23 though, in this particular field is that it may never be enough,
24 right, for people that oppose gender-affirming care, that if we,
25 for example, were able to do a randomized controlled trial where

1 we have 13-year-olds or, let's say -- let's say people at Tanner
2 Stage 2 that are going to be randomly assigned GnRH agonists or
3 no treatment, and hypothetically we could find people that would
4 participate, right, because we wouldn't be able to find people
5 that would participate, but let's say we did -- that what would
6 the end point be? Would it be one year later how are we doing
7 comparing these people to these people? We can do that. We
8 could publish that. But then they would say, Well, that doesn't
9 answer the question about how they are doing when they are 20,
10 okay.

11 So let's continue this randomized controlled trial. Let's
12 continue to treat these people from age 10 all the way to age 20
13 and say, You guys, you are going to stay in the control group
14 and not receive any care for the next ten years, okay. And then
15 we can publish data about how those people are doing.

16 And then they would say, Well, that doesn't tell us on
17 how -- let's compare them on how they are doing as 50-year-olds,
18 because that's what we really want to know, okay. So we are
19 going to say, All right. This group, we are going to continue
20 your hormones. This group, because you were assigned to the
21 control group when you were 10, you are going to receive no care
22 for your gender dysphoria until you are 50, but then you'll be
23 done with the study. Then that's published, right.

24 I think that it's clear that that could never happen,
25 right. But even then I think that people would still say

1 there's not enough high-quality evidence to support
2 gender-affirming care. Not because there's not enough evidence
3 per se, but because they have a problem with the care.

4 Q. Dr. Shumer, the type of theoretical study you just
5 described, could that kind of study be blinded?

6 A. Of course not, because puberty is something that you can
7 tell if you are going through or not.

8 Q. So it would be impossible to have a -- a blinded randomized
9 control study of the effect of puberty blockers for that
10 reason --

11 A. Correct.

12 Q. -- is that right?

13 A. Correct.

14 Q. You spoke with my friend about the effect of hormones on
15 fertility. Are there any studies that quantify the effect of
16 hormone treatment on fertility for people who receive hormone
17 treatment for gender dysphoria? To your knowledge, of course.

18 A. Not that I recall.

19 Q. So there's some evidence that hormone treatment may impact
20 fertility. Do I have that right?

21 A. Yes. So I think some of that we've reviewed, but I would
22 say, yes, there is some evidence that hormone treatment can
23 impact fertility.

24 Q. But we don't know the extent of that impact, in other
25 words?

1 A. Correct.

2 Q. And some people who never receive gender-affirming care
3 also experience infertility; is that right?

4 A. That's correct.

5 Q. You mentioned that you have clinical experience with your
6 own patients who have received hormone treatment and have been
7 able to achieve fertility.

8 Can you tell us a little bit about that?

9 A. Yeah. So, actually, just last week I was talking to a
10 patient on estrogen who impregnated someone. And so our
11 conversation was about becoming a parent. It was not an
12 intended pregnancy, but the person was excited. They were in a
13 relationship with a cisgender woman, and she's delivered a
14 healthy baby.

15 So, you know, I think another just example of the fact
16 that, you know -- that you can't use hormones as birth control,
17 right, so I keep plugging away on that one. But also that, you
18 know, everyone has different levels of fertility and
19 infertility. And that -- that it is quite a complicated topic,
20 right. That is complicated because we all don't know our life
21 story, how it's going to unfold, who we are going to fall in
22 love with, how we want to create a family. Of course, there is
23 many options to create a family. But, you know, talking about
24 fertility, you know, is a really personal and individualized
25 thing. On top of that, everyone's individual capacity for

1 fertility is different. And everyone's response to these
2 medical interventions that we are talking about is likely
3 different.

4 So it's something that I think deserves sort of the breadth
5 and depth of the conversation that I try to have with each
6 patient, but it is not a topic that very well lends itself to
7 black-and-white answers.

8 Q. Thank you.

9 You also spoke with my friend about using DEXA scans to
10 measure bone density for people who use GnRH agonists.

11 When you use GnRH agonists to treat precocious puberty, do
12 you ever order DEXA scans?

13 A. No.

14 Q. When you prescribe other medications that could impact bone
15 density, what is your practice regarding DEXA scans?

16 A. Well, I think a DEXA scan is a test, and so it's a tool
17 that one would use if you feel like knowing someone's bone
18 density would be helpful in medical decision-making.

19 So I'd say the times where I use DEXA scans the most is
20 someone with frequent fractures, right. So, as an
21 endocrinologist, I might see someone who has, let's say,
22 juvenile arthritis, and they've been on chronic steroids during
23 childhood, and they've had some fractures. And the question
24 that they are asking me is does this person need treatment with
25 bisphosphonates to strengthen their bones? I might order a DEXA

1 scan to assess bone density. And then the DEXA scan, you know,
2 comes back with the result -- zero is average. Negative 2 and
3 lower is below average. Plus 2 or higher is above average. So
4 if that person had a DEXA score of less than .2, then that might
5 be an indication to start bisphosphonates, for example.

6 Q. My friend showed you the medical records of our two child
7 plaintiffs in this case -- or some of their medical records.

8 The medical records that you were shown reflected the
9 informed consent process that those two plaintiffs went through;
10 is that correct?

11 A. Yes.

12 Q. And was that the informed -- is your understanding that
13 that was the informed consent that the provider prescribing
14 those medications reviewed with those plaintiffs's parents?

15 A. Well, it's what was in the medical records, so there may
16 have been additional information verbally discussed with the
17 family that I'm not privy to. But yes, it seems like it was
18 included in the medical record as sort of an overview of the
19 informed consent conversation that was had with that particular
20 patient.

21 Q. In your opinion was the discussion of risks in those
22 informed consent -- in that reflection of the informed consent
23 conservative?

24 A. Yes.

25 Q. Why?

1 A. Well, you know, I think that it's hard to -- you know, some
2 of the discussions that we've had about each of these topics,
3 you know, I've spent five, ten minutes talking about the nuance,
4 right. So when you're putting something in a medical record in
5 a sentence, it's hard to distill all that nuance.

6 But I think, you know, for documentation sake, you know,
7 the inclusion of those things implies that those types of
8 discussions were had. But, like, for example, with -- including
9 things like cognitive development, or however it was put for the
10 first example, I would consider that conservative because the
11 evidence for that particular isn't there.

12 You know, in a second case when it says most definitely, or
13 whatever, would impact fertility, you know, I hoped to have
14 conveyed the nuance that I would convey to patients, which is,
15 you know, I would -- we consider that example to be
16 conservative.

17 MS. COURSOLE: Thank you, Dr. Shumer.

18 I have no further questions, Your Honor.

19 THE COURT: Dr. Shumer, you told us you're in clinic a
20 couple of times a week.

21 You're also a medical professor?

22 THE WITNESS: Yes.

23 THE COURT: So part of your week is spent teaching
24 medical students?

25 THE WITNESS: That's right.

1 THE COURT: Mr. Jazil asked you about the University
2 of Michigan and your clinic and its quality; it's one of the
3 good medical schools.

4 There are also a couple of schools in Florida that
5 folks think highly of. University of Florida, University of
6 Miami both had, I understand, gender clinics.

7 Are you familiar with those clinics at all?

8 THE WITNESS: Yes.

9 THE COURT: My understanding is they are now shut
10 down. Do you know whether that's so or not?

11 THE WITNESS: I'm not sure. I would say that I've had
12 a few patients from Florida that have come to Michigan for care
13 because their grandparents live in Michigan. Usually people's
14 grandparents live here and they live there, but I guess this was
15 the other way around.

16 THE COURT: Have you talked with anybody about how
17 that will shake out now that Florida apparently has legislation
18 that would allow taking children away from their parents if they
19 go to Michigan to your clinic?

20 THE WITNESS: Well, that's -- that makes me sick to my
21 stomach, to be honest, because, you know, I just am really
22 worried about the health and well-being of those kids. But it's
23 also saying, you know, not only do we disagree with this
24 particular evidence, but parents that may agree with it we think
25 are committing some sort of child abuse.

1 And so, yeah, it makes me very worried about the
2 health and well-being of children and families in that sort of
3 situation.

4 THE COURT: Now, I haven't seen evidence on exactly
5 your clinic or of the Florida -- University of Florida clinic or
6 Miami. But my guess is nobody is going to disagree with the
7 proposition that these are all very high-quality institutions
8 that provide high-quality medical care in general. There will
9 be a lot of disagreement about the care in this particular area,
10 but all good institutions.

11 Here's a concern, and tell me what you can about this.
12 The concern is the quality of care that you are providing with
13 the team you've described may be different from the quality of
14 care that someone gets from a single doctor in a single city in
15 Florida where there is not a major medical research institution,
16 and so that's a concern.

17 If care is properly provided in the University of
18 Michigan setting or University of Florida setting, how does that
19 compare to what may be provided in an individual city by an
20 individual provider?

21 THE WITNESS: Yeah. You know, I would say that
22 it's -- I think it's different when you are thinking about
23 pediatrics versus adult. So I think, in general, most solo
24 practice pediatricians, for example, aren't deciding that they
25 are going to start providing gender-affirming care, because they

1 recognize that that care may be better provided in more of a
2 multidisciplinary center. On the other hand, there's more, you
3 know, family practice docs or internal medicine docs that are
4 providing care to adult trans people sort of across the country.

5 So, for example, in Michigan, we have patients coming
6 from all corners of the state. Just like Florida, it's -- we've
7 got two peninsulas, not just one, but people coming from, you
8 know, the Upper Peninsula traveling six hours to Ann Arbor for
9 care. Virtual visits have made that a little easier for
10 follow-up.

11 But I would say, if not all, the vast majority of
12 adolescents receiving gender-affirming care are getting that
13 care at -- at not necessarily universities, but centers with
14 experience and centers of excellence.

15 You know, so I think that -- I agree with you that,
16 you know, if I had -- if I had a transgender child, I would want
17 to them to be seen by someone that was an expert. And, you
18 know, fortunately, in -- I would also not say that that's not so
19 different than other endocrine problems, right. So if I had a
20 child with hypophosphatemic rickets and I lived in Florida, I
21 might travel to Gainesville for care. Because that's a rare
22 condition, I want to see an expert pediatric endocrinologist.

23 And so I think that is an important topic, but I also
24 think that most kids are getting seen by people that are in this
25 field because they are passionate about it, but they have also

1 taken the time to really become experts in providing the care.

2 THE COURT: Questions just to follow up on my
3 questions, Mr. Jazil?

4 FURTHER EXAMINATION

5 BY MR. JAZIL:

6 Q. Dr. Shumer, do you know of colleagues who provide the same
7 care kind of services you provide to transgender youths at the
8 University of Florida?

9 A. I can't say their names off the top of my head, but yes, I
10 have interfaced with colleagues at the University of Florida
11 before.

12 Q. Have you interfaced with anyone, who provides the services
13 you provide, here in Tallahassee, Florida?

14 A. I don't recall.

15 MR. JAZIL: Thank you.

16 THE COURT: Thank you, Dr. Shumer. You may step down.
17 (Dr. Shumer exited the courtroom.)

18 THE COURT: Please call your next witness.

19 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

20 MS. McKEE: Plaintiffs call Dr. Loren Schechter.

21 (Dr. Schechter entered the courtroom.)

22 MR. GONZALEZ-PAGAN: Your Honor, if it's okay, the
23 witness has requested to take a two-minute -- five-minute
24 bathroom break, if that's okay.

25 THE COURT: Surely. Let's go ahead and take the

1 morning break. Let's take ten minutes, and we'll start back at
2 10:10.

3 (Recess taken at 10:00 AM.)

4 (Resumed at 10:10 AM.)

5 THE COURT: Please be seated.

6 Please swear the witness.

7 THE COURTROOM DEPUTY: Please stand and raise your
8 right hand.

9 **DR. LOREN SCHECHTER, PLAINTIFFS WITNESS, DULY SWORN**

10 THE COURTROOM DEPUTY: Please be seated.

11 Please state your full name and spell your last name
12 for the record.

13 THE WITNESS: Loren Schechter, S-c-h-e-c-h-t-e-r.

14 DIRECT EXAMINATION

15 BY MS. MCKEE:

16 Q. Dr. Schechter, what is your profession?

17 A. I'm a plastic surgeon.

18 Q. Are you a board-certified plastic surgeon?

19 A. I am.

20 Q. Would you please summarize for the Court your formal
21 education and training?

22 A. Undergraduate degree at the University of Michigan, medical
23 degree at the University of Chicago Pritzger School of Medicine,
24 and training in general and plastic surgery at the University of
25 Chicago, and a fellowship in reconstructive microsurgery at the

1 University of Chicago.

2 Q. What is your current position?

3 A. Professor of surgery and neurology at Rush University
4 Medical Center, and director of gender affirmation surgery.

5 Q. Do you have any additional role within the division of
6 plastic surgery at Rush?

7 A. I serve as the patient safety officer for the division of
8 plastic surgery.

9 Q. You mentioned gender affirmation surgery. In basic terms,
10 what is gender-affirming surgery?

11 A. Gender-affirming surgery represents a constellation of
12 procedures that are designed to align a person's anatomy with
13 their gender identity.

14 Q. Is gender-affirming surgery performed to treat particular
15 condition or conditions?

16 A. Performed to treat gender dysphoria.

17 Q. How long have you been performing gender-affirming surgery?

18 A. As an attending physician, since 2000, and also during my
19 medical school and residency as well.

20 Q. Over the course of your career how many gender-affirming
21 procedures have you performed?

22 A. Over 1500.

23 Q. What percentage of your current practice consists of
24 gender-affirming procedures?

25 A. Approximately 90 percent.

1 Q. And what percentage of your patients who undergo
2 gender-affirming procedures are under age 18?

3 A. Currently under -- under 2 percent.

4 Q. And how many would you say -- or what percentage would you
5 say are under age 21?

6 A. Under 5 to 10 percent.

7 Q. Have you published any articles in peer-reviewed
8 publications?

9 A. I have.

10 Q. About how many articles?

11 A. Approximately 70, perhaps a bit more.

12 Q. And roughly what percentage of those articles are related
13 to gender-affirming surgery?

14 A. Approximately 15 percent, maybe 20 percent.

15 Q. Have you written any medical textbooks on gender-affirming
16 surgery?

17 A. I have.

18 Q. Could you tell us what those are?

19 A. I've written for *Surgical Atlas on Surgical Management of*
20 *the Transgender Patient*, published perhaps 2006 or 2017. Edited
21 textbooks similarly on gender-confirming surgery.

22 Q. Are you one of the authors of the WPATH Standards of Care?

23 A. I am.

24 Q. Which chapter did you contribute to?

25 A. I was the co-lead author on the surgery and aftercare

1 chapter, Chapter 13.

2 Q. Are you involved in training other surgeons to perform
3 gender-affirming procedures?

4 A. I am. I started the first fellowship in the U.S. in 2017,
5 and regularly work with surgical residents, medical students,
6 fellows.

7 Q. Do you have a leadership role in any professional
8 associations?

9 A. I do. I'm currently on the executive committee of WPATH.
10 I serve as treasurer of WPATH. I chair the finance committee
11 for the American Society of Plastic Surgeons.

12 Q. What is the American Society of Plastic Surgeons?

13 A. That's a professional organization. Membership requires
14 certification by the American Board of Plastic Surgery and
15 represents plastic surgeons, both nationally and as well as
16 internationally, international members.

17 Q. When you submitted your expert report in this case, you
18 provided a copy of your CV; correct?

19 A. I did.

20 (Reporter requested clarification.)

21 BY MS. McKEE:

22 Q. When you submitted your expert report in this case, you
23 provided a copy of your CV; correct?

24 A. Yes.

25 Q. And does that CV present an accurate summary of your

1 qualifications and professional activities?

2 A. Yes. There's probably been some more publications since
3 then, but yes.

4 MS. McKEE: Your Honor, Dr. Schechter's CV is
5 Plaintiffs' Exhibit 362 and is included on our list of
6 stipulated exhibits.

7 THE COURT: That is admitted.

8 MS. McKEE: Thank you.

9 At this time I move to have Dr. Schechter qualified as
10 an expert in plastic surgery, and specifically the surgical
11 treatment of gender dysphoria in adults and adolescents.

12 THE COURT: Mr. Jazil, questions at this time?

13 MR. PERKO: No questions, Your Honor.

14 THE COURT: You may proceed.

15 MS. McKEE: Thank you.

16 BY MS. McKEE:

17 Q. So you mention you're a member of the American Society of
18 Plastic Surgeons.

19 Are you a member of the American Medical Association?

20 A. I am.

21 Q. What about the American College of Surgeons?

22 A. Yes.

23 Q. What about the American Burn Association?

24 A. Yes.

25 Q. Do any of those four professional medical associations I

1 asked about engage in advocacy?

2 A. Yes, to those four and many others.

3 Q. On behalf of what or whom do those organizations advocate?

4 A. Typically on behalf of the patients for whom we care, as
5 well as members of the organization.

6 Q. And in your experience do most professional medical
7 associations engage in advocacy?

8 A. Yes, they do.

9 Q. Would you summarize for the Court the various
10 gender-affirming surgical procedures that are performed?

11 A. So there are procedures on the face, often referred to --
12 or maybe referred to as facial feminizing or masculinizing;
13 procedures on the chest or breasts, typically either mastectomy
14 or breast reconstruction, sometimes referred to as breast
15 augmentation; procedures on the genitalia, and procedures on the
16 body.

17 Q. Could you give an overview of gender-affirming genital
18 surgeries that you mentioned?

19 A. Pardon me?

20 Q. Could you give an overview of gender-affirming genital
21 surgeries?

22 A. Yes.

23 So for transfeminine people, vaginoplasty. For
24 transmasculine people, either phalloplasty or what's referred to
25 as metoidioplasty, m-e-t-o-i-d-i-o-p-l-a-s-t-y, which refers to

1 lengthening of the hormonally hypertrophied or virilized
2 anatomy.

3 Q. And what surgical procedures do you perform in your own
4 practice?

5 A. I perform all of those procedures, less so face now. I
6 have colleagues who specialize in facial surgery and
7 craniofacial surgery.

8 Q. Are the various surgical procedures that are performed to
9 treat gender dysphoria performed to treat other conditions as
10 well?

11 A. Yes, they can be.

12 Q. And what other conditions is mastectomy performed to treat?

13 A. A mastectomy may be performed to treat breast cancer, it
14 may be performed as what we call risk reducing or prophylactic,
15 to reduce the risk of a person having breast cancer, may be
16 performed for gender-affirming purposes.

17 Q. So other than when performed for gender-affirming purposes,
18 have you ever performed a mastectomy to prevent or treat cancer?

19 A. Yes.

20 Q. And other than gender dysphoria, what conditions is
21 vaginoplasty performed to treat?

22 A. Vaginoplasty may be performed for congenital differences,
23 for cisgender women either born without a vagina or incomplete
24 formation of the vagina; may be performed for oncologic
25 reconstruction, so for cisgender women who have had portions of

1 the vulva or vagina removed for cancer; may be performed to
2 treat traumatic deformities, infection, and gender-affirming.

3 Q. So have you ever performed a vaginoplasty to treat any of
4 those conditions other than gender dysphoria?

5 A. Yes.

6 Q. And other than gender dysphoria, what conditions is
7 phalloplasty performed to treat?

8 A. Similarly, it may be performed for traumatic
9 reconstruction, oncologic reconstruction, congenital
10 differences, infection.

11 Q. Have you ever performed a phalloplasty for any of those
12 reasons?

13 A. I have.

14 Q. How long have surgeons been performing gender-affirming
15 procedures?

16 A. Well, in the modern surgical history, really dates back to
17 the 1930s. The first modern reports of vaginoplasty performed
18 in Germany in Berlin in the 1930s. Subsequent to that, really,
19 the father of plastic surgery, Sir Harold Gillies, performed the
20 first phalloplasty procedure on a World War II veteran in the
21 mid-1940s, using a series of flaps, tissue we transfer from one
22 area of the body to another.

23 Sir Harold Gillies, actually in association with Dr. Ralph
24 Millard, who had served as chairman of plastic surgery at the
25 University of Miami, was a world-renowned plastic surgeon, also

1 performed a vaginoplasty in the '40s or '50s, again on a World
2 War II veteran.

3 Subsequent to that, a gynecologist practicing in Casa
4 Blanca, really credited with developing much of the modern
5 basis, the surgical techniques that we use today, really still
6 form the basis of those procedures.

7 We move forward to the '80s or so, and many of the more
8 sophisticated reconstructive and microsurgical procedures were
9 then performed for how we now create -- perform a phalloplasty.

10 Q. How does a doctor become a board-certified plastic surgeon?

11 A. So following graduation from medical school, entering an
12 accredited residency; following that, passing written
13 examination, and then an oral examination. And then to maintain
14 one's board certification, there is a ten-year cycle, that we
15 now refer to as maintenance of certification, requiring a
16 variety of ongoing education efforts and tests.

17 Q. Is gender-affirming surgery part of the core curriculum in
18 residency for a plastic surgeon?

19 A. It is.

20 Q. Is gender-affirming surgery a component of the written or
21 oral board exams in plastic surgery?

22 A. Yes.

23 Q. And can gender-affirming surgery be a component of the
24 maintenance requirements of a plastic surgeon?

25 A. It can, yes.

1 Q. I want to turn to talk about clinical guidelines.

2 Are there clinical guidelines for the surgical treatment of
3 patients with gender dysphoria?

4 A. Yes.

5 Q. What are those guidelines?

6 A. Those are the Standards of Care, currently Version 8.

7 Q. When you say "Standards of Care," are you referring to the
8 WPATH Standards of Care?

9 A. I am.

10 Q. When were those Standards of Care first published?

11 A. The first version was published in 1979. Prior to the 8th
12 version, the 7th version was published, I believe, in 2012. The
13 8th version was released in September of '22.

14 Q. And do you practice in accordance with the WPATH Standards
15 of Care?

16 A. I do.

17 Q. Do you regularly talk with other surgeons who perform
18 gender-affirming procedures about those procedures?

19 A. Yes.

20 Q. And roughly how many surgeon who perform gender-affirming
21 procedures would you say you regularly talk with?

22 A. Over 100.

23 Q. In what context are you having those discussions?

24 A. A number of different contexts: Meetings, seminars,
25 conferences, collaboration in various clinical research

1 programs, patient care.

2 Q. Are all of the surgeons you are talking with based in the
3 United States?

4 A. No.

5 Q. Where are some of those other surgeons living and
6 practicing?

7 A. Throughout Europe, South America, Canada, Asia.

8 Q. And do most of those surgeons consider the WPATH Standards
9 of Care to be the prevailing clinical guidelines for
10 gender-affirming surgery?

11 A. I believe so.

12 Q. And, to your knowledge, do most of those surgeons practice
13 in accordance with the WPATH Standards of Care?

14 A. I believe so.

15 Q. Under those Standards of Care, is surgery indicated for
16 every patient with gender dysphoria?

17 A. Well, not every patient who is transgender or who has
18 gender dysphoria seeks surgical interventions. Not every person
19 seeks every possible surgical intervention and not every person
20 is a candidate for a surgical intervention.

21 Q. Under the WPATH Standards of Care, is gender-affirming
22 surgery ever appropriate for treatment for an adolescent under
23 age 18?

24 A. It can be.

25 Q. Which surgeries are considered appropriate treatment for an

1 adolescent?

2 A. Overwhelmingly, mastectomy.

3 Q. Is general surgery ever considered appropriate treatment
4 for a patient under 18?

5 A. In extremely rare situations it can be.

6 Q. Does the number and sequence of surgical procedures vary --
7 (Reporter requested clarification.)

8 BY MS. McKEE:

9 Q. Does the number and sequence of surgical procedures vary
10 from patient to patient?

11 A. Yes.

12 Q. And based on what factors?

13 A. Based on patient factors, patient goals, patient
14 expectations -- any number of factors -- ability to have time
15 off work, depending -- or school, depending on the complexity
16 and the nature of the procedure.

17 Q. Do the WPATH Standards of Care envision the surgeon working
18 in a multidisciplinary manner to decide if a particular patient
19 is a candidate for surgery?

20 A. It does.

21 Q. What kinds of providers can be part of that
22 multidisciplinary team?

23 A. It can be surgeons; it can be medical professionals; it can
24 be mental health professionals.

25 Q. In your experience, are any of those providers working as

1 part of that team ever in private practice?

2 A. Yes. And I was in private practice for a number of years.

3 Q. And when you were in private practice, did you still work
4 as part of a multidisciplinary team for patients undergoing
5 gender-affirming surgery?

6 A. I did. And we regularly -- I regularly work with
7 professionals from around the country and around the world. So
8 multidisciplinary doesn't necessarily imply that all of the
9 professionals are housed, so to speak, under one roof. We
10 regularly communicate and interact with people outside of our
11 own institution, and I have done that throughout my career.

12 Q. Can you explain for us how the multidisciplinary process
13 works?

14 A. Sure.

15 And so it depends, of course, on the individual case. But,
16 for example, in my practice when I see someone in consultation,
17 we'll meet with them, obtain a history and physical, listen to
18 what their goals are; we'll discuss possible treatment pathways,
19 which may mean no intervention, but we also discuss, if
20 appropriate, relative interventions.

21 Subsequent to that and before making a decision to proceed
22 with surgery, we'll obtain assessments. Those are typically
23 assessments from mental health professionals, typically medical
24 professionals as well. Those assessments are reviewed. If
25 additional information is required or warranted, or additional

1 consultations, we'll obtain or request that. And then we'll, if
2 appropriate, move forward with surgery.

3 Q. And under the WPATH Standards of Care and in your practice,
4 does the surgeon make the ultimate determination as to whether
5 to proceed with surgery?

6 A. Yes. Ultimately it's the decision of the surgeon.

7 Q. What goes into making that determination?

8 A. Well, it's much like every decision we make, every surgical
9 decision we make in many areas of surgery. We meet with the
10 patient; we take a history and physical; we listen to them,
11 listen to their goals, their expectations. We make an
12 assessment as to whether a procedure is indicated; if so, what
13 types. We discuss the options, the risks, the benefits. We
14 obtain the consult -- the assessments that we just discussed and
15 hopefully arrive at a treatment plan that is in mutual agreement
16 through this shared decision-making process.

17 Q. And does the surgeon obtain informed consent from the
18 patient?

19 A. Yes. And informed consent is very much a process. Just as
20 we've described, it culminates with memorializing this typically
21 in what we call the informed consent document, but typically
22 involves this discussion between the surgeon and the patient.
23 We encourage people. We provide written information. We
24 review, for example, representative photographs of results. We
25 encourage patients to go home, to consider, to discuss with

1 whoever is relevant in their decision-making process, to return
2 to us, if needed, in person, by phone for additional
3 information. And then, again, if the decision is to move
4 forward, memorialize that with the documentation.

5 Q. Can a minor provide informed consent to surgery?

6 A. So minors, in order to proceed with surgery, need to assent
7 to a surgical procedure, which is very much a parallel process
8 to the informed consent which is provided by the parents or
9 guardian.

10 Q. Can a patient with a mental health condition provide
11 informed consent to surgery?

12 A. So patients with mental health conditions can provide
13 informed consent. Now, if someone, of course, is actively
14 psychotic or delusional, they cannot do that. But we do see
15 patients not only in the realm of gender-affirming care, but
16 many other areas of care -- oncologic care, traumatic
17 reconstruction -- who may have mental health conditions and
18 routinely provide informed consent.

19 Q. Are there other areas of surgery in which a similar kind of
20 multidisciplinary approach is used?

21 A. Multidisciplinary care is common in cancer care,
22 transplantation, bariatrics.

23 Q. What distinguishes a medically necessary surgery from a
24 surgery that is not medically necessary?

25 A. So medically necessary procedures are typically done to

1 treat a medical condition or prevent progression of that
2 condition.

3 Q. In plastic surgery is a medically necessary surgery
4 generally referred to as reconstructive?

5 A. Generally, yes.

6 Q. And a surgery that is not medically necessary is generally
7 referred to as cosmetic?

8 A. Correct.

9 Q. Are there any gender-affirming surgical procedures that you
10 consider to be medically necessary?

11 A. Yes.

12 Q. And why do you consider them to be medically necessary?

13 A. Because -- excuse me -- they are used to treat the medical
14 condition of gender dysphoria.

15 Q. Does the broader medically -- let me start over.

16 Does the broader medical community generally consider
17 gender-affirming procedures to be medically necessary?

18 A. Yes.

19 Q. And how do you know that?

20 A. Well, I'm a member of professional organizations. I've
21 spoken at a number of these professional organizations at
22 various conferences, routinely work with other professionals who
23 are members of these organizations.

24 Q. Does the American Society of Plastic Surgeons consider
25 gender-affirming procedures to be medically necessary?

1 A. Yes, they consider many of these procedures to be
2 reconstructive and, in fact, list that on their website under
3 the description of these procedures.

4 Q. Are there other medical associations that agree with that,
5 that these surgeries are reconstructive?

6 A. American Medical Association, WPATH.

7 Q. One of the experts for the defense, Dr. Lappert, contends
8 that gender-affirming surgery is never medically necessary
9 because the patient is healthy before the surgery.

10 What is your response to that?

11 A. I disagree with that. As we've discussed, we operate on
12 people who are oftentimes, quote, otherwise healthy. So, for
13 example, performing a risk reduction or prophylactic mastectomy
14 where we remove a breast on a cisgender woman who may be seeking
15 to reduce her risk of cancer who is otherwise healthy; a person
16 with appendicitis, but for the appendicitis would be otherwise
17 healthy.

18 So otherwise healthy is not a distinguishing criteria for
19 medical necessity of a procedure.

20 Q. Dr. Lappert also contends that a gender-affirming
21 mastectomy is not medically necessary because it causes a
22 complete loss of function, specifically, the loss of the ability
23 to breastfeed and a loss of erotic sensibility.

24 What is your response to that?

25 A. I also disagree with that.

1 So for many transgender men, the breast is not a source of
2 erotic sensibility, and they do not desire the ability to
3 breastfeed. And, in fact, romantic relationships are typically
4 enhanced following the removal of the breasts, following the
5 mastectomy.

6 But we perform other procedures on the breasts on cisgender
7 women. The ability to lactate, for example, is not necessarily
8 known to me. If we have a woman who is seeking breast
9 reduction, we don't test her ability as to whether she can
10 lactate. Many cisgender whom who seek breast reduction do not
11 have erotic sensibility of the nipples. The breasts may be
12 quite large; they stretch the nerves.

13 So neither of those, in my opinion, would determine medical
14 necessity of the procedure.

15 Q. Is there peer-reviewed literature examining the
16 effectiveness of gender-affirming surgery?

17 A. Yes.

18 Q. Do you keep up to date with that literature?

19 A. I do.

20 Q. Why do you do that?

21 A. Well, clinically for patient care. I teach -- routinely
22 teach students, residents, fellows, present at meetings, conduct
23 clinical research.

24 Q. And what does the peer-reviewed literature show about
25 whether a surgery is effective in alleviating gender dysphoria?

1 A. Literature demonstrates both safety and efficacy.

2 Q. Do some of the studies look at whether surgery, when used
3 to treat gender dysphoria, has any effect on patient quality of
4 life?

5 A. Yes.

6 Q. And what do those studies show?

7 A. Improvements in quality of life.

8 Q. Is it common for researchers to examine the effect of a
9 surgical procedure on patient quality of life?

10 A. Yes.

11 Q. In what other areas of surgery do researchers use patient
12 quality of life as an outcome measure?

13 A. Many in plastic surgery, but certainly in breast cancer and
14 breast reconstruction.

15 Q. And am I correct to say that surgeons generally agree that
16 surgery to treat breast cancer is medically necessary as opposed
17 to reconstructive -- as opposed to cosmetic?

18 A. Yes.

19 Q. Does any of the peer-reviewed literature look at the
20 effectiveness of gender-affirming surgery in adolescents?

21 A. Yes.

22 Q. And what does that literature show?

23 A. Similar, both safe and effective.

24 Q. Are you familiar with the American Society of Plastic
25 Surgeons's levels of evidence?

1 A. I am.

2 Q. Could you explain what the levels of evidence are?

3 A. The levels of evidence are listed I through V, I typically
4 being randomized controlled trial, V typically being expert
5 opinion, II, III, IV vary, for example, with case series,
6 cohorts, et cetera.

7 Q. And are those levels of evidence similar to the levels of
8 evidence used in other areas of medicine?

9 A. They are. They may have subtle variation, but generally
10 speaking, yes.

11 Q. Is it possible to perform randomized controlled trials to
12 evaluate gender-affirming surgery?

13 A. It's not. Number one, we can't blind people to a surgical
14 procedure. Obviously, if you've had a procedure, you will know.
15 There's no placebo in surgery. There's not a pill, that is, a
16 sugar pill, versus a medication. Of course, it would be
17 unethical to deny people medically necessary care. And so those
18 are limitations not only in the realm of gender-affirming
19 surgery, but in many other areas of plastic surgery.

20 Q. Are studies that are rated as a lower level of evidence
21 ever used in clinical decision-making?

22 A. Yes.

23 Q. And how are they used?

24 A. Well, levels of -- studies of lower levels of evidence may
25 be helpful in guiding treatment, understanding a condition. The

1 medical literature is one component that informs our clinical
2 decision-making, but it's one component. Of course our
3 experience -- not only our experience, our discussions with
4 colleagues across the globe; speaking with our patients,
5 listening to our patients, understanding their values, their
6 preferences. Those are all some of the factors that guide and
7 go into clinical decision-making.

8 Q. How does the level of evidence supporting gender-affirming
9 surgical care compare to the level of evidence supporting other
10 accepted plastic surgeries?

11 A. Similar. So, for example, in the area of cleft or cranial
12 facial surgery, there are very few randomized controlled trials
13 and for many of the same reasons: Small population size,
14 vulnerable population, inability to blind, to have a placebo,
15 can't deny people medically necessary care. And these are just
16 inherent limitations we face in surgery.

17 Q. So you mentioned studies aren't the only way that you
18 determine the appropriate course of treatment for a condition;
19 that's right?

20 A. Correct.

21 Q. In your clinical experience, do patients benefit from
22 gender-affirming procedures?

23 A. Yes.

24 Q. And how so?

25 A. Well, overwhelmingly, in terms of relief of dysphoria,

1 improved quality of life.

2 Q. Have you seen patients who have been unable to access
3 gender-affirming procedures due to lack of insurance coverage or
4 other financial barriers?

5 A. Yeah. That was really the natural history. Certainly,
6 early in my practice when access to care was limited, people had
7 to leave the country. People very tragically had a result of
8 self-surgery, castration, autoamputation. So, unfortunately,
9 I've seen the natural history of untreated gender dysphoria.
10 And, unfortunately, that was the norm here in the United States
11 until we were fortunate to have expansion of access to care.

12 Q. You testified earlier that various surgical procedures that
13 are performed to treat gender dysphoria are also performed to
14 treat other conditions; correct?

15 A. Yes.

16 Q. Are the procedures any more or less safe when performed to
17 treat gender dysphoria as opposed to another condition?

18 A. Procedures we use in gender-affirming surgery are
19 established techniques that are routinely used to treat other
20 conditions.

21 Q. Are the complication rates for the procedures any different
22 when performed to treat gender dysphoria as opposed to other
23 conditions?

24 A. Complication rates are commensurate with those other
25 procedures, other conditions.

1 Q. Okay. I want to switch gears to talk about regret among
2 patients.

3 Is there peer-reviewed research looking at rates of regret
4 among people who have had gender-affirming surgery?

5 A. Yes.

6 Q. What does that literature show?

7 A. Extremely low. The -- and regret may be subdivided into
8 different types. Regret regarding one's identity -- I was
9 wrong. I'm not who I am -- extremely low, probably .3;
10 .6 percent, regret regarding external factors --
11 marginalization, stigmatization, loss of relationships, loss of
12 professional opportunities.

13 But regret in -- regret also implies that someone would
14 have made a different decision. And so regret occurs far more
15 commonly, far more commonly in other areas of surgery and, in
16 fact, is very low in gender-affirming surgery as compared to
17 many other areas of surgery.

18 Q. And is there peer-reviewed research looking at rates of
19 regret in other areas of surgery?

20 A. Yes.

21 Q. What kinds of surgery?

22 A. Breast cancer -- treatments for breast cancers, breast
23 reconstruction, prostatectomy, orthopaedics.

24 Q. And you mentioned, I believe, that rates of regret are much
25 higher in those areas of surgery.

1 Can you describe for us at all what magnitude we're talking
2 about when we say they are higher?

3 A. On the order of exponential.

4 Q. In your clinical experience, have you seen many patients
5 expressing regret after undergoing gender-affirming surgery?

6 A. Very few.

7 Q. In your opinion is gender-affirming surgery experimental?

8 A. No.

9 Q. What is that opinion based on?

10 A. Well, it's based on my knowledge of the procedures, the
11 fact that they use established techniques that are widely used
12 in other areas of surgery, that the procedures that we've
13 discussed have been performed in the modern history since the
14 1930s. I've presented at numerous national and international
15 conferences. I've never been required to identify any of these
16 procedures as experimental in those conversations.

17 Q. Are you aware of any professional surgical societies that
18 characterize gender-affirming surgery as experimental?

19 A. I am not.

20 Q. In your opinion is gender-affirming surgery an effective
21 treatment for gender dysphoria?

22 A. Yes.

23 Q. And what is that opinion based on?

24 A. Again, my providing care for over two decades, my
25 discussions with patients, discussions with colleagues, the

1 literature.

2 Q. In your opinion does denial of coverage for
3 gender-affirming surgery harm patients?

4 A. Yes. I mean, we're going back to the days where there were
5 no -- there was no access to care and see the, you know,
6 regrettable consequences of people who lack access to medically
7 necessary medical care.

8 Q. And in your experience what are some of those consequences?

9 A. Well, in the surgical arena for me it's autoamputation,
10 self-castration, self-mutilation, worsened dysphoria.

11 MS. McKEE: That's all I have for this witness.

12 THE COURT: Cross-exam?

13 CROSS-EXAMINATION

14 BY MR. PERKO:

15 Q. Good morning, Dr. Schechter.

16 A. Good morning.

17 Q. Dr. Schechter, you went into a lot about the
18 gender-affirming surgery that you perform. And that includes
19 mastectomies on gender dysphoric patients?

20 A. Yes.

21 Q. And you have performed mastectomies on gender dysphoric
22 patients as young as 14 years old; is that correct?

23 A. That is correct, on three occasions.

24 Q. Now, you also mentioned that you were a chapter --
25 co-author of chapter -- co-lead author of Chapter 13 of the

1 WPATH Version 8 Standards of Care?

2 A. Yes.

3 Q. Let me pull up Plaintiffs' Exhibit 34, please.

4 MR. PERKO: Your Honor, may I approach the witness?

5 THE COURT: You may.

6 BY MR. PERKO:

7 Q. Turning to Bates page 6268 in the back.

8 A. Okay.

9 MR. PERKO: Can you blow up 6268.

10 THE WITNESS: I'm sorry. 62?

11 BY MR. PERKO:

12 Q. I'm sorry. At the bottom, 6268. It's at the very end.

13 A. Oh, I'm sorry. I'm looking at the Bates --

14 Q. If we could look at the third paragraph under *Section 3.3,*
15 *Selection of chapter members.*

16 A. Okay.

17 Q. It states that: *Each chapter also included stakeholders as*
18 *members who bring perspectives of transgender health advocacy or*
19 *work in the community, or as a member of a family that included*
20 *a transgender child, sibling, partner, parent, et cetera.*

21 Did you seek out the perspectives of opponents of
22 gender-affirming care?

23 A. I would say I didn't necessarily know the opinion before
24 selecting the chapter member.

25 Q. So were any of the members opposed to gender-affirming

1 care?

2 A. I would say -- based on our discussions, I would not say
3 people were opposed to gender-affirming care.

4 Q. I'd like to show you Exhibit DX24. I believe you have that
5 with you. It's the Endocrine Society guidelines.

6 You have it there for you?

7 A. I do.

8 MR. PERKO: Can we bring that up, please.

9 BY MR. PERKO:

10 Q. I'd like to go to Bates page 29. And you -- in your expert
11 report, you refer to the Endocrine Society guidelines, and you
12 said it was a leading medical organization; is that correct?

13 A. It is a leading medical organization, yes.

14 Q. And are you familiar with the Endocrine Society guidelines?

15 A. I am.

16 Q. Okay. Referring to *Section 5.0, Surgery for Sex*
17 *Reassignment and Gender Confirmation*, do you see that?

18 A. I do.

19 Q. The second sentence says: *The type of surgery falls into*
20 *two main categories: (1) those that directly affect fertility*
21 *and (2) those that do not.*

22 Do you see that?

23 A. I do.

24 Q. Do you agree with that statement?

25 A. I don't know that I would characterize -- when describing

1 procedures that I would use that characterization, but there are
2 procedures that direct fertility -- that affect fertility and
3 those that don't.

4 Q. Okay. Paragraph 3, the first full paragraph under Section
5 5, the first sentence says that: *Surgery that affects fertility*
6 *is irreversible.*

7 Do you agree with that statement?

8 A. No. I guess I would need to know what the particular
9 procedure they are referencing is. Orchiectomy, removal of
10 testicles, would be sterilizing, yes.

11 Q. It actually goes down later in paragraph 4,
12 *Gender-affirming* -- I'm sorry -- I apologize. Yeah, paragraph
13 4: *Gender-affirming genital surgeries for transgender females*
14 *that affect fertility include gonadectomy, penectomy, and*
15 *creation of a neovagina.*

16 Do you agree with that statement?

17 A. Yes.

18 Q. Moving on to the next page, under the heading Evidence,
19 first sentence says: *Owing to the lack of controlled studies,*
20 *incomplete follow-up, and lack of valid assessment measures,*
21 *evaluating various surgical approaches and techniques is*
22 *difficult.*

23 Do you agree with that statement?

24 A. This statement refers to the specific techniques. So in
25 terms of a head-to-head comparison, there are a number of, for

1 example, vaginoplasty techniques, a number of phalloplasty
2 techniques, a number of mastectomy techniques. So in terms of a
3 head-to-head comparison between each of those techniques, I'm
4 still not sure that I would agree with that statement. No, I
5 don't think I would agree with that statement.

6 Q. Okay. If we could go up above that, there are a number of
7 recommendations and ungraded good practice statements. Do you
8 see that? They are labeled 5.1 to 5.6.

9 A. I see 5.1 to 5.6.

10 Q. Okay. And it shows the level of quality of evidence for
11 each of those recommendations; is that correct?

12 A. I think that's referencing what I just heard in
13 Dr. Shumer's deposition, the -- I think these hash marks after
14 each of their statements.

15 Q. Do you understand what those hash marks represent?

16 A. I'd have to go look at their specific -- I don't recall by
17 memory what each represents.

18 Q. Do you know what an ungraded good practice statement is
19 under 5.2?

20 A. I don't know how they are using that term. I'd have to
21 look at the definition.

22 Q. If we could go to the next page, 31, last sentence of the
23 first paragraph, it states: *We need more studies with*
24 *appropriate controls that examine long-term quality of life,*
25 *psychosocial outcomes, and psychiatric outcomes to determine the*

1 *long-term benefits of surgical treatment.*

2 Do you disagree with that statement?

3 A. Well, I'm just going to take a look and see what paragraph
4 it is. But, as a general rule, I would say I'm unaware of any
5 area of medicine or surgery where we would say there's no need
6 for further study or research.

7 But looking at this paragraph. So it seems to me this
8 refers to the sentences before it: *Reversal surgery in*
9 *regretful male-to-female transexuals after sexual reassignment*
10 *surgery represents a complex, multistage procedure with*
11 *satisfactory outcome. Further insight into the characteristics*
12 *of persons who regret their decision postoperatively would*
13 *facilitate better future selection of applicants eligible for*
14 *sexual reassignment surgery. We need more studies.* That's the
15 last sentence.

16 So I don't think I would disagree with any report saying we
17 need continued study or ongoing understanding.

18 MR. PERKO: Your Honor, at this time I wanted to pull
19 up some of the confidential medical records, so if we could turn
20 off the big screen.

21 This would be Exhibit -- Plaintiffs' Exhibit 234A.
22 And about ten pages down, Bates No. 000848, please.

23 BY MR. PERKO:

24 Q. And, Doctor, I think you can take a look at this. This is
25 a record of a MD who met with one of the plaintiffs to discuss

1 chest masculinization surgery.

2 Do you see that?

3 A. I see this, yes.

4 Q. About three-quarters of the way down it states: *I*
5 *discussed the risk of surgery, including but not limited to*
6 *bleeding, infection, scarring, loss of nipple graft, asymmetry,*
7 *contour irregularities, need for revision surgery, regret,*
8 *sensory changes, and need for additional procedures.*

9 Do you agree that those are some of the risks associated
10 with chest masculinization surgery?

11 A. I do agree.

12 Q. And you mentioned vaginoplasty. What are the risks
13 associated with vaginoplasty?

14 A. So, as with any procedure, bleeding, infection, flow
15 accumulations, wound disruptions, delayed healing, blood clots,
16 stenosis of the vaginal canal, fistula, rectovaginal fistula,
17 meaning a hole or abnormal communication between the rectum and
18 the vagina, urinary stream abnormalities, unsatisfactory
19 cosmetic outcome, loss of sensation.

20 Q. Is that it?

21 A. That may not be all inclusive, but representative.

22 Q. Thank you.

23 What are some of the risks associated with phalloplasty?

24 A. Similar: Bleeding, infection, tissue loss, blood clots,
25 urethral stream abnormalities, urethral fistula, urethral

1 stricture, delayed healing, unsatisfactory outcome, appearance,
2 sensory, injury to nerves, including sensory nerves.

3 Q. What are some of the risks associated with gonadectomy?

4 A. Well, orchiectomy, removal of the testicles, fairly low
5 risk. Like any procedure, there are risks of bleeding or
6 infection or wound disruption.

7 Q. And what about penectomy?

8 A. Similar.

9 MR. PERKO: I have nothing further, Your Honor.

10 THE COURT: Redirect?

11 REDIRECT EXAMINATION

12 BY MS. McKEE:

13 Q. Dr. Schechter, my friend asked you about the risks
14 associated with various gender-affirming surgical procedures.
15 Are those risks the same when a procedure is performed to treat
16 gender dysphoria and when it's performed to treat another
17 condition?

18 A. Yes.

19 MS. McKEE: No further questions.

20 THE COURT: Dr. Schechter, you talked about a time
21 when care wasn't available; insurers didn't pay for it. What's
22 the situation now? Do all the major insurers pay for this?

23 THE WITNESS: Much better than it was. Of course, it
24 varies state to state. It varies by insurer. I would estimate
25 now -- so in 2000, if it was 5 percent of cases that were

1 covered by insurance that would probably be a lot. I could say
2 now 80-plus percent of my practice is covered by insurance.

3 THE COURT: You draw patients from all around the
4 Midwest or nationally? What's your patient mix?

5 THE WITNESS: 38 -- as of this last year, 38 states
6 and Canada.

7 THE COURT: Heavily centered, I take it, in the
8 Midwest?

9 THE WITNESS: Primarily Midwest, but including Alaska,
10 Hawaii, Florida, Southeast as well.

11 THE COURT: The lawyers will have evidence of this
12 other places.

13 You see Medicaid patients?

14 THE WITNESS: I do.

15 THE COURT: And that gets reimbursed from many of
16 those 38 states, I take it?

17 THE WITNESS: I can't speak on each state of
18 reimbursement. In Illinois, yes.

19 And I don't want to speculate exactly on the back end,
20 but to my knowledge we treat people -- Indiana, the surrounding
21 states where it is covered, and we do receive reimbursement.
22 But I don't want to -- I know they are not paying out of pocket,
23 let me say that.

24 THE COURT: You are not the financial guy, I get it?

25 THE WITNESS: I'm not.

Redirect Examination - Dr. Schechter

1 THE COURT: All right. Thank you.

2 Questions just to follow up on mine?

3 MR. PERKO: No, Your Honor.

4 MS. McKEE: No, Your Honor.

5 Thank you.

6 THE COURT: Thank you, Dr. Schechter. You may step
7 down.

8 THE WITNESS: Thank you.

9 (Dr. Schechter exited the courtroom.)

10 THE COURT: Please call your next witness.

11 MR. GONZALEZ-PAGAN: Your Honor, if we could have a
12 couple of minutes. He's going through security right now.

13 THE COURT: All right. If he's going through
14 security, he'll be here in just a minute. Let's just be at ease
15 for just a minute.

16 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

17 Mr. Charles will call him.

18 THE COURT: Who is the witness?

19 MR. CHARLES: Dr. Armand Antommara.

20 THE COURT: Let me say this to the lawyers: The court
21 reporter will be grateful if you provide a glossary. You've
22 probably provided and she would have on her own some of the
23 terms that are particularly related to this, but there have been
24 times when the doctor has talked about procedures having nothing
25 to do with gender-affirming care. If somebody on each side

1 would just make a note as those words come up, and then at the
2 break provide it to the court reporter, she'd be grateful.

3 I do think DEXA, the DEXA studies, was D-E-X-A. That
4 one we had.

5 Doctor, right up here.

6 (Dr. Antommara entered the courtroom.)

7 THE COURTROOM DEPUTY: Please remain standing and
8 raise your right hand.

9 **DR. ARMAND AN TOMMARRIA, PLAINTIFFS WITNESS, DULY SWORN**

10 THE COURTROOM DEPUTY: Please be seated.

11 Please state your full name and spell your last name
12 for the record.

13 THE WITNESS: My full name is Armand Herbert Matheny
14 Antommara. Antommara is spelled A-n-t-o-m-m-a-r-i-a.

15 Matheny is spelled M-a-t-h-e-n-y.

16 DIRECT EXAMINATION

17 BY MR. CHARLES:

18 Q. Good morning, Dr. Antommara.

19 What is your profession?

20 A. I'm a pediatrician, a pediatric hospitalist and
21 bioethicist.

22 Q. Please describe for the Court your formal education and
23 training.

24 A. I hold a medical degree from Washington University in
25 St. Louis, and completed my pediatric residency training at the

1 University of Utah. And I hold a Ph.D. in religious ethics from
2 the University of Chicago Divinity School.

3 Q. Are you licensed to practice medicine?

4 A. I am licensed to practice medicine. I'm currently licensed
5 in the state of Ohio.

6 Q. And are you board certified in any particular fields of
7 medicine?

8 A. I'm board certified as a general pediatrician and also as a
9 pediatric hospitalist.

10 Q. What does bioethics entail?

11 A. Bioethics entails an investigation of the ethical issues in
12 medicine in the biological sciences.

13 Q. What professional positions do you currently hold?

14 A. I'm currently the director of the ethics center at
15 Cincinnati Children's Hospital Medical Center. I hold the Leal
16 Carter Chair in pediatric ethics. I'm an attending physician in
17 the division of hospital medicine. And I'm a professional in
18 the departments of pediatrics and surgery at the University of
19 Cincinnati College of Medicine.

20 Q. What do you do as a professor of pediatrics in surgery?

21 A. So my primary academic appointment is in the Department of
22 Pediatrics. My work in both bioethics and in hospital medicine
23 is within the department of pediatrics, but my work in bioethics
24 also addresses other departments within Cincinnati Children's.
25 And I work extensively with our surgical colleagues, and in

1 recognition of that work I have a secondary appointment in the
2 department of surgery.

3 Q. And what do you do as director of the ethics center?

4 A. So I oversee the activities of the ethics center, which has
5 activities related to research, clinical and organizational
6 ethics.

7 Q. How often are you involved with clinical ethics consults
8 with patients?

9 A. So Cincinnati Children's has a relatively large volume for
10 a children's hospital in clinical ethics consults, and we
11 conduct 40 to 50 clinical ethics consults a year.

12 I share responsibility for conducting clinical ethics
13 consults with my colleagues and take call for clinical ethics
14 consultation approximately two weeks per month.

15 Q. When ethicists are involved in clinical practice
16 decision-making, what expertise do they offer?

17 A. The expertise that we offer is to help identify ethical
18 issues, and help the principal parties involved in medical
19 decision-making think through those issues, potentially
20 identifying risks and benefits of procedures or other
21 considerations that should inform decision-making.

22 Q. In your role as director of the ethics center, do you work
23 with transgender patients?

24 A. I do. I started at Cincinnati Children concurrently with
25 the development of our transgender health program and have been

1 involved with the clinic through its duration of its existence.
2 I help both at the program and policy level, for example,
3 assisting the clinic in the development and in the revision of
4 its informed consent documents. And then I'll consult on
5 individual cases that raise unique ethical issues and
6 participate in the clinic's monthly multidisciplinary team
7 meetings.

8 Q. Have you taught classes on the subject of bioethics?

9 A. During my time at the University of Utah, I taught classes
10 in medical ethics. In my current position I predominantly will
11 guest lecture in courses in medical ethics, but frequently
12 provide lectures in courses on medical ethics.

13 THE COURT: Dr. Antommara, it will help us if you
14 keep your volume up so that somebody even against the back wall
15 would be able to hear you.

16 THE WITNESS: My apologies. I will try, sir.

17 THE COURT: Thank you.

18 BY MR. CHARLES:

19 Q. Dr. Antommara, are you involved in any professional
20 associations?

21 A. I'm a member of the American Academy of Pediatrics, the
22 American Society of Bioethics and Humanities, the Association of
23 Bioethics Program Directors, and the Society of Pediatric
24 Research.

25 Q. And can you tell me briefly, please, what does the

1 committee of bioethics for the American Academy of Pediatrics
2 do?

3 A. The Committee on Bioethics for the American Academy of
4 Pediatrics contributes to the Academy's work through writing of
5 policy statements and technical reports to address ethical
6 issues in pediatrics.

7 Q. Dr. Antommara, you mentioned that in your role as director
8 of the ethics center, you work with transgender patients. Do
9 you recall that?

10 A. Yes.

11 Q. In this role, do you keep up with the research and
12 scholarly work on the treatment of gender dysphoria?

13 A. Yes, I do.

14 Q. Are you being compensated for your testimony today,
15 Dr. Antommara?

16 A. Yes, I am.

17 Q. Does your compensation depend on the content of your
18 testimony?

19 A. No, it does not.

20 MR. CHARLES: Your Honor, at this time I move to have
21 Dr. Antommara qualified as an expert in bioethics and
22 pediatrics.

23 MR. PERKO: No questions.

24 THE COURT: No questions at this time?

25 You may proceed.

1 MR. CHARLES: Thank you, Your Honor.

2 BY MR. CHARLES:

3 Q. Dr. Antommara, what is the goal of medical research?

4 A. The goal of medical research is to contribute to
5 generalizable knowledge.

6 Q. And how is medical research conducted?

7 A. Medical research is conducted according to protocols which
8 describe the steps in a study.

9 Q. Are there different types of research studies?

10 A. Yes, there are a variety of different types of research
11 studies.

12 Q. What are some of those types?

13 A. Within clinical research, two of the main categories would
14 be observational studies and randomized controlled trials.

15 Q. What are observational studies?

16 A. Observational studies look at a group of individuals either
17 at a single point in time or over a period of time.

18 Q. What is the meaning of the distinction longitudinal?

19 A. So longitudinal would be in distinction to cross-sectional.
20 So cross-sectional would be a study that looked at a group of
21 individuals at a single point in time, and longitudinal would be
22 a study that looked at individuals over a period of time.

23 Q. What are randomized controlled trials?

24 A. A randomized controlled trial would be a trial in which the
25 group of participants were separated into generally two, but

1 potentially multiple, groups through a process of randomization
2 which is frequently analogized to flipping a coin. And
3 frequently there is an intervention group that receives the
4 intervention that's being studied and a control group which
5 might be a placebo, an ineffective treatment, or the current
6 standards of care.

7 Q. What are some of the factors that go into determining which
8 type of study to utilize for a particular intervention?

9 A. So there would be multiple factors that go into choosing a
10 study design. Some of those factors include what the objective
11 of the study is. So if one was doing an epidemiologic study
12 looking at the demographic characteristics of people with a
13 particular condition, a cross-sectional study might be the best
14 design to do so. Other factors would have to do with the
15 available evidence that already exists. The resources that the
16 investigator has available would all contribute to the choices
17 in terms of study design.

18 Q. Is there a study design that is generally considered the
19 best quality?

20 A. Within clinical research of interventions or tests,
21 randomized controlled trials would generally be referred to
22 colloquially as the gold standard.

23 Q. And why would that be?

24 A. There are certain benefits of randomized controlled trials,
25 particularly in terms of the ability to have a higher certainty

1 that the intervention was responsible for the observed effects.

2 Q. Are randomized controlled trials always appropriate for
3 medical research?

4 A. No, randomized controlled trials are not always
5 appropriate. There might be either ethical limitations in which
6 a randomized controlled trial would not be appropriate or
7 methodological limitations restricting the use of randomized
8 controlled trials.

9 Q. When might there be ethical reasons not to conduct a
10 randomized controlled trial?

11 A. So in order for a randomized controlled trial to be
12 ethical, the individuals must have what's referred to as
13 clinical equipoise, meaning that they must have reason to have
14 uncertainty about whether the intervention or the control is
15 better. If there was reason to believe that one was superior to
16 the other, it would be unethical to conduct that type of
17 randomized controlled trial.

18 Q. Can you just clarify what you mean when you say if one is
19 superior to the other, what you are referring to there?

20 A. That either the intervention or the control is superior to
21 the other. So, for example, in asthma research there is
22 evidence that individuals with more severe asthma do better if
23 they are on a daily medication called an inhaled corticosteroid.
24 So it would currently be unethical to conduct asthma research
25 that took people off inhaled corticosteroids as part of a study

1 design because there is evidence that they are preferable in the
2 treatment of those patients.

3 Q. And so is that an example of a study that might not have
4 clinical equipoise?

5 A. Yes.

6 Q. When might there be logistical reasons that a randomized
7 controlled study would not be possible?

8 A. So in designing the study, investigators would do something
9 called a power analysis in order to determine how many
10 individuals they would need to enroll in this study in order for
11 it to be informative. If there was good reason for
12 investigators to believe that they are not going to be able to
13 recruit a sufficient number of participants, then it would be
14 inappropriate to conduct that trial.

15 Q. Any other logistical reasons that might limit the ability
16 to use a randomized controlled trial?

17 A. So inadequate staff in order to conduct the trial
18 appropriately and other limitations in terms of resources. So
19 there are a variety of different logistical potential barriers.

20 Q. Dr. Antommara, what does it mean for a study to be
21 double-blinded or double-masked?

22 A. So for a study to be double-masked would be that neither
23 the investigators nor the participants know into which group
24 they are assigned, whether they are in the intervention group or
25 the control group.

1 Q. Why is masking important?

2 A. Masking is important because if there was a lack of masking
3 and individuals had background beliefs about whether the
4 intervention or the control was more effective, that might
5 influence their interpretation of the results or their
6 participation in the study.

7 So, for example, if a participant thought that the
8 intervention was much more desirable than the control, and they
9 were -- and they knew they were assigned to the intervention
10 group, and they were filling out surveys about the effect of the
11 intervention, they might inadvertently inflate the quality of
12 the intervention in their reports.

13 Q. Are there times when it is impossible to mask a study?

14 A. Yes. There's ongoing debate, particularly in the surgical
15 literature, about the appropriateness of controls and, in
16 particular, sham surgeries in order to try to provide
17 appropriate controls for surgical interventions. And there the
18 concerns are about what is sufficient to try to mask the surgery
19 to a participant as well as whether, in trying to mask a
20 surgery, it's unethical in exposing someone to harm.

21 Q. Does -- backing up just a little bit, Dr. Antommara,
22 does -- do the individuals conducting the study -- does it --
23 does the ability to get participants affect whether or not a
24 randomized controlled trial is appropriate?

25 A. So, as I said, it's necessary in order to have a sufficient

1 number of participants for a study to move forward. There would
2 certainly be situations in which there would be a concern of --
3 in one's ability to recruit an adequate number of participants,
4 whether that's an individual institution seeing enough patients
5 with that condition or participants potentially not having
6 equipoise between the intervention and the control and,
7 therefore, being unwilling to enroll in the study.

8 Q. Dr. Antommara, you mentioned that in some situations it
9 would not be ethical or logistically possible to do a randomized
10 controlled trial.

11 Are there additional barriers to using randomized
12 controlled trials?

13 A. So conducting randomized controlled trials is less common
14 in pediatrics than in adult medicine, and there are a variety of
15 different reasons for that, including generally the lower
16 frequency of illness in the pediatric population. Certain
17 outcomes such as death or serious disability are less common in
18 the pediatric population. There are reasons why both NIH
19 funding and pharmaceutical company funding for randomized
20 controlled trials in pediatrics are lesser.

21 And, again, you've asked questions about recruiting
22 participants. It is more difficult to recruit participants into
23 pediatric trials.

24 Q. Are there ethical concerns about utilizing randomized
25 controlled trials in pediatrics in particular?

1 A. I think that the ethical concerns are fairly similar in
2 adult and pediatric medicine in terms of needing to have
3 clinical equipoise in conducting a trial.

4 Q. You stated earlier, Dr. Antommara, that randomized
5 controlled trials are generally considered the best quality
6 clinical research.

7 Does that mean that observational studies should not be
8 relied upon to evaluate medical treatments?

9 A. No, that would not be true. There are times in which an
10 observational study is the optimal type of study in order to
11 investigate a particular question in situations in which
12 observational studies may provide what's referred to as
13 high-quality evidence.

14 Q. Are all medical treatments supported by research utilizing
15 randomized controlled trials?

16 A. No.

17 Q. And so do clinicians make treatment decisions that have not
18 been researched using randomized controlled trials?

19 A. Unfortunately, that is the case, that clinicians frequently
20 must make medical decisions without the benefit of randomized
21 controlled trials.

22 Q. How common is that?

23 A. I don't know that I'm able to provide a particular
24 percentage to you, but I would say that it's certainly not
25 uncommon.

1 Q. Is that common in pediatrics as well as in adult medicine?

2 A. I would describe that it's more common in pediatrics than
3 it is in adult medicine. The example that I would give you is
4 during the COVID -- during the COVID pandemic, although children
5 were less frequently affected with COVID than adults, there are
6 children who were seriously ill with COVID either due to
7 hyperinflammatory responses or to a specific condition in
8 pediatrics called MISC, Multisystem Inflammatory Syndrome, in
9 children. And, whereas, there were multiple or numerous
10 randomized controlled trials which guided treatment of patients
11 with COVID in adults, there were -- there are, unfortunately, no
12 randomized controlled trials of treatments in the pediatric
13 population.

14 Q. Does the absence of a certain type of study researching a
15 treatment mean that there is not sufficient evidence to support
16 the use of that treatment?

17 A. Can you repeat your question, please?

18 Q. Sure. Does the absence of a certain type of study for a
19 particular treatment mean that there is not sufficient evidence
20 to support the clinical use of that treatment?

21 A. So in making recommendations, the type or level of evidence
22 is only one factor that's considered in making recommendations
23 and so, no, there is not a requirement for an individual
24 particular type of evidence to make a treatment recommendation.

25 Q. Dr. Antommaria, what would happen if in the medical field

1 treatment was limited to only those treatments that have been
2 studied by randomized controlled trials?

3 MR. PERKO: Objection; speculation, Your Honor.

4 THE COURT: It's probably argumentive.

5 Overruled.

6 THE WITNESS: Many of the treatments that I use as a
7 pediatric hospitalist would not be available to me and my
8 patients would be harmed as a result.

9 BY MR. CHARLES:

10 Q. And would limiting treatments to only those treatments
11 studied by randomized controlled trials have an impact on
12 patient welfare?

13 A. Yes, it would.

14 Q. What do you think that effect would be?

15 A. I think that would be a negative effect that treatments
16 that are effective would be unavailable to them.

17 Q. Dr. Antommaria, we've been discussing medical research.
18 How do doctors use -- I think you've referred to it as clinical
19 research, so I'll use that term.

20 How do doctors use clinical research to inform their
21 clinical practice?

22 A. Clinicians should make their clinical decisions based on
23 the best available research. Given the volume of the medical
24 literature, clinicians frequently rely on Clinical Practice
25 Guidelines to summarize the evidence for them and support them

1 in making clinical decisions.

2 Q. You just mentioned Clinical Practice Guidelines.

3 What are those?

4 A. Clinical Practice Guidelines generally are guidelines
5 related to particular topics, generally particular disease
6 states, that are frequently developed by medical -- professional
7 medical associations that review the available evidence and make
8 recommendations to guide clinical practice.

9 Q. Could you provide some examples of medical professional
10 associations that publish Clinical Practice Guidelines?

11 A. So in my field the American Academy of Pediatrics publishes
12 Clinical Practice Guidelines, as well as other medical
13 professional associations, including NASPGHAN, which is the
14 national organization for gastroenterologists, publishes
15 Clinical Practice Guidelines relevant to their area of practice.

16 Q. How are Clinical Practice Guidelines generally developed?

17 A. So there would be the identification of an area in which a
18 Clinical Practice Guideline would be beneficial. An
19 organization would then seek to establish a group of individuals
20 to develop the guideline. They would utilize processes to
21 evaluate potential expert -- individuals who have appropriate
22 expertise and exclude individuals who had inappropriate
23 conflicts of interest. Those individuals would then review the
24 literature, ideally developing systematic reviews of the
25 literature to review relevant literature and then make

1 recommendations based on that evidence base.

2 Q. Are there other factors considered in developing Clinical
3 Practice Guidelines?

4 A. Factors other than what?

5 Q. Than some of what you just described.

6 A. It's not a comprehensive description, so yes, I would
7 imagine there would be other factors.

8 Q. Is the quality of the evidence the only factor involved in
9 making a recommendation in a Clinical Practice Guideline?

10 A. No. The quality of the evidence is only one of the factors
11 involved in making a recommendation. So a recommendation would
12 be -- a recommendation for or against an intervention is an
13 evaluation of the potential benefits compared to the risks of
14 the intervention. So it would be based, in part, on that
15 balance as well as information about patients' preferences that
16 inform that. And then as a secondary consideration,
17 recommendations are also at times based on resource utilization.

18 Q. Dr. Antommara, what is a systematic review of the
19 literature?

20 A. A systematic review of the literature is a process through
21 which all of the evidence relevant to a particular topic is
22 ascertained through a search of, ideally, multiple databases.
23 The relevant articles are identified initially by examining
24 titles in abstracts and then the full text of articles. Data is
25 then abstracted from each of the individual articles and

1 summarized, and ideally then the quality of the evidence is
2 evaluated.

3 Q. What is the difference between a systematic review of the
4 literature and a Clinical Practice Guideline?

5 A. So a systematic review of the literature will rate the
6 quality of the evidence, whereas, a Clinical Practice Guideline
7 will make recommendations and both ideally rate the quality of
8 the evidence and the strength of the recommendations.

9 Q. Do systematic reviews of the literature make clinical
10 recommendations?

11 A. They do not.

12 Q. Why is it generally useful for clinicians to have
13 recommendations in Clinical Practice Guidelines?

14 A. The volume of the medical literature is enormous and
15 continues to increase over time. The amount of effort that's
16 required to conduct a single systematic review of the literature
17 would be, you know, hundreds of person hours. And so for
18 practicing clinicians, it's exceptionally beneficial to have
19 that literature summarized for them in a useful manner in order
20 to not have to go through that process themselves for each
21 clinical decision that they're making.

22 Q. Are there specific methodologies used in developing
23 Clinical Practice Guidelines?

24 A. There are, in particular, methodologies for grading the
25 quality of the evidence and the strength of recommendations.

1 Q. Are you familiar, Dr. Antommaria, with the GRADE
2 methodology?

3 A. I am.

4 Q. Do you know what GRADE stands for?

5 A. Yes. GRADE stands for Grading of Recommendations
6 Assessment, Development and Evaluation. And it's a widely used
7 method for grading the quality of evidence and the strength of
8 recommendations.

9 Q. And I just want to make sure you said this. Is it
10 widely -- is it a widely used methodology in Clinical Practice
11 Guidelines?

12 A. Yes, it is.

13 Q. And what does the GRADE methodology do?

14 A. So it provides recommendations about how one describes the
15 quality of the evidence and then -- and how one goes through a
16 process of grading the quality of the evidence, including what
17 factors should be considered in doing so.

18 And then in terms of recommendations, it has suggestions
19 about how the strength of a recommendation should be described
20 and the factors that should be considered in characterizing the
21 strength of a recommendation.

22 Q. Why is it important to know the strength of a
23 recommendation?

24 A. The -- as a -- for me, as a clinician, the strength of the
25 recommendation is likely to influence how I approach the

1 informed consent process. I may have a longer or a more
2 detailed conversation with a patient about what's referred to as
3 a weak recommendation than a strong recommendation.

4 Q. And why is it important to know the quality of the
5 evidence?

6 A. So the quality of the evidence is related to the certainty
7 of an effect, so that the intervention will have a particular
8 effect. And so, again, in counseling a patient, I'm -- knowing
9 the quality of evidence will inform how I describe that
10 certainty.

11 Q. In using the GRADE methodology, is an entire Clinical
12 Practice Guideline given a single grade?

13 A. No. Individual recommendations are given -- the evidence
14 supporting them is given a grade and the individual
15 recommendation is given a particular strength.

16 Q. And so do guidelines typically have multiple
17 recommendations?

18 A. They do.

19 Q. Within the GRADE system, what are the quality levels of
20 evidence?

21 A. They would be high, moderate, low, or very low.

22 Q. You said that --

23 THE WITNESS: Your Honor, am I loud enough?

24 THE COURT: I'm sorry?

25 THE WITNESS: Am I loud enough?

1 THE COURT: Yes, yes, you are doing well. Thank you.
2 All except the question whether you were loud enough.

3 BY MR. CHARLES:

4 Q. Dr. Antommara, how does the study design inform the
5 quality of the evidence grade?

6 A. So, in general, randomized controlled trials are initially
7 assigned to the category of high-quality evidence, and
8 observational studies are initially assigned to the category of
9 low-quality evidence.

10 Q. Then are there other factors utilized to give a grade to
11 the quality of the evidence besides study design?

12 A. Yes. There are five additional factors that could decrease
13 the quality and three additional factors that can increase the
14 quality in terms of the final evaluation of the quality of the
15 evidence.

16 Q. So is it possible that a randomized controlled trial could
17 start as a high-quality grade but then be impacted by some of
18 those other factors?

19 A. Yes, it's possible for a randomized controlled trial to, in
20 the end, be low or very low-quality evidence.

21 Q. And, similarly, could an observational study be impacted in
22 its ultimate grade by those other factors?

23 A. Yes. And in the end, then, an observational study might
24 move from low- to high-quality evidence.

25 MR. CHARLES: Your Honor, I would like to show the

1 witness that's been marked as Plaintiffs' Exhibit 157.

2 THE COURT: Is this something that can be on the
3 public board?

4 MR. CHARLES: It can, Your Honor.

5 THE COURT: All right.

6 BY MR. CHARLES:

7 Q. Dr. Antommara, do you recognize this document?

8 A. Yes, I do.

9 MR. CHARLES: Right there. Actually, no -- I'm
10 sorry -- keep going down.

11 Okay. Right there.

12 You got it.

13 BY MR. CHARLES:

14 Q. Okay. Dr. Antommara, do you see on your screen page 404,
15 Table 2?

16 A. I do.

17 Q. Looking at this table, how does GRADE define low-quality
18 evidence?

19 A. So just to say that this paper -- so that the group who
20 have developed the GRADE approach have published a variety of
21 different descriptions of that approach as it has developed over
22 time.

23 In this table in the far right-hand column, we see the
24 definition from their initial publication, and in the left
25 hand -- and in the middle column, the definition from the

1 current manuscript, which reflects this evolution. And so
2 low-quality evidence in the current definition is that the
3 confidence in the effect estimate is limited.

4 Q. And what do you understand that to mean?

5 A. So in reviewing the evidence, they're looking at the
6 evidence -- looking for evidence that an intervention has a
7 particular effect, and the quality evidence reflects the
8 certainty or the level of confidence in that reported effect,
9 and that a high-quality evidence provides a very high degree of
10 confidence that the effect is accurate, and that other levels of
11 quality provide less confidence in the effect. And for
12 low-quality evidence, there may be a difference -- a substantial
13 difference between the true effect and the effect that's
14 currently reported in the literature.

15 Q. You mentioned in response to that question, Dr. Antommara,
16 what was reflected about high-quality evidence.

17 What do you understand the current definition to mean?

18 A. That there's a very high degree of confidence that the true
19 effect is the effect that's currently represented in the
20 evidence.

21 Q. So does low-quality evidence mean there is a likelihood
22 that treatment will be determined to not be effective in the
23 future?

24 A. Not necessarily.

25 Q. And does low-quality evidence mean there is a likelihood

1 that treatment will be determined to not be safe in the future?

2 A. It does not.

3 Q. What quality levels of evidence may a Clinical Practice
4 Guideline base recommendations upon?

5 A. On any of those levels of quality.

6 Q. In general, Dr. Antommara, does the GRADE system indicate
7 the strength of the recommendations that are being made?

8 A. So a Clinical Practice Guideline makes recommendations, and
9 the GRADE approach provides guidance for individuals creating
10 the guidelines to rate the strength of the recommendations that
11 they're making.

12 Q. How is the strength of a recommendation evaluated?

13 A. So the GRADE approach identifies recommendations as either
14 being recommendations for or against an intervention as well as
15 recommendations being strong or weak so that there is
16 potentially a spectrum from strong recommendations for, weak
17 recommendations for, weak recommendations against, and strong
18 recommendations against a potential intervention.

19 Q. What are the levels of recommendations?

20 A. The levels of recommendation would be either a strong
21 recommendation or a weak recommendation, although the GRADE
22 group acknowledges that the term "weak recommendation" may have
23 misleading connotations and uses other potential terminology,
24 including "interim recommendations."

25 Q. What does it mean for a recommendation to be strong?

1 A. So the GRADE approach describes the difference between
2 strong recommendations and weak recommendations in two different
3 ways, one related to certainty. So a strong recommendation has
4 a high degree of certainty that the benefits outweigh the risks,
5 and a weak recommendation has a lesser degree of certainty.

6 And then the other way that they describe it is related to
7 how many patients would agree with the recommendation. So in
8 regard to any recommendations, that the majority of individuals
9 would agree with the recommendation, but that with a strong
10 recommendation, it would be the vast majority, whereas, with a
11 weak recommendation, there might be a larger minority of
12 individuals who would disagree with a recommendation, patients
13 being those individuals.

14 Q. Are there other considerations in making a recommendation
15 beside the quality of the evidence?

16 A. Yes. The balance between the risks and the benefits
17 because those -- because patients might perceive those risks and
18 benefits differently, the certainty of the knowledge of how
19 patients would evaluate the risks and the benefits is a factor.
20 And then in some circumstances resource utilization would also
21 be considered in making recommendations.

22 Q. Is it common for Clinical Practice Guidelines to make
23 recommendations based on evidence that is graded low?

24 A. Yes.

25 Q. And is it common for Clinical Practice Guidelines to make

1 recommendations based on evidence that is graded very low?

2 A. Yes, particularly in pediatrics.

3 Q. And why are recommendations made based on low or very
4 low-quality evidence?

5 A. Clinicians are faced with treating patients based on the
6 best available evidence, and sometimes the best available
7 evidence is low or very low-quality evidence. But it's not
8 possible for providers to tell patients to come back later in
9 the future when better quality evidence is available. They have
10 to make the best judgment possible at that particular point in
11 time.

12 Q. Is it common for pediatric Clinical Practice Guidelines to
13 make recommendations based on evidence that is graded low or
14 very low quality?

15 A. It is.

16 Q. Why is it common?

17 A. Because of the lack of randomized controlled trials in
18 pediatrics.

19 Q. Are there any other reasons why it is more common?

20 A. That would be the predominant reason.

21 Q. Does the Endocrine Society publish Clinical Practice
22 Guideline in pediatrics?

23 A. It does.

24 Q. What are some that you are aware of?

25 A. There's a Clinical Practice Guideline for the treatment of

1 pediatric obesity, one for a condition called congenital adrenal
2 hyperplasia, and one that includes pediatric patients related to
3 the treatment of individuals with gender dysphoria.

4 Q. And what quality of evidence are the recommendations in
5 those guidelines based upon?

6 A. In all three of those guidelines, the majority of the
7 recommendations are based on low or very low-quality evidence.

8 Q. Do any of those recommendations utilize ungraded good
9 practice statements?

10 A. In addition, there are ungraded good practice statements
11 which account for across the guidelines, you know, approximately
12 20 percent of the recommendations.

13 Q. Do any of the Clinical Practice Guideline that you just
14 listed have high-quality evidence supporting the
15 recommendations?

16 A. To the best of my recall, they do, but only in a very small
17 minority of the recommendations.

18 Q. Do Clinical Practice Guidelines require a threshold amount
19 of evidence or a certain type of study to make a recommendation?

20 A. They do not.

21 Q. Dr. Antommara, is not providing medical treatment an
22 affirmative clinical decision?

23 A. Yes, it is.

24 So when -- in seeking informed consent, one discusses
25 risks, benefits, and alternatives. One of the alternatives is

1 frequently not to undergo the procedure or the treatment, but
2 that there would be particular risks or benefits of not
3 receiving the intervention or the treatment.

4 Q. If a Clinical Practice Guideline were to recommend not
5 providing medical treatment, would that recommendation need to
6 rely on evidence?

7 A. It would.

8 So within the GRADE system we talked about recommendations
9 for or recommendations against. Recommendations against are
10 also graded in terms of their strength, and they would have a
11 evidence -- ideally have a evidence quality assigned to them.

12 Q. Switching gears a little bit here, Dr. Antommara.

13 Are there established ethical principles around medical
14 decision-making?

15 A. There are.

16 Q. Under principles of medical ethics, how does
17 decision-making around Medicare -- excuse me -- around medical
18 care for adults generally work?

19 A. In general, adults informed consent would be required for
20 treatment. There certainly are exemptions, but an adult who has
21 medical decision-making capacity, their consent is generally
22 required for medical treatment.

23 Q. Is there a term used to describe the process you were just
24 discussing?

25 A. So historically the terminology would be the informed

1 consent process. Increasingly the language of shared
2 decision-making is utilized. But both are common in the medical
3 literature.

4 Q. Does the treatment decision ultimately lie with the doctor
5 or with the patient?

6 A. With the patient.

7 Q. Under principles of medical ethics, how does
8 decision-making around medical care for minors generally work?

9 A. So in general, for minors, parental or guardian consent is
10 required and that pediatric patients should participate in
11 medical decision-making to the extent that it is developmentally
12 appropriate. So as children mature and are able to participate
13 in decisions, they should participate in decisions. And that's
14 described in the literature as seeking their assent. But in
15 general, parental consent is still required.

16 Q. And with whom does the treatment decision ultimately lie
17 for minors?

18 A. Generally their parents or legal guardians.

19 Q. What should a healthcare provider disclose to a patient,
20 and for a minor their parent or guardian, to enable them to make
21 an informed decision?

22 A. They should disclose the indications and the nature of the
23 intervention, its potential risks and benefits, and the
24 alternatives. And there are different standards for disclosure
25 of the risks and benefits, because there are frequently more

1 risks and benefits than can be meaningfully conveyed. And
2 frequently providers then will convey the common risks and
3 benefits as well as the most serious risks and benefits.

4 Q. Should a healthcare provider disclose the risks and
5 benefits of not undergoing an intervention?

6 A. As part of the description of the alternatives, yes.

7 Q. When the patient is an adolescent, does the patient have a
8 role in the informed consent process?

9 A. Yes, they do. They should participate in the informed
10 consent process, and their assent should generally be sought,
11 although it's not necessarily determinative in decision-making.

12 Q. You've used the term "assent" when referencing minors and
13 adolescents in the shared decision-making process.

14 What does it mean to assent to treatment?

15 A. It would be their verbal agreement to participate in
16 treatment in contradiction to dissent or disagreement to
17 participate.

18 Q. Can you describe the difference between assent and consent?

19 A. In some ways the distinction is a legal distinction, and in
20 some ways it's a distinction about medical decision-making
21 capacity.

22 So certainly there are older adolescents who have
23 comparable medical decision-making capacity to adults but aren't
24 legally authorized to provide informed consent. Whereas,
25 substantially younger adolescents might have some degree of

1 understanding, and it's important for them to participate, but
2 not a full understanding that's comparable to an adult's.

3 Q. In general, are adolescents who possess adequate
4 decision-making capacity able to understand the benefits and
5 risks of treatment?

6 A. Yes.

7 Q. Does adolescent decision-making capacity change over time?

8 A. So decision-making capacity is both relative to an
9 individual's skills and abilities in making decisions, as well
10 as the particular intervention. But, yes, as an individual's
11 decision-making skills and abilities change over time, their
12 decision-making capacity increases.

13 So an average 10-year-old has greater decision-making
14 capacity than a 6-year-old but potentially less so than an
15 16-year-old.

16 Q. So, Dr. Antommara, are you familiar with what the Rule of
17 7 is?

18 A. It's a rule of thumb that's used to help people understand
19 an individual's decision-making capacity.

20 Q. Can you describe the general rule of thumb?

21 A. It would be that individuals who are less than 7 are
22 incapable of assent; individuals between 7 and 14 are capable of
23 assent, and individuals who are older than 14 are capable of
24 consent.

25 Q. For adolescents who have the ability to assent to

1 treatment, must their parents or guardians still provide
2 informed consent?

3 A. So in general, yes.

4 Q. In general, Dr. Antommara, does having a mental health
5 diagnosis impair medical decision-making capacity?

6 A. No, not in and of itself.

7 Q. Does the fact that a patient experiences depression mean
8 they can't assent or consent to treatment?

9 A. Not intrinsically.

10 Q. Does the fact that a patient experiences anxiety mean that
11 they cannot assent or consent to treatment?

12 A. Again, not intrinsically.

13 Q. Dr. Antommara, have you read the regulation at issue in
14 this case?

15 A. I have.

16 Q. And have you read the generally accepted medical standards
17 determination on the treatment of gender dysphoria and all
18 related attachments?

19 A. I have.

20 Q. The regulation and the document that I will refer to as the
21 GAPMS memo refers to treatment for gender dysphoria. But if in
22 my questions I refer to the range of care falling within that
23 definition as gender-affirming medical care, will you understand
24 what I mean?

25 A. Yes, I will.

1 Q. Is gender-affirming medical care as it is being used by
2 doctors to treat gender dysphoria experimental?

3 A. No, it is not.

4 Q. Are there any Clinical Practice Guidelines regarding
5 gender-affirming medical care?

6 A. Yes, there are.

7 Q. What are they?

8 A. There's a Clinical Practice Guideline produced by the
9 Endocrine Society and one that's been produced by the World
10 Professional Association for Transgender Health.

11 Q. If I refer to the World Professional Association for
12 Transgender Health as WPATH, will you know what I'm referring
13 to?

14 A. Yes, I will.

15 Q. And if I refer to those Standards of Care as SOC, will you
16 know what I'm referring to?

17 A. Yes, I will.

18 Q. Dr. Antommara, what is your understanding of what the
19 Endocrine Society is?

20 A. The Endocrine Society is an international medical
21 professional association of approximately 18,000 endocrinology
22 clinicians and researchers.

23 Q. Does the Clinical Practice Guideline published by the
24 Endocrine Society you just mentioned for the treatment of gender
25 dysphoria make recommendations with regard to gender-affirming

1 medical care for adolescents?

2 A. Yes, it does.

3 MR. CHARLES: Your Honor, I'd like to show
4 Dr. Antommara an exhibit from the stipulated exhibits list,
5 Defendants' Exhibit 24.

6 BY MR. CHARLES:

7 Q. Dr. Antommara, if it's easier for access, there may be a
8 copy in front of you of this document as well.

9 Do you recognize this document, Dr. Antommara?

10 A. Yes, I do.

11 Q. And do you rely on it in your professional capacity?

12 A. I do.

13 MR. CHARLES: Your Honor, I believe this has
14 previously been entered.

15 THE COURT: All the stipulated exhibits have been,
16 yes.

17 MR. CHARLES: Okay. Thank you.

18 BY MR. CHARLES:

19 Q. Dr. Antommara, what methodology does the Endocrine Society
20 Guideline use?

21 A. They utilize the GRADE methodology.

22 Q. And so does the clinical guideline grade the quality of the
23 evidence and the strength of the recommendations?

24 A. It does.

25 Q. So I'm now going to direct you to page 3872.

1 A. Yes.

2 Q. And looking at the bottom of page 3872.

3 What does the Endocrine Society guidelines say about strong
4 recommendations?

5 It's going to be --

6 A. So they are utilizing the GRADE methodology. They describe
7 that they are going to use the language of "we recommend," and
8 the number 1 to indicate a strong recommendation.

9 Q. Does it define the meaning of "strong recommendation" in
10 that section?

11 It's at the third-to-last sentence at the bottom of that
12 section, beginning with "The task force has confidence..."

13 A. So they describe that if they've made a strong
14 recommendation that they have confidence that an individual will
15 derive, on average, more benefit than harm.

16 Q. Is that consistent with the GRADE concepts we discussed
17 earlier?

18 A. It is.

19 Q. And looking at that same section, what does -- what does
20 the Endocrine Society guidelines say a weak recommendation
21 means?

22 A. They indicate that if a weak recommendation is given that
23 there needs to be more careful consideration of the person's
24 circumstances, values, and preferences in decision-making.

25 Q. And does that mean that a weak recommendation means that

1 the benefits of treatment do not outweigh the harms of
2 treatment?

3 A. In general, no.

4 Q. Is the Endocrine Society guidelines supported by scientific
5 evidence?

6 A. It is.

7 Q. What kind of evidence?

8 A. So depending on the individual recommendation, controlled
9 trials, or, more commonly, observational studies.

10 Q. Do those include longitudinal observational studies?

11 A. They do.

12 Q. As you discussed before, does low quality in rating the
13 evidence have a meaning under the GRADE system that is different
14 from how that term is colloquially used?

15 A. I think that low quality might colloquially be interpreted
16 as inadequate or insufficient, which is not the case, in that
17 low-quality evidence may be sufficient to justify a
18 recommendation.

19 Q. Dr. Antommara, can I ask you to just speak up just a
20 little bit, only because you're looking down?

21 A. I apologize.

22 Q. No, no, nothing to apologize for. Thank you.

23 Do the studies discussed in the Endocrine Society guideline
24 demonstrate the safety and efficacy of gender-affirming medical
25 care for adolescents?

1 A. They do.

2 Q. Would randomized controlled trials comparing the current
3 treatment recommendation, that is, gender-affirming medical care
4 and mental health care, to mental health care alone be ethical?

5 A. Not currently.

6 Q. Why would that be?

7 A. Because both investigators and participants are unlikely to
8 have clinical equipoise between those two options, believing
9 that gender-affirming medical care is superior to mental health
10 care alone, based on the currently available evidence.

11 Q. Was there a time in the past where a randomized controlled
12 trial comparing gender-affirming medical care to not receiving
13 gender-affirming medical care would have had clinical equipoise?

14 A. Yes. There was likely to have been a time in the past when
15 that was the case, but not currently.

16 Q. Can you think of other examples of treatments where the
17 window of clinical equipoise closed before randomized controlled
18 trials were able to be conducted?

19 A. So there are circumstances in which the observational
20 studies are sufficient evidence that clinical equipoise no
21 longer exists.

22 Q. Are there other challenges that a randomized controlled
23 trial comparing gender-affirming medical care to not receiving
24 gender-affirming medical care would be likely to face?

25 A. There would likely to be intrinsic methodological

1 limitation related to masking, in that if one is on a puberty
2 blocker or is on gender-affirming hormone therapy, both the
3 participant and the investigators would likely know if one was
4 assigned to an intervention arm or a placebo arm based on
5 physical changes in the participant over time. And that would
6 likely create methodological limitations that decrease the
7 quality of the study.

8 Q. Do you think such a study would be likely to collect
9 sufficient participant numbers?

10 A. So that's returning to the issue of clinical equipoise
11 because potential participants are unlikely to have clinical
12 equipoise. They are unlikely to be willing to enroll, or they
13 would enroll and if they realized that they were in the control
14 arm would likely drop out of the study, so that there would be
15 issues that were both about feasibility, and, in the long run,
16 about ethics in terms of conducting that type of study.

17 MR. CHARLES: Your Honor, plaintiffs would request a
18 recess for lunch break at this time if the Court is amenable.

19 I can also continue.

20 THE COURT: Are you not close to done, or you are just
21 telling me this is a good breaking point, or somebody needs a
22 break? What --

23 MR. CHARLES: I received a note.

24 MR. GONZALEZ-PAGAN: Your Honor, if I may? We think
25 this may be a natural breaking point. I believe there may be

1 approximately 45 minutes --

2 MR. CHARLES: Half hour, 40 minutes.

3 THE COURT: Okay. All right. And we took an early
4 morning break anyway.

5 Let's take an hour and we will start back at 1:15.

6 MR. CHARLES: Thank you, Your Honor.

7 THE COURT: Dr. Antommara, if you would be back on
8 that stand at 1:15, please.

9 Thank you.

10 (Recess taken at 12:14 PM.)

11 (Resumed at 1:15 PM.)

12 THE COURT: Please be seated.

13 Dr. Antommara, you are still under oath.

14 You may proceed.

15 MR. CHARLES: Thank you, Your Honor.

16 BY MR. CHARLES:

17 Q. Dr. Antommara, are you familiar with the WPATH Standards
18 of Care?

19 A. Yes, I am.

20 Q. What are the WPATH Standards of Care?

21 A. They're a Clinical Practice Guideline for, among other
22 things, the treatment of individuals with gender dysphoria.

23 Q. Do you know what the current version of the WPATH Standards
24 of Care is?

25 A. It's currently in its 8th version.

1 Q. And if I refer to the WPATH -- I'm sorry. If I refer to
2 WPATH SOC8, will you understand that I mean the 8th current
3 version?

4 A. Yes, I will.

5 Q. Do the WPATH SOC8 recommendations for gender-affirming
6 medical care for adolescents rely on scientific studies?

7 A. Yes, they do.

8 Q. What kinds?

9 A. Comparable studies to those relied on by the Endocrine
10 Society, which are premeditatedly prospective observational
11 studies or longitudinal observational studies.

12 Q. Are the WPATH SOC8 recommendations for gender-affirming
13 medical care for adolescents consistent with the Endocrine
14 Society guideline recommendations for the treatment of gender
15 dysphoria?

16 A. Yes, they are generally comparable.

17 Q. Are you aware of any randomized controlled trials studying
18 whether mental health services alone is effective to treat
19 gender dysphoria?

20 A. No, I'm not.

21 Q. What about observational studies?

22 A. I'm aware of individual case reports, but no longitudinal
23 observational studies.

24 Q. Some of defendants' experts rely on systematic reviews of
25 the literature for some of their positions.

1 Do systematic reviews of the literature recommend banning
2 gender-affirming medical care?

3 A. They do not, but as we noted earlier, systematic reviews do
4 not make recommendations.

5 Q. And do those two Clinical Practice Guidelines we've
6 discussed, the Endocrine Society guidelines and the WPATH SOC8,
7 recommend gender-affirming care --

8 A. They do.

9 Q. -- for the treatment of gender dysphoria?

10 A. Yes.

11 Q. Dr. Antommaria, how does the evidence base supporting
12 gender-affirming medical care for adolescents compare to the
13 evidence base for other medical treatments for minors?

14 A. It is generally comparable. We discuss the Endocrine
15 Society's guidelines for other pediatric conditions and the
16 quality of the evidence that supports those recommendations are
17 fairly similar to the quality of the evidence that supports the
18 recommendations related to gender-affirming medical care.

19 Q. What are some of those Clinical Practice Guideline -- what
20 are some of the recommendations within Clinical Practice
21 Guidelines that are comparable?

22 A. The specific recommendations or that --

23 Q. I'm sorry. The Clinical Practice Guidelines.

24 A. Oh. The guidelines for pediatric obesity and for
25 congenital adrenal hyperplasia.

1 Q. So do those Clinical Practice Guidelines include
2 recommendations based on low or very low-quality evidence under
3 the GRADE system?

4 A. They do.

5 Q. Are there any other pediatric Clinical Practice Guidelines
6 that you can think of that are, again, similar in the reliance
7 on low or very low-quality evidence?

8 A. Another specific one would be the American Heart
9 Association's guidelines for cardiopulmonary resuscitation,
10 which predominantly rely on low or very low-quality evidence in
11 support of their recommendations.

12 Q. The defendants and some of their experts raise the issue of
13 potential risks associated with gender-affirming medical
14 treatments.

15 Are you familiar with the risks associated with
16 gender-affirming medical care?

17 A. I am.

18 Q. What are some of the more significant risks of which you
19 are aware?

20 A. So the risks somewhat vary by the treatment, but for what
21 are colloquially referred to as puberty blockers, the
22 predominant risk would be the -- a decreased rate of development
23 of bone mineral density while on treatment, which might be
24 compensated for through the use of gender-affirming hormone
25 therapy.

1 And then for testosterone, there would be cardiovascular
2 risks, including the risk of heart attack, as well as
3 potentially the risk of stroke, secondary to changes in lipids
4 or in terms of having an increased production of red blood
5 cells.

6 And for estrogen therapy, risks would include, again, the
7 risks of blood clots, including clots in the veins and the legs,
8 clots that go to the lungs, or clots that would cause heart
9 attack or stroke.

10 And for any of the -- for the use of testosterone or
11 estrogen, there would be risks of infertility.

12 Q. How do the risks of gender-affirming medical care compare
13 to the risks associated with other medical treatments that
14 adolescents may undergo?

15 A. There are other treatments that adolescents undergo that
16 have comparable uncertainty or risk.

17 Q. Can you think of any examples?

18 A. So there are treatments for kidney diseases or blood
19 diseases in adolescents that would also entail the risk of
20 infertility.

21 Q. Are there chest surgeries that adolescents may undergo
22 besides chest surgery for gender dysphoria?

23 A. There are.

24 Q. Can you think of any examples?

25 A. Chest surgeries that adolescents may undergo include

1 surgery for gynecomastia, which would be the proliferation of
2 glandular ductal tissue in the chests of individuals who are
3 assigned male at birth; chest surgeries for pectus excavatum or
4 carinatum, which would be where the chest protrudes or goes
5 inward; and individuals who are assigned female at birth might
6 undergo either breast reduction or breast augmentation surgery.

7 Q. And are those surgeries you just mentioned premeditatedly
8 about appearance or physiologic function?

9 A. Predominately about appearance.

10 Q. How do the surgical risks of breast reduction for cisgender
11 girls and gynecomastia surgery for cisgender boys compare to the
12 surgical risks of chest surgeries to treat gender dysphoria?

13 A. There would be comparable risks in kind.

14 Q. And specifically how do the surgical risks of pectus
15 excavatum compare to chest surgery for gender dysphoria?

16 A. Pectus excavatum surgery potentially has greater risk in
17 that there have been fatalities as a result of pectus excavatum
18 surgery.

19 Q. You've talked some about the evidence supporting
20 gender-affirming medical care for adolescents.

21 Is it unusual for adolescent patients and their parents or
22 guardians to make decisions to undergo treatments supported by
23 comparable levels of evidence?

24 A. No, it is not.

25 Q. Why is it not uncommon?

1 A. Because there are multiple other medical conditions for
2 which adolescents are treated that have comparable risks,
3 benefits, and levels of uncertainty or levels of evidence
4 related to those treatments.

5 Q. Is it unusual for adolescent patients and their parents or
6 legal guardians to make decisions to undergo treatments with
7 greater risks than those associated with gender-affirming
8 medical care?

9 A. There are certainly medical conditions whose treatment
10 entails greater risk.

11 Q. For medical treatments where there is evidence of safety
12 and efficacy, how should the medical community respond to
13 concerns about limitations of the evidence?

14 A. In general, it's preferable to generate additional evidence
15 on which to base decisions through further research.

16 Q. In response to concerns about limitations of the evidence,
17 should the medical community refuse to provide a particular
18 treatment?

19 A. If there is available evidence that supports safety and
20 efficacy, no.

21 Q. If Florida Medicaid does not provide coverage for
22 gender-affirming medical care for transgender beneficiaries, is
23 it possible to conduct more research on this treatment for this
24 population?

25 A. It would be possible, but it would likely impede the

1 development of additional evidence.

2 Q. Why?

3 A. One of the potential ways to gather that evidence is
4 through prospective observational trials of individuals who are
5 receiving treatment, and if fewer individuals are receiving
6 treatment because of restrictions on funding, that would impede
7 the development of that type of evidence.

8 Q. Do research trials typically cover the cost of the
9 treatment in addition to the cost of conducting the research?

10 A. If there is evidence of safety and efficacy, then the
11 treatment itself is generally covered in whatever way the
12 treatment would be covered, and the trial itself would only
13 cover the additional expenses entailed in the research.

14 Q. So if the cost of the medical treatment is not covered and
15 patients cannot access it, would the research trial typically
16 cover the cost of the treatment for those patients involved in
17 the research?

18 A. It might be very difficult to identify funders who were
19 willing to cover both the research expenditures as well as the
20 cost of the treatment.

21 Q. Why might it be difficult?

22 A. Because of the significant incremental additional expense.

23 Q. Dr. Antommaria, what does it mean to say that a medication
24 is FDA approved?

25 A. That the FDA has reviewed evidence demonstrating that the

1 treatment -- the medication is safe and effective for a
2 particular indication.

3 Q. I apologize. Let me back up.

4 Can you tell me what FDA stands for?

5 A. The U.S. Food and Drug Administration.

6 Q. And if I use FDA, will you understand what I'm referring
7 to?

8 A. Yes, I will.

9 Q. And, Dr. Antommaria, what is meant by an indication as you
10 just mentioned in the context of FDA approval?

11 A. When the FDA grants approval for a medication, it is for a
12 particular indication, which would be a particular disease,
13 whether it is being used for diagnosis, curative treatment, or
14 palliative treatment, and for a particular population,
15 frequently specified in terms of an age group.

16 Q. And if a medication receives FDA approval for an
17 indication, is that medication only allowed to be used for that
18 indication?

19 A. No. The FDA does not regulate the practice of medicine,
20 and so healthcare providers are generally free to use that
21 medication for other indications. The primary restriction would
22 be that the pharmaceutical company can't advertise that
23 medication for what are referred to as off-label uses.

24 Q. So what does it mean for -- I'm sorry.

25 What does it mean to use an FDA-approved medication

1 off-label?

2 A. That it's used for an indication other than the indication
3 for which it was approved.

4 Q. So we've been discussing all the terms that comprise
5 several sentences I've been asking you about related to
6 indication.

7 Can you explain what it could look like to use an FDA
8 medication off-label?

9 A. So using a medication off-label would be for another
10 purpose other than what it's approved for, which might be simply
11 using it for an age group in which it's unapproved. So, for
12 example, as a pediatric hospitalist, I take care of children
13 with bone and joint infections. Frequently I -- I frequently
14 would utilize an antibiotic called nafcillin. It's not FDA
15 approved for use in individuals under the age of 18, and so my
16 using it to treat a 6-year-old would be an off-label use of that
17 antibiotic.

18 Q. And as you said, indication can mean treating for a
19 different condition?

20 A. Yes, it can.

21 Q. Or a different age group?

22 A. Yes. So an example of a different condition would be
23 magnesium, for example, is FDA approved for preventing seizures
24 in women with high blood pressure in pregnancy, but, again, as a
25 pediatric hospitalist, I use it in children with severe asthma,

1 and there is evidence of safety and efficacy in those children,
2 although its use is off-label.

3 Q. And does using a medication off-label mean that there is
4 not evidence supporting that use?

5 A. No, it does not intrinsically mean that there is a lack of
6 evidence.

7 Q. Could there be substantial evidence for the safety and
8 efficacy of a medication when used off-label?

9 A. Yes, and in many cases there is. There are reasons why the
10 manufacturer might not seek to have an additional indication
11 added in spite of that evidence, but frequently there is
12 evidence of safety and efficacy for off-label uses.

13 Q. Does using medication off-label mean that treatment is
14 experimental?

15 A. No, it does not.

16 Q. Why not?

17 A. As I've stated, there may be evidence of safety and
18 efficacy supporting the use of that medication.

19 Q. Is it unusual for a medication to be prescribed for
20 indications other than the one it was approved for in
21 pediatrics?

22 A. No. In fact, it's very common for medications to be used
23 off-label in pediatrics. So there's a study that looked at
24 off-label uses using a very restrictive definition of off-label
25 for children that had encounters in children's hospitals, and

1 approximately 30 percent of encounters involved an off-label
2 use. And in particular populations or settings, the rate of
3 off-label use may go up significantly. So, for example, in a
4 cardiac intensive care unit, the vast majority of medications
5 may be used off-label in that setting.

6 Q. And if there's evidence that an off-label use of a
7 medication is safe and effective, are there reasons a
8 manufacturer might not seek additional approval for additional
9 indications?

10 A. Yes, there are. Because of the time and expenditure that
11 it takes to get an additional indication added, it might not be
12 in a manufacturer's economic interest to seek to have that
13 indication added to the label.

14 Q. Dr. Antommaria, can adolescents assent to gender-affirming
15 medical care?

16 A. Yes, in general adolescents have sufficient medical
17 decision-making capacity to assent to gender-affirming medical
18 care.

19 Q. Why do you believe that?

20 A. So both based on my individual experience as a clinician,
21 as well as evidence in the literature. So there's general
22 evidence related to individual adolescents' medical
23 decision-making capacity, as well as at least one study that's
24 looked at adolescents' ability to assent to the use of what's
25 colloquially referred to as puberty-blocking medications which

1 show that adolescents in general have adequate medical
2 decision-making capacity to assent.

3 Q. And can parents and legal guardians provide informed
4 consent for gender-affirming medical care?

5 A. Yes, they can.

6 Q. And why do you believe that?

7 A. Based on adults' general decision-making capacity and the
8 comparable nature of decisions about gender-affirming medical
9 care compared to the other types of medical care to which
10 they're asked to consent on behalf of their adolescent children.

11 Q. Can you describe for me, please, the process at Cincinnati
12 Children's Hospital of establishing informed consent?

13 A. So informed consent generally refers to a process of
14 decision-making, although some individuals may have that
15 misapprehension that it is about the signing of a form, and that
16 there are multiple conversations held over time to discuss the
17 potential benefits, risks, and alternatives to gender-affirming
18 medical care, and to be able to answer parents' and their
19 adolescent children's questions before they consent to
20 treatment.

21 Q. Is there anything inherent to gender-affirming medical care
22 that present -- prevents assent by minors and informed consent
23 by their parents and legal guardians?

24 A. No, there is not.

25 Q. And why not?

1 A. So the requirements for having medical decision-making
2 capacity are that you understand the risk benefits,
3 alternatives; that you appreciate what those mean in your
4 individual circumstance, and that you're able to evaluate and
5 weigh the risks and the benefits.

6 And adolescents and their parents are generally capable --
7 or the average adult or adolescent is capable of understanding
8 the risks, benefits, and alternatives of gender-affirming
9 medical care, contextualizing that information in their own
10 individual circumstance, and then weighing the potential risks
11 and benefits in order to reach a decision; and that the risks,
12 benefits and alternatives of gender-affirming medical care are
13 not categorically different than the risks, benefits, and
14 alternatives of other treatments to which parents and
15 adolescents consent and assent.

16 Q. Are there other medical interventions in pediatrics that
17 have similar levels of uncertainty or outcomes to which minor
18 parents -- minor patients -- excuse me -- and their parents can
19 provide assent and informed consent?

20 A. Yes, there are.

21 Q. Can you think of any examples?

22 A. So I had the occasion to perform an ethics consult for a
23 12- or 13-year-old young woman who had Turner syndrome, which is
24 a genetic condition, and she had premature ovarian failure,
25 meaning that her ovaries were not functioning properly, and they

1 were considering starting her on estrogen therapy to replace the
2 estrogen that her ovaries were not making.

3 She also had a bleeding disorder and had multiple episodes
4 of gastrointestinal bleeding which were severe, and they were
5 contemplating performing a hysterectomy prior to estrogen
6 therapy because of the potential risk of serious, if not
7 life-threatening, bleeding from menses.

8 Because she was a minor and they were considering a
9 hysterectomy, they requested an ethics consult. I met with the
10 patient and her mother. The patient understood what a
11 hysterectomy was and the implications it had in her life. She
12 planned to go to college and to get married and to have
13 children. She understood that as a result of having a
14 hysterectomy, she wouldn't be able to become pregnant. She had
15 family members who had adopted children, and she very much
16 wanted to have children and saw adoption as a way to have her
17 own children and believed that the benefits of having the
18 hysterectomy outweighed the risks and assented to that
19 procedure.

20 And so I think that in that clinical situation there were,
21 you know, comparable benefits and risks, and even at 12 she had
22 sufficient medical decision-making capacity to assent to that
23 course of treatment.

24 Q. And so that -- is that a treatment, other than
25 gender-affirming medical care, which would have impacted the

1 patient's fertility?

2 A. Yes. She was assigned female at birth, and her gender
3 identity was female.

4 Q. Is the current standard of care for treating gender
5 dysphoria consistent with general ethical principles
6 instantiated in the practice of informed consent and shared
7 decision-making?

8 A. Yes, it is. The Clinical Practice Guidelines, including
9 the Endocrine Society's, are particularly attentive to informed
10 consent and emphasize, for example, the importance of making
11 individuals who are considering undergoing gender-affirming
12 medical care aware of options for fertility preservation and
13 make in general recommendations about the timing of different
14 forms of gender-affirming medical care related to the
15 development of medical decision-making capacity as individuals
16 grow older.

17 Q. We've talked today about the Endocrine Society guideline
18 for treatment of gender dysphoric persons and the WPATH SOC8.

19 Do these Clinical Practice Guidelines provide that doctors
20 inform families of the potential risks and benefits of
21 treatment?

22 A. Yes, they do.

23 Q. And in particular, do the guidelines emphasize the
24 importance of adequate informed consent as related to fertility?

25 A. Yes, they do, specifically making recommendations that

1 individuals considering gender-affirming medical care are
2 advised of the opportunities for fertility preservation.

3 Q. Dr. Antommara, you testified earlier that generally in the
4 practice of medicine the decision of whether to undergo
5 treatment ultimately rests with the patient and in the instance
6 of an adolescent with the parent or guardian.

7 Are the Endocrine Society guidelines and the WPATH SOC8
8 consistent with that?

9 A. Yes, they are.

10 Q. In your view, is there anything about gender-affirming
11 medical care that makes the informed consent process inadequate
12 to enable patients to make decisions about medical treatment?

13 A. No, there's nothing about gender-affirming medical care
14 that makes the general principles of informed consent
15 inapplicable or the process of informed consent inadequate.

16 Q. Dr. Antommara, in your review, does the regulation at
17 issue in this case have implications for clinicians' ability to
18 comply with their ethical obligations as physicians?

19 A. It does.

20 Q. How so?

21 A. It would -- although they may still be able to recommend
22 what they see as medically indicated treatment, it would
23 significantly limit some patients' access to that medically
24 indicated treatment.

25 Q. Some of the defendants' experts have asserted that some

1 doctors are providing gender-affirming medical care to
2 adolescents without appropriate psychological assessments and
3 without properly informing families of risks.

4 If an individual doctor provides treatment in an
5 inappropriate manner or without informed consent, how might that
6 be addressed?

7 A. There are multiple levels of oversight within the
8 healthcare system to address inadequate performance, be that at
9 the individual hospital level, at the individual patient level,
10 or at the state level. So at my institution, there is what is
11 called the PPEC, Professional Practice Evaluation Committee, and
12 if there are complaints about inadequate practice or
13 inappropriate practice, the PPEC committee would evaluate those
14 concerns, might recommend remediation, and if that was
15 ineffective, the provider could lose their privileges at our
16 institution in providing care.

17 There's certainly mechanisms to address inadequate
18 performance through the malpractice system, as well as at the
19 licensing level. So state licensing boards would consider,
20 again, concerns about unprofessional conduct and might effect
21 remediation plans or remove a provider's license to practice.

22 Q. And as you understand it, those are all systems that are
23 currently in place to regulate medical providers to ensure
24 appropriate care, including gender-affirming care?

25 A. Yes.

1 Q. Dr. Antommara, are you aware of any empirical studies
2 showing that providers are providing gender-affirming medical
3 care without appropriately informed consent?

4 A. No, I am not.

5 Q. Dr. Antommara, are you familiar with utilization rates of
6 gender-affirming medical care?

7 A. I am.

8 Q. What do you know about the utilization trends of
9 gender-affirming care in the last 30 years?

10 A. That, in general, the utilization rates have increased over
11 that period of time.

12 Q. How would you account for the increased utilization of
13 those treatments over time?

14 A. So there would be a variety of potential reasons for that
15 increased utilization over time. In part the increase in the
16 available treatment, in terms of the numbers of centers that are
17 available that provide that care, has increased over time.

18 And then there have been broader social changes which have
19 decreased the stigma of identifying as transgender or seeking a
20 diagnosis of gender dysphoria, which may increase individual's
21 willingness to seek treatment.

22 Q. Have utilization rates for treatments for other medical
23 conditions also seen increases over the last 30 years?

24 A. So in the way that there have been increases in the
25 diagnosis of gender dysphoria, there have also been increases in

1 other diagnoses including autism as well as Type 1 diabetes over
2 comparable time periods.

3 Q. And are increased utilization rates inherently a bad thing?

4 A. No. If there are increasing numbers of individuals who
5 have a particular diagnosis having increased utilization rates
6 as a result of them seeking and obtaining treatment would be
7 intrinsically a good thing.

8 Q. Where utilization rates increase over time, is it a common
9 response for the medical community's use of those treatments to
10 diminish?

11 A. No. There might be questions -- so I'll use an example
12 from my area of practice in hospital medicine.

13 So I treat children with bronchiolitis, which is a
14 respiratory infection in children under two. There has been the
15 development of a new technology called high-flow nasal cannula
16 which is an alternative way to provide ventilatory support to
17 help them get rid of carbon dioxide.

18 The utilization rates of high-flow nasal cannula have
19 significantly increased in the last ten years. That's been a
20 good thing because, in part, it allows us to treat these
21 patients without having to intubate them -- put a breathing tube
22 in them -- and over time allow them to be treated on the general
23 hospital floor instead of the Intensive Care Unit.

24 So the increase in utilization has, in general, been a very
25 positive thing for patients and their families.

1 There is a minor concern, potentially, now that high-flow
2 nasal cannula is being over-utilized. And so wanting to make
3 sure that the utilization is correct, but that's at the margins
4 of the overall utilization being a positive thing for patients
5 and their families.

6 Q. Dr. Antommara, some of the states -- some of defendants'
7 experts have attempted to discredit WPATH by asserting that they
8 are not a scientific organization because their membership
9 includes members of the patient community who are not medical
10 professionals.

11 Is the inclusion of other stakeholder groups atypical for
12 research or the development of Clinical Practice Guidelines?

13 A. So it is my general understanding that WPATH requires
14 professional credentials in order to be a full member of the
15 organization.

16 In developing of its standards of care, it incorporated
17 stakeholder groups who were a minority of the participants who
18 developed the standards of care, but that would be consistent
19 with general trends in the development of Clinical Practice
20 Guidelines.

21 So as we talked about in the development of recommendations
22 it's important in considering the risks -- the balance of the
23 risks and benefits that that balance reflects the evaluation of
24 the risks and benefits of the patient groups. And so
25 incorporating them in guideline development is a beneficial

1 change that has occurred over time rather than being
2 problematic.

3 Q. Some of defendants' experts point to systematic reviews of
4 the literature that describe the evidence base for
5 gender-affirming medical care as being limited. What's your
6 response to that?

7 A. That those systematic reviews are not Clinical Practice
8 Guidelines. They do not make recommendations. And so the fact
9 that they are evaluating the quality of the evidence has
10 implications for recommendations, but do not intrinsically
11 entail a specific recommendation.

12 Q. Dr. Antommaria, some of defendants' experts reference a
13 systematic review of Clinical Practice Guidelines by
14 Dolan, et al, in support of claim that the Endocrine Society
15 Guidelines and the WPATH Standards of Care are of low quality.

16 What's your response to that?

17 A. The methodology that's used by Dolan, et al does not
18 provide cut offs for assigning a quality grade to Clinical
19 Practice Guidelines.

20 Q. Do you know what methodology that review used?

21 A. I apologize. I don't recall off the top of my head.

22 Q. Some of defendants' experts cite to treatment
23 recommendations from government authorities in other countries.

24 Are you familiar with that reference?

25 A. I'm familiar with some decisions of some European

1 countries. Yes.

2 Q. What's your response to the assertion that countries in
3 Europe are banning access to gender-affirming medical care, in
4 particular for adolescents?

5 A. I'm not aware of any European country that has either
6 banned or withdrawn coverage for gender-affirming medical care.

7 My general understanding of the recommendations are that
8 the United Kingdom, Finland and Sweden are moving to providing
9 gender-affirming medical care in the setting of
10 multidisciplinary clinics, which is the type of care that is
11 typically provided in the United States. And that those
12 countries are also emphasizing the importance of ongoing
13 research in the field. But, in particular, Sweden disclaims
14 that that research will necessarily involve randomized
15 controlled trials.

16 Q. And so to your knowledge, do the Sweden or Finland
17 treatment recommendations evaluate the strength of the
18 underlying evidence?

19 A. To the -- so the difficulty with the Swedish and Finnish
20 guidelines are that I don't read Swedish or Finnish and that
21 very limited parts of their reports are available in official
22 English translation. So to the best of my knowledge, based on
23 the limited information available, none of those reports
24 constitute what I would consider a Clinical Practice Guideline
25 that both grades the quality of the evidence and the strength of

1 recommendations.

2 Q. Are you familiar with the report from the UK known as the
3 Cass Interim Report?

4 A. I am.

5 Q. Can you briefly describe with that is?

6 A. So a group that is chaired by Dr. Cass, who is an imminent
7 British pediatrician, has been chartered to review the provision
8 of gender-affirming medical care in the United Kingdom.

9 They have commissioned systematic reviews of the literature
10 and have issued an interim report in the process of issuing a
11 final report.

12 The interim report has recommended the development of
13 regional multidisciplinary teams to provide gender-affirming
14 medical care and building an infrastructure in order to provide
15 research in the field. But the interim report makes no specific
16 recommendations relative to the use of medications relative to
17 gender-affirming medical care.

18 Q. Some people have characterized that report as shutting down
19 gender-affirming care for adolescents in the UK. Is that a
20 correct assertion?

21 A. That would not be my characterization. The Cass Commission
22 has recommended the closure of a clinic that historically had
23 provided evaluation for individuals with gender dysphoria, but
24 rather than closing down the provision of gender-affirming
25 medical care it is trying to address a substantial problem with

1 a large wait list and make evaluation and treatment more readily
2 available to adolescents in the United Kingdom.

3 Q. Dr. Antommara, some of defendants' experts rely on other
4 organizations' views about gender-affirming medical care, such
5 as the Society for Evidence-Based Gender Medicine, rather than
6 the Endocrine Society and WPATH.

7 What's your reaction to that?

8 A. I'm not aware that the Society for Evidence-Based Gender
9 Medicine has produced a Clinical Practice Guideline for
10 gender-affirming medical care.

11 Q. And to your knowledge -- sorry. Strike that.

12 Dr. Antommara, defendants claim that patients with gender
13 dysphoria engage in self-diagnosis. Is that accurate in your
14 view?

15 A. I would not consider it accurate, or to the extent that it
16 is accurate it's not dissimilar to other medical conditions. So
17 it's not uncommon when I see patients for them, based on their
18 symptoms, to have a sense of what they have.

19 If they have a fever and a cough and shortness of breath
20 they might reasonably suspect that they have pneumonia. It
21 would, however, be up to the healthcare provider to confirm that
22 diagnosis and make a treatment recommendation.

23 I would say that individuals with gender dysphoria might
24 have reason to believe that they have gender dysphoria, but it
25 would be up to their healthcare providers to appropriately

1 evaluate and diagnose them as to whether they, in fact, have
2 gender dysphoria.

3 Q. So in your view, do medical diagnoses commonly rely on
4 patient's self-report of their symptoms to medical providers?

5 A. Yes. When I see a patient the process that we undergo
6 would be obtaining a history performing a physical exam. The
7 history is about obtaining the report of their symptoms.

8 There are other medical conditions that rely on
9 individual's self-report of their symptoms. Many other -- the
10 one nonmental health condition that readily comes to mind would
11 be migraine headaches.

12 Migraine headaches are exclusively diagnosed based on
13 patient's report of their symptoms; the duration, frequency,
14 characteristics of their headaches. And there are, in fact, no
15 laboratory or radiographic studies that allow one to confirm a
16 diagnosis of a migraine headache. Laboratory and radiographic
17 studies are only used in those instances in which one is
18 attempting to exclude other diagnoses.

19 Q. Dr. Antommara, are clinicians who perform research in
20 their clinical specialty inherently biased?

21 A. No, they are not.

22 Q. Why not?

23 A. So having heard that claim in the past, it's hard for me to
24 understand who individuals making that claim envision doing that
25 research, if not individuals within their own medical specialty

1 because they're the individuals who have the knowledge and
2 expertise to frame the research questions and the access to the
3 patients, or potential participants, in order to conduct the
4 studies. And that there are multiple mechanisms in the
5 profession to review potential conflicts of interest and to
6 appropriately address them, including at the level of grant
7 submission, so that if there was a particular individual who had
8 a specific conflict of interest, that would be addressed either
9 in not funding that individual to perform the research, or
10 potentially not publishing the results of their research.

11 Q. And similarly are clinicians who develop Clinical Practice
12 Guidelines in their clinical specialty inherently biased?

13 A. They are not.

14 And medical professional associations generally have robust
15 mechanisms to screen candidates for guideline development
16 committees for potential conflicts of interest and exclude them
17 from potentially participating in the development of such
18 guidelines.

19 Q. So are clinicians who prescribe treatment for
20 gender-affirming medical care inherently biased, or do they have
21 an inherent conflict of interest in performing research or
22 developing guidelines about that care?

23 A. No, they are not. They do not have intrinsic conflicts of
24 interest.

25 Q. And why would that be?

1 A. Their recommendations are generally based on their
2 knowledge of evidence in the literature and their clinical
3 experience. And that knowledge and experience in and of itself
4 doesn't constitute bias in the negative or pejorative sense of
5 the term. It is, in fact, what patients would be seeking their
6 care for.

7 Q. Dr. Antommara, does a condition's cause being unknown mean
8 that there can be no established treatments for it?

9 A. No. It's not necessary to know the cause of a condition in
10 order to have effective treatments.

11 Again, I'll rely on my experience as a pediatric
12 hospitalist. So there is a condition called Kawasaki disease
13 which is common to me as a pediatric hospitalist and not
14 uncommon in the general population. And it's an inflammatory
15 disease in younger children. And we do not know what causes it.
16 But we have effective treatments that have been demonstrated to
17 be effective, based on studies. And so our lack of knowledge of
18 what causes the condition does not prevent us from having
19 effective treatments for that condition.

20 Q. Do the adverse effects of a treatment not being fully
21 elucidated make the treatment experimental?

22 A. No, it does not.

23 Q. Why is that?

24 A. It is not uncommon for the potential side effects of the
25 treatment to not fully be elucidated, say, for example at the

1 time the FDA approves a medication.

2 So the FDA reviews evidence of safety and efficacy
3 typically to trials, which may have several hundred individuals,
4 and that that is adequate evidence of safety and efficacy, that
5 there might be uncommon side effects that are identified when
6 that treatment is used in a larger population, or side effects
7 that only become apparent over a longer time frame.

8 Again, I'll use a COVID example. So the COVID vaccines
9 were approved, but there was post-marketing surveillance, as
10 there are with other approved treatments, in order to
11 potentially identify side effects in larger groups or over
12 longer periods of time. But the FDA, none the less, approved
13 those vaccines as safe and effective.

14 Q. And has -- excuse me. Has medicine identified a definitive
15 cause of gender dysphoria that you are aware of?

16 A. It's my understanding that medicine has identified
17 contributing factors of gender dysphoria, including potential
18 genetic influences, but not a definitive cause.

19 Q. And does that undermine the existence of well-established
20 evidence-based treatments for gender dysphoria?

21 A. No, it does not.

22 MR. CHARLES: Your Honor, I'd like to show the witness
23 a stipulated exhibit labeled Defendants' Exhibit 28.

24 BY MR. CHARLES:

25 Q. Dr. Antommaria, can you see this document on your screen?

1 A. I can.

2 Q. Are you familiar with it?

3 A. I am.

4 THE COURT: Is this -- this can be shown to the
5 public, can it not?

6 MR. CHARLES: Oh, I'm sorry, Your Honor. Yes.

7 BY MR. CHARLES:

8 Q. Dr. Antommaria, what is this document?

9 A. It's a systematic review that was conducted, in part, to
10 support -- I believe it was Swedish recommendations related to
11 gender-affirming medical care, in this case specifically hormone
12 treatment.

13 Q. I'm going to take you to a section here.

14 Can you just read that highlighted paragraph to yourself,
15 please, Dr. Antommaria?

16 (Pause in proceedings.)

17 THE WITNESS: I've read it, sir.

18 BY MR. CHARLES:

19 Q. Okay. And can you -- I'm looking at the sentence that
20 starts, "Given the current lack of..."

21 Do you see that about halfway through the paragraph?

22 A. I do.

23 Q. Okay. And it states: *Another ethically feasible option*
24 *would be to randomize individuals to hormone therapy with all*
25 *study participants, independent of intervention status,*

1 *receiving psychological and psychosocial support.*

2 Do you understand what kind of study design that is
3 suggesting?

4 A. So I will note that this is an accepted article, which
5 means that it is not in its final published form and has yet to
6 undergo copy editing.

7 The sentence is hard for me to understand and appears in
8 some ways to not be well formed. But in particular I would say
9 it's hard for me to envision the study design, because they talk
10 about randomizing individuals to hormone therapy, but they are
11 suggesting that that would be the intervention. But they don't
12 suggest in the sentence what they would be randomized in terms
13 of what the control is.

14 So it would be at least incomplete in terms of the type of
15 study design that they are envisioning.

16 Q. Based on what you can understand from that suggestion,
17 would that be an ethical study design for gender-affirming
18 medical care?

19 A. So I don't -- so their claim about it being ethically
20 feasible is simply an assertion. They don't -- so I'll say this
21 as an ethicist, they don't provide an argument for why it would
22 be ethically feasible. So I have both difficulty understanding
23 what design they envision and why they think it would be
24 ethically feasible because they don't provide specific reasons
25 to justify that assertion.

1 I would have concerns about their subsequent sentence that
2 said: *Controlled trials do not necessarily require placebo*
3 *treatment, but could for example build on the date or time of*
4 *starting hormonal therapy to generate comparison groups,* which
5 might suggest that they envision a study design that required --
6 that is dependent on there being a waiting list in randomizing
7 people, for example, to initiate treatment versus continue on
8 the waiting list. But that would strike me as ethically
9 problematic, because it would seem that the primary ethical
10 thing would be to decrease the waiting list as opposed to
11 utilizing the waiting list as a mechanism to generate a clinical
12 trial.

13 Q. Dr. Antommara, we discussed earlier today the Florida
14 Medicaid GAPMS memo.

15 Do you believe the GAPMS memo properly characteristics
16 gender-affirming medical care and the evidence base for it?

17 A. I do not.

18 Q. Why is that?

19 A. Because I believe that there is evidence of the safety and
20 efficacy of gender-affirming medical care and that there are
21 appropriate mechanisms to obtain informed consent for
22 gender-affirming medical care, and that it is a medically
23 indicated treatment.

24 Q. And, Dr. Antommara, is gender-affirming medical care
25 experimental?

1 A. It is not.

2 Q. Or investigational?

3 A. So it is possible for gender-affirming medical care to be
4 part of a trial or for research to be conducted on
5 gender-affirming medical care. But as a broad category, as it
6 is used in clinical practice, is it a medically indicated
7 clinical treatment and is not experimental except in those
8 specialized circumstances in which research is being conducted.

9 MR. CHARLES: Finished, Your Honor.

10 THE COURT: All right. Before we start cross, let me
11 note, the last exhibit I think you used was Defendants' Exhibit
12 28. I think you said it was stipulated. I don't think it was.
13 I don't know if you wanted it admitted.

14 MR. CHARLES: I'm sorry, Your Honor.

15 THE COURT: It doesn't matter. I'm just noting it --
16 I think it has not been admitted. If somebody wants it
17 admitted, you need to offer it.

18 MR. PERKO: We'd like it to be admitted, Your Honor.

19 THE COURT: You would like it admitted?

20 MR. PERKO: Yes, sir.

21 THE COURT: Is there --

22 MR. CHARLES: No, we don't want it to be admitted,
23 Your Honor.

24 THE COURT: All right. We'll wait until it's
25 authenticated and a foundation is established.

1 CROSS-EXAMINATION

2 BY MR. PERKO:

3 Q. Good afternoon, Dr. Antommara.

4 Dr. Antommara, you're a pediatrician and a bioethicist;
5 right?

6 A. Correct.

7 Q. You are not a psychiatrist?

8 A. No, I am not.

9 Q. And you're not an endocrinologist?

10 A. No, sir, I am not.

11 Q. And you are not a surgeon?

12 A. No, I am not.

13 Q. And you do not diagnose patients with gender dysphoria?

14 A. I do not provide the initial diagnosis of gender dysphoria
15 to patients, no.

16 Q. And you talked at length about the GRADE methodology.

17 MR. PERKO: And if you could bring up Plaintiffs' 157,
18 please.

19 IT STAFF: I'm sorry?

20 MR. PERKO: 157.

21 IT STAFF: Thank you.

22 BY MR. PERKO:

23 Q. Now, this is the article that you talked about in direct
24 examination.

25 The last author listed here is Gordon H. Guyatt. Do you

1 understand him to be the father of the GRADE system?

2 A. Sir, you'd have to explain to me which what you mean by
3 father --

4 MR. CHARLES: Objection.

5 BY MR. PERKO:

6 Q. Was he the original author of the GRADE system?

7 A. The GRADE approach was developed by a multi-author group.
8 He was among the authors of the original paper and an author on
9 the subsequent publications.

10 Q. You've never heard him acknowledged as the father of the
11 GRADE system?

12 MR. CHARLES: Objection, Your Honor.

13 THE COURT: Overruled.

14 THE WITNESS: I'm to answer the question?

15 THE COURT: Yes, you are.

16 THE WITNESS: Not until you've used the term today,
17 sir.

18 MR. PERKO: Okay. If we could turn to that Table 2 on
19 Bates number 6349 that you previously testified about.

20 BY MR. PERKO:

21 Q. Now, Dr. Antommaria, you previously testified about the low
22 evidence -- or quality of evidence in this table. And I believe
23 you said it meant our confidence is the effect estimate -- in
24 the effect estimate is limited. But you did not read the second
25 sentence.

1 Can you tell me what that says?

2 A. The rest of the sentence after the colon states: *The true*
3 *effect may be substantially different from the estimate of the*
4 *effect.*

5 Q. And what is very low quality of evidence defined as?

6 A. Would you like me to read that sentence?

7 Q. Yes, sir.

8 A. *We have very little confidence in the effect estimate. The*
9 *true effect is likely to be substantially different from the*
10 *estimate of effect.*

11 Q. And you talk a lot about --

12 MR. PERKO: You can take that down now.

13 BY MR. PERKO:

14 Q. You talked a lot about the Endocrine Society's Clinical
15 Practice Guidelines.

16 In your expert report, you state that the Endocrine Society
17 Clinical Practice Guidelines make 28 recommendations, and that
18 10 are strong, 12 are weak, and 6 are ungraded good practice
19 statements.

20 Do you recall that?

21 A. So I don't have a copy of the report in front of me, sir.

22 MR. PERKO: Could we bring up -- I'm sorry. I have
23 too much paper here.

24 Bring up Plaintiffs' 5.

25 May I approach, Your Honor?

1 THE COURT: You may.

2 IT STAFF: Plaintiffs' 5?

3 MR. PERKO: If we could turn to page 11.

4 BY MR. PERKO:

5 Q. In the paragraph 23 that starts on page 11, you state: *The*
6 *Society's clinical practice guideline for the endocrine*
7 *treatment of gender-dysphoric/gender-incongruent persons makes*
8 *28 recommendations.*

9 Is that an accurate statement?

10 A. Yes, sir.

11 Q. You go on to say: *Ten are strong, 12 are weak, and six are*
12 *ungraded good practice statements.*

13 Is that a correct statement?

14 A. Yes, sir.

15 Q. Now, you mentioned ungraded good practice statements on
16 your direct. Can you tell me what that is?

17 A. Those are recommendations for which there is not
18 substantial evidence available, and they are made as
19 recommendations for practice without a specific grading of
20 evidence in support of them.

21 Q. You are saying they are recommendations?

22 A. I'm sorry?

23 Q. You are saying that they are recommendations?

24 A. They are not recommendations in the sense of strong or weak
25 recommendations, but I would say that I think that they're

1 broadly understood as recommendations in the sense of directing
2 provider performance.

3 MR. PERKO: Can we bring up page 39?

4 Which is exhibit C to your expert report.

5 It's the very last page. It's Appendix C to his
6 report -- Exhibit C. It's the very last page. It should be, at
7 least.

8 There we go.

9 BY MR. PERKO:

10 Q. So this is Exhibit C to your expert report, and it does --
11 in footnote 3 it says: *Upgraded Good Practice Statement*. And
12 then, quote: *Direct evidence for these statements was either*
13 *unavailable or not systemically appraised and considered out of*
14 *the scope of this guideline. The intention of these statements*
15 *is to draw attention to these principles.*

16 Is that a correct understanding of what ungraded good
17 practice statements are?

18 A. Yes, sir.

19 Q. Going back to -- on page 11, again, paragraph 23. Page 11.

20 After you say that: *Ten are strong, 12 are weak, and six*
21 *are ungraded good practice statements, you say that: Three are*
22 *based on moderate, 14 on low, and five on very low-quality*
23 *evidence.*

24 Is that a correct statement?

25 A. Yes, sir.

1 Q. You also mention that the Endocrine Society has guidelines
2 on pediatric obesity. Do you recall that?

3 A. Yes, sir.

4 Q. Those guidelines don't recommend the use of hormone therapy
5 for pediatric obesity, do they?

6 A. I don't believe that they do, sir.

7 Q. You'd agree with me that there is no confirmatory
8 laboratory or radiographic study for the diagnosis of gender
9 dysphoria, wouldn't you?

10 A. Can you repeat your question, sir?

11 Q. Yes.

12 There is no confirmatory laboratory or radiologic --
13 radiographic study for the diagnosis of gender dysphoria?

14 A. That's correct, sir.

15 Q. You talked about migraine headaches as having similar
16 quality evidence to support it as gender dysphoria.

17 What's the treatment for migraine headaches?

18 A. So, sir, I don't believe that I talked about the quality of
19 the evidence related to migraine headaches. I believe that I
20 discussed that migraine headaches are diagnosed based on
21 patients' reports of their symptoms.

22 Q. Thank you for that clarification.

23 What's the treatment for migraine headache?

24 A. This is a pharmacotherapy for migraine headaches, sir.

25 Q. There is what?

1 A. There are medications that are used to either prevent or
2 treat migraine headaches, sir.

3 Q. Is hormone therapy used to treat migraines?

4 A. Not to the best of my knowledge, sir.

5 Q. And you're a member of the American Academy of
6 Pediatrics; right?

7 A. The American Academy of Pediatrics.

8 Q. Pediatrics.

9 A. Yes, sir.

10 Q. Are you aware that -- and I'll refer to it as the APA; is
11 that all right?

12 A. The AAP, sir.

13 Q. I'm sorry. The AAP.

14 Are you aware that the AAP endorsed WPATH's Standards of
15 Care?

16 A. So the AAP endorsing another medical professional
17 organization's Clinical Practice Guideline has a very specific
18 meaning. And no, I'm not aware that the AAP has endorsed
19 WPATH's SOC 8.

20 Q. Has the AAP taken a position on the WPATH Standards of
21 Care?

22 A. The AAP has taken positions on gender-affirming medical
23 care.

24 Q. Have they taken a position on the WPATH's Standards of
25 Care?

1 A. On the recently published 8th version?

2 Q. I believe it was the 7th.

3 A. So I don't recall a specific statement by the Academy on
4 SOC 7 or SOC 8.

5 Q. But you did say that the Academy did endorse
6 gender-affirming care? Did I hear you correctly?

7 A. So the American Academy of Pediatrics has not published a
8 Clinical Practice Guideline on gender-affirming medical care,
9 but has published other documents in support of gender-affirming
10 medical care.

11 Q. Okay. Were you and your fellow members asked to vote on
12 the AAP's endorse -- or position on gender-affirming care?

13 A. No, sir, I wasn't. But I was also not asked as a member of
14 the Academy to vote on its Clinical Practice Guideline for the
15 treatment of febrile infants.

16 Q. So it's possible that not all members of the AAP agreed
17 with the AAP's position on gender-affirming care, isn't it?

18 A. Yes, that is possible, sir.

19 Q. It's possible that the majority of the members didn't agree
20 with that position statement, isn't it?

21 A. As a theoretical possibility, sir?

22 Q. Is it possible that a majority of the members did not agree
23 with that position statement?

24 A. It would theoretically be possible, but I would believe it
25 to be highly unlikely.

1 Q. You talked about the Swedish -- I don't know if you used
2 the phrase, but the Swedish National Board of Health and Welfare
3 coming out with a new position.

4 Do you recall that testimony?

5 A. Yes, sir.

6 MR. PERKO: Could we bring up Defendants' 8?

7 BY MR. PERKO:

8 Q. Do you see it on your screen?

9 A. I do, sir.

10 Q. Is this the official translation that you're referring to
11 about the Swedish physician paper?

12 MR. CHARLES: Objection, Your Honor.

13 THE COURT: What's the objection?

14 MR. CHARLES: It lacks an authentication
15 certificate -- an official translation certificate.

16 THE COURT: Well, he's asking. Let's find out if
17 Dr. Antommara knows what it is.

18 THE WITNESS: Can you scroll through several of the
19 pages, sir?

20 BY MR. PERKO:

21 Q. Sure.

22 A. Can you keep going, please?

23 To the best of my understanding, this is the official
24 translation of the summary of their document. It is not an
25 official translation of the entire guideline.

1 Q. You referred to official translation (indiscernible) --
2 (Reporter requested clarification.)

3 THE COURT: Slow down.

4 Look, here -- everybody speak up, speak one at a time.
5 It's getting late in the afternoon. Everybody is slowing down,
6 but we need to keep going. So speak up and make it clear.

7 We're going to be reading some papers back and forth.
8 When you're reading, read slowly and loudly. We can all read
9 faster than we need to read in the courtroom.

10 So let's get back on track.

11 You had an objection?

12 MR. CHARLES: Yes, Your Honor. Lacks authentication.

13 THE COURT: He just authenticated it. The objection
14 is overruled.

15 MR. PERKO: Can we go to page 3, the first paragraph
16 under "Caution in the use of hormonal and surgical treatment"?

17 THE COURT: And I guess, before you ask the question,
18 I can ask: Do you think this is not what it purports to be?

19 MR. CHARLES: Your Honor, it appears to be a document
20 online. We don't know anything else about it.

21 THE COURT: Well, your witness just said what it is,
22 so I overrule the objection.

23 MR. PERKO: Thank you, Your Honor.

24 BY MR. PERKO:

25 Q. Do you see this paragraph? It says: *At group level (i.e.*

1 *for the group of adolescents with gender dysphoria, as a whole)*
2 *the National Board of Health and Welfare currently assesses that*
3 *the risks of puberty blockers and gender-affirming treatment are*
4 *likely to outweigh the expected benefits of these treatments.*
5 *The National Board of Health and Welfare therefore gives the*
6 *following weak, negative recommendations as guidance to the*
7 *healthcare system.*

8 And those include *treatment with GnRH analogues,*
9 *gender-affirming hormones, and mastectomy can be administered in*
10 *exceptional cases.*

11 Is that your understanding of what the Swedish National
12 Board of Health and Welfare concluded?

13 A. So, sir, this is a different document than the one with
14 which I am familiar.

15 THE COURT: All right. Let's back up.

16 Where did this come from?

17 MR. PERKO: It came from the Internet, Your Honor.

18 THE COURT: I sustain the objection.

19 If you find somebody that knows what this is, you can
20 put it in, but I take what the doctor has just told me to be
21 that when he first said this is what he thought he had seen, now
22 having seen more of it, he does not think it is what he has
23 seen.

24 So if all you've done is pull some document off the
25 Internet without anybody who can say what it is, it's not coming

1 in.

2 MR. PERKO: Fair enough, Your Honor.

3 THE COURT: You've got an expert -- I assume you've
4 got experts on your side, and somebody will know what this is.

5 But, look, you -- on both sides, don't go pulling
6 preliminary drafts and bringing them in here as if they're some
7 official document. So I assume that this is really the official
8 document and you have somebody that's going to say it is, and
9 when you do that, I'll admit it.

10 MR. PERKO: Fair enough, Your Honor.

11 Thank you, Your Honor. I think that's all I have.

12 THE COURT: Redirect?

13 MR. CHARLES: Nothing further, Your Honor.

14 THE COURT: There was some discussion, I think on your
15 direct examination, about the increase in the number of -- I
16 think it was adolescents seeking treatment, and you said if --
17 in effect, as I grasped it, you said, in effect, if these are
18 people who need treatment, the increase in people seeking
19 treatment is a good thing. I get it.

20 Is there any way to know whether the increase in the
21 number of people seeking treatment is an increase in the number
22 of people seeking treatment out of a population of people who
23 need treatment that's unchanged or instead is reflective of an
24 increase in the number of people who need treatment?

25 I asked that very badly. I hope it came across. The

1 idea is are we looking at an increase in the number of people
2 with gender dysphoria, or are we looking at a situation where
3 it's the same percentage of people who have gender dysphoria,
4 it's just that more of them are seeking treatment?

5 THE WITNESS: I don't think that we have that
6 information available to us. I think going forward -- but even
7 know there are not broad in the U.S. population-based estimates
8 of the measures of the number of individuals with gender
9 dysphoria, and certainly that information wasn't available in
10 the past. So we don't -- we are not able to make those
11 comparisons.

12 THE COURT: I take it it would be very difficult even
13 now to find out what percentage of people in the population are
14 trans.

15 THE WITNESS: So there are people who are working in
16 order to be able to do that, both in terms of developing robust
17 questions in surveys in order to do that, as well as being able
18 to field those surveys to a representative group of people. But
19 those are still barriers to being able to answer the question
20 that you are asking, sir.

21 THE COURT: Very hard survey to get honest answers to,
22 I take it?

23 THE WITNESS: Well --

24 THE COURT: Sociological research is always difficult.
25 This one has got to be one of the harder problems, isn't it?

1 THE WITNESS: Somewhat outside of my field, sir. I
2 might not say -- certainly there might be individuals who,
3 again, because of the social stigma, might have hesitance to
4 answer honestly, but some of the issue is just how do you ask
5 the question at all and in a way that people respond
6 consistently.

7 THE COURT: One of the other discussions that you had,
8 I think on the direct examination, was about this assertion that
9 the people who are providing care in this field are biased.
10 And, frankly, I understand the concern. There's the old
11 statement when the only tool you have is a hammer, everything
12 looks like a nail. On the other hand, if we're going to study a
13 cardiology problem, we're not going to get pediatricians to do
14 the research. We're going to get the cardiologists to do the
15 research. That's why you'd do it.

16 So I've heard from you and the other experts about
17 clinics where this kind of work is done, so, for example, the
18 University of Michigan clinic where people come.

19 Are there any successful practices treating gender
20 identity in other ways, under other paradigms, successful
21 practices that -- by "successful" I mean that have attracted
22 people who wind up satisfied with the outcomes. Is that going
23 on anywhere?

24 THE WITNESS: I'm not aware of what you refer to as
25 successful practices using a different paradigm for postpubertal

1 children, sir.

2 THE COURT: Thank you.

3 Questions just to follow up on mine?

4 MR. CHARLES: No, Your Honor.

5 MR. PERKO: No, Your Honor.

6 THE COURT: Thank you, Doctor. You may step down.

7 (Dr. Antommara exited the courtroom.)

8 THE COURT: Please call your next witness.

9 MR. GONZALEZ-PAGAN: Thank you, Your Honor. I believe
10 Ms. Altman will be calling our next witness, but we're wondering
11 if we could take a brief afternoon break for just --

12 THE COURT: I mean, if someone needs a break, we can
13 take it, but, look, what I'd like to do is take one more break
14 this afternoon. If we take it now, it's going to be a long
15 afternoon.

16 MR. GONZALEZ-PAGAN: I understand. It's been
17 requested of me, so I defer.

18 We're okay.

19 THE COURT: So let's keep going.

20 Who is the next witness?

21 MS. ALTMAN: Your Honor, the plaintiffs call Jeffrey
22 English.

23 (Mr. English entered the courtroom.)

24 THE COURTROOM DEPUTY: Please remain standing and
25 raise your right hand.

1 **JEFFREY ENGLISH, PLAINTIFFS WITNESS, DULY SWORN**

2 THE COURTROOM DEPUTY: Please be seated.

3 Please state your full name and spell your last name
4 for the record.

5 THE WITNESS: My name is Jeffrey A. English. My last
6 name is spelled E-n-g-l-i-s-h.

7 MS. ALTMAN: Your Honor, may I approach?

8 THE COURT: You may.

9 MS. ALTMAN: I have put the exhibits -- we're going to
10 call them up electronically, but I also have paper copies here,
11 and I have a copy for the Court.

12 THE COURT: I've got the one electronically and the
13 one you're going to call up, so thank you.

14 MS. ALTMAN: All right.

15 DIRECT EXAMINATION

16 BY MS. ALTMAN:

17 Q. Good afternoon, sir.

18 We've met before?

19 A. We have.

20 Q. And can you introduce yourself to the Court, please?

21 A. My name is Jeff English.

22 Q. Were you previously employed by the Agency for Health Care
23 Administration?

24 A. Yes.

25 Q. And if I say AHCA, will you agree with me that that's going

1 to refer to the Agency of Health Care Administration?

2 A. Yes.

3 Q. For what period of time were you employed by AHCA?

4 A. I believe I started in September of 2019, and -- until
5 February of 2023, so --

6 Q. And --

7 A. Yeah.

8 Q. -- what positions did you hold while employed there?

9 A. I was a Government Analyst II. Initially in that role I
10 was responsible for the generally accepted medical standards
11 process, and then ultimately I transferred out of that position
12 and into the position as the Medicaid state planning
13 coordinator.

14 Q. In the Government Analyst II position -- the Generally
15 Accepted Professional Medical Standards --

16 A. Uh-huh.

17 Q. -- we can call that GAPMS; is that correct?

18 A. Correct.

19 Q. And you were the GAPMS guy?

20 A. Yes, I was.

21 Q. And how long did you hold that position?

22 A. Three years.

23 Q. How long did you hold the state planning coordinator
24 position?

25 A. Several months.

1 Q. When did you leave AHCA?

2 A. I left in February of 2023.

3 Q. Did you leave voluntarily?

4 A. I did.

5 Q. When you decided to leave AHCA, did you have another job?

6 A. I did not.

7 Q. But you chose to leave anyway?

8 A. I did.

9 Q. Are you working today?

10 A. I am not.

11 Q. Are you being compensated for your time?

12 A. I am not.

13 Q. Why did you choose to leave AHCA?

14 A. It was a combination of personal reasons and professional
15 reasons, some family considerations, and just the direction that
16 the agency seemed to be going. There were a lot of morale
17 problems, and I just didn't feel like -- I no longer wanted to
18 be associated with a position that I didn't feel had any more
19 integrity.

20 Q. Is the position that you're referring to your position as
21 the GAPMS guy?

22 A. It is.

23 Q. And is that the position for which you felt the GAPMS no
24 longer had any integrity?

25 A. It is.

1 Q. Can you describe for the Court what you did while you held
2 the title of Government Analyst II, the GAPMS guy, meaning what
3 were your specific roles and responsibilities?

4 A. Any GAPMS request that came in, I was responsible for
5 researching and writing those reports and routing them through
6 leadership. I also worked quite a bit with regards to things
7 going on with session. I was the liaison with the agency for
8 the Centers for Medicaid & Medicare Services, the National
9 Association of Medicaid Directors, and some other organizations
10 that pertain to the work we did.

11 Q. Who was your supervisor when you were in the GAPMS role?

12 A. When I was hired, it was a woman named Christina Vracar,
13 and ultimately it was Jesse Bottcher.

14 Q. Who is Jesse Bottcher's supervisor?

15 A. The bureau chief, which would be Ann Dalton.

16 Q. Why did you transition to the state planning coordinator
17 position?

18 A. I had -- I had wanted to switch positions. I didn't want
19 to be involved in the GAPMS position anymore. I had tried to
20 leave, and Jesse explained to me that he really wanted to keep
21 me and offered me my choice of a couple of different Government
22 Analyst II positions in order to try and get me to stay.

23 Q. And the period you're talking about where you no longer
24 wanted to be associated with the GAPMS role, was that after the
25 June 2, 2022, GAPMS report on gender dysphoria was issued?

1 A. It was.

2 Q. And prior to that, you were not looking to leave that role,
3 were you?

4 A. No.

5 Q. Did you resign after the June 2, 2022, GAPMS report on
6 gender dysphoria was issued?

7 A. I did. I sent a two-week notice to the bureau chief and my
8 supervisor.

9 Q. The bureau chief being Ann Dalton?

10 A. Yes.

11 Q. Did you end up resigning?

12 A. No. That was when Jessie came to me and convinced me to
13 stick around a little longer.

14 Q. And you stuck along, I guess, about six more months; is
15 that fair?

16 A. That's fair.

17 Q. When you tendered your resignation in the summer of 2022,
18 did you explain to them why -- why you were resigning?

19 A. I didn't lay that out in the email to Ann, but Jesse was
20 well aware of the circumstances of why I wanted to leave the
21 position.

22 Q. And when you say the circumstances of why you wanted to
23 leave the position, did it relate to the June 2, 2022, GAPMS
24 report?

25 A. And its impact on the process as a whole.

1 Q. What do you mean by that?

2 A. Part of the process -- when you get a request, you end up
3 kind of having a working relationship, so to speak, with the
4 requester. They trust you to see the report through. There
5 were multiple reports that had been written that were lying
6 around that had not been reviewed. There were people who
7 mistakenly believed that I had written the June 2 report. There
8 were a host of reasons why I didn't want to stay in that
9 position.

10 Q. Did you write the June 2, 2022, GAPMS report on gender
11 dysphoria?

12 A. I did not.

13 Q. What is the purpose of a GAPMS report?

14 A. It's a request for coverage. It's a coverage determination
15 document that's prepared in response to a request for coverage
16 for something that Florida Medicaid doesn't already provide
17 coverage for.

18 Q. Does it also determine medical necessity?

19 A. It does.

20 Q. So it determines both coverage and medical necessity; is
21 that correct?

22 A. Yes.

23 Q. While you held the title of Government Analyst II, did
24 anyone else work on GAPMS reports with you?

25 A. Yes. And you'll have to forgive me. There was a woman

1 that worked in Medicaid policy briefly who was brought in to
2 help take some longer form -- some longer reports and condense
3 them down to something called a short form GAPMS. And there was
4 a gentleman named Nick who we -- when I accepted the job there
5 was a big backlog, a big queue of requests that had not been
6 addressed, and we had Nick -- we tasked Nick with going through
7 those requests and applying the GAPMS checklist to make sure
8 that every request that was in the queue was truly a GAPMS.

9 Q. Sir, if you could turn to Tab 1 in your binder.

10 MS. ALTMAN: For the Court's indulgence, it's Exhibit
11 18.

12 THE WITNESS: Okay.

13 MS. ALTMAN: Plaintiffs' Trial Exhibit 18.

14 BY MS. ALTMAN:

15 Q. Do you recognize this document, sir?

16 A. I do.

17 Q. What is it?

18 A. It's the Florida Medicaid GAPMS report on gender dysphoria.

19 Q. Who created this document?

20 A. Matt Brackett.

21 Q. Did you have any involvement in the preparation of this
22 document?

23 A. None whatsoever.

24 Q. Does this GAPMS report set out the basis for AHCA's
25 determination to not cover treatments for those diagnosed with

1 gender dysphoria, including treatment such as
2 puberty-suppressing medications and cross-sex hormones?

3 A. It does.

4 Q. Is the June 2nd, 2022 GAPMS report the basis for AHCA to
5 establish Florida Administrative Code Rule 59G-1.050, which bans
6 medical treatments for gender-affirming care?

7 A. Yes.

8 Q. Was this GAPMS report created while you were still employed
9 by AHCA as a Government Analyst II, as the GAPMS guy?

10 A. Yes.

11 Q. And is this a business record of AHCA maintained in the
12 ordinary course of business that you had access to while you
13 were employed by AHCA?

14 A. Yes.

15 Q. Where was this record maintained?

16 A. On AHCA's website.

17 Q. Was there a slogan associated with it?

18 A. I believe it was Let Kids be Kids.

19 Q. And at the time this GAPMS report was created, who was
20 responsible for preparing GAPMS reports?

21 A. Myself.

22 Q. Did you have any role in drafting this report?

23 A. I did not.

24 Q. Were you asked to participate in the drafting of this GAPMS
25 report?

1 A. I was not.

2 Q. Other than this GAPMS report, meaning what's been marked as
3 Plaintiffs' Trial Exhibit 18 for identification, are you aware
4 of any other GAPMS report while you were employed there that was
5 created by someone other than you while you were in the
6 Government Analyst II position handling the drafting of GAPMS
7 reports?

8 A. I am not.

9 Q. Prior to the June 2022 GAPMS report, are you aware of any
10 other instance where a GAPMS report was drafted and issued
11 without your direct involvement?

12 A. I am not.

13 Q. At the time of the June 2022 GAPMS report, were there other
14 GAPMS reports in queue?

15 A. Yes.

16 MS. ALTMAN: And, Your Honor, we'd ask that
17 Plaintiffs' Trial Exhibit 18 be moved into evidence.

18 MR. PERKO: Your Honor, our only objection is it's not
19 complete. It doesn't have the attachments to it. We'd offered
20 that into evidence.

21 THE COURT: The one in this book has some attachments.
22 Is that not all of them?

23 MR. PERKO: I don't believe it does, Your Honor.

24 MS. ALTMAN: Your Honor, Plaintiffs' Trial Exhibit 18
25 is just the report, which is what we are using with Mr. English.

1 The attachments are the -- I'll use air quotes --
2 assessments of the experts, for lack of a better word, that they
3 attached to the report.

4 THE COURT: Does the whole thing have a different
5 number? Is there a defense number?

6 MR. PERKO: DX6.

7 THE COURT: DX6?

8 MR. PERKO: 6.

9 THE COURT: DX6 is admitted.

10 (DEFENDANT EXHIBIT DX6: Received in evidence.)

11 THE COURT: And I'll admit Plaintiffs' 18 as well. It
12 won't hurt to have the two versions.

13 MS. ALTMAN: Thank you, Your Honor.

14 THE COURT: And if you are going to work with him on
15 this one, I don't want to have to worry about whether the
16 page numbers are the same or whatever, so I'll admit both
17 exhibits.

18 (PLAINTIFFS EXHIBIT 18: Received in evidence.)

19 MS. ALTMAN: Thank you, Your Honor.

20 And just for the Court's edification, we are not going
21 to go through the attachments with this witness.

22 Thank you.

23 BY MS. ALTMAN:

24 Q. Why was the June 2022 GAPMS report pushed in front of other
25 GAPMS reports that were in process before this one?

1 A. Because the request for it came in from the executive.

2 Q. And who is that?

3 A. The Governor.

4 Q. What type of information is in a GAPMS report?

5 A. Everything from recognized relevant Clinical Practice
6 Guidelines.

7 There's usually -- there is always a literature section
8 pertaining to the most relevant studies on the subject.

9 There's coverage considerations, both from other state
10 Medicaid programs and major insurance companies.

11 There is -- and if need be for a particular report, there
12 can be a fiscal analysis included as well.

13 Q. Is there more than one type of GAPMS report?

14 A. There's what I call a traditional GAPMS report, which is a
15 traditional request that comes in and goes through the normal
16 process and routing process. And then there is something that's
17 called an expedited GAPMS that is an internal memo between a
18 health plan and Medicaid policy when the health plan denies
19 coverage for something as experimental and investigational.

20 Q. Is a request from the Governor a traditional request for a
21 GAPMS report? Is that how they traditionally come in?

22 A. That's what this report would most closely -- would most
23 closely resemble.

24 Q. And I'm sorry. My question probably wasn't clear.

25 When you talk about the requests for how a GAPMS is

1 requested, do they usually come from the Governor? The request?

2 A. No, they come in through an email address called Health
3 Service Research, and it's typically either providers who are
4 seeking treatment for a patient, or, say, a manufacturer who has
5 invented a new medical device or some type of company that has
6 treatment that they want Florida Medicaid to cover.

7 Q. While you were the GAPMS guy, were there any requests that
8 came in to you from the Governor?

9 A. No.

10 Q. Just this one?

11 A. Well, this one didn't come to me, but, yes, just this
12 request, as far as I know.

13 Q. And do you know who it came to, meaning the request from
14 the Governor?

15 A. Ultimately it went to Matt Brackett.

16 Q. Is the GAPMS process used to ask for treatment or service
17 to be excluded?

18 A. No, it's a request for coverage; it's not a request for
19 exclusion.

20 Q. Sir, if you could turn to tab 2.

21 Do you recognize this email exchange?

22 A. I do.

23 Q. And what is it?

24 A. That is an exchange between myself and DeDe Pickle
25 pertaining to, I believe, an expedited GAPMS.

1 Q. And you said DeDe Pickle. This says Devona. Does Devona
2 go by DeDe?

3 A. Yeah. I knew her as DeDe.

4 Q. Who is she?

5 A. She is, I believe, now the -- I believe she's the head or
6 senior person on the Canadian drug import team. And at the time
7 of this email, she was -- in situations where Ann Dalton, the
8 bureau chief, was unavailable in the office, then DeDe would
9 frequently be put in place to cover for her while Ann was gone.

10 Q. At the time of this email exchange, were both you and
11 Ms. Pickle employees of AHCA?

12 A. We were.

13 Q. Was this written and exchanged while you were performing
14 your role as Government Analyst II, meaning while you were the
15 GAPMS guy?

16 A. I was.

17 Q. When you were employed by AHCA, was it part of your
18 regularly conducted business to write emails to other employees
19 of AHCA about the business and affairs of AHCA, and, in
20 particular, matters within the scope of your employment related
21 to GAPMS?

22 A. It was.

23 Q. And was this email retained as a business record of AHCA?

24 A. Yes.

25 MS. ALTMAN: Your Honor, we would ask that Plaintiffs'

1 Trial Exhibit 30 be moved into evidence.

2 MR. PERKO: No objection, Your Honor.

3 THE COURT: Plaintiffs' 30 is admitted.

4 (PLAINTIFFS EXHIBIT 30: Received in evidence.)

5 BY MS. ALTMAN:

6 Q. Sir, in this email, Ms. Pickle says to you: *Interesting.*
7 *I went back to read the GAPMS rule. It's for requesting*
8 *coverage -- not disputing it.*

9 Did I read that right?

10 A. You did.

11 Q. And Ms. Pickle is telling you -- she's citing to a rule
12 there; is that correct?

13 A. Yes.

14 Q. What rule is that?

15 A. That's the GAPMS rule.

16 Q. And she's indicating that that GAPMS rule provides only for
17 coverage requests, not disputing or excluding coverage; correct?

18 A. Correct.

19 Q. The exhibit we were looking at, the June 2022 GAPMS for
20 gender dysphoria, is that a GAPMS for coverage or a GAPMS to
21 exclude coverage?

22 A. It reads like a document to exclude coverage.

23 Q. And that's not what the GAPMS rule was designed to do,
24 according to Ms. Pickle and yourself; is that correct?

25 A. That's correct.

1 Q. Sir, if you can turn to Tab 3 in your binder.

2 MS. ALTMAN: And, Your Honor, this is a stipulated
3 exhibit, Exhibit 23. Plaintiffs' Exhibit 23.

4 BY MS. ALTMAN:

5 Q. Do you recognize this document, sir?

6 A. I do. That's the GAPMS rule.

7 Q. And that's what Ms. Pickle was discussing with you in the
8 email we were just talking about?

9 A. It is.

10 Q. Now, sir, looking at (4), (4) seems to outline the areas
11 that AHCA must consider when determining whether something meets
12 with Generally Accepted Professional Medical Standards; is that
13 correct?

14 A. It is.

15 Q. When you held the position of Government Analyst II, did
16 you rely on this rule when preparing GAPMS reports?

17 A. It was the foundation for every report.

18 Q. Under the rule, one of the things that AHCA must consider
19 is whether there are evidence-based Clinical Practice
20 Guidelines; is that correct?

21 A. Correct.

22 Q. And did you do that when you held that position?

23 A. I did.

24 Q. And the rule, 59G-1.035, also requires AHCA to consider the
25 effectiveness of the health service in improving the

1 individual's health prognosis or health outcomes; is that right?

2 A. Yes.

3 Q. And AHCA is also required to consider the recommendations
4 of clinical or technical experts in the field; is that right?

5 A. Correct.

6 Q. And these are some of the criteria that AHCA is required to
7 look at as part of the GAPMS process; correct?

8 A. Correct.

9 Q. And, again, the purpose of this rule and the GAPMS rule is
10 to establish coverage and medical necessity; correct?

11 A. Correct.

12 Q. Not to exclude it; correct?

13 A. Correct.

14 Q. Sir, based on your review of the June 2022 GAPMS report on
15 the treatment of gender dysphoria, do you believe AHCA
16 considered the factors outlined in this rule, which is
17 Plaintiffs' Trial Exhibit 23?

18 A. Not adequately.

19 Q. What factors do you believe that AHCA failed to consider?

20 A. I believe they just outright dismissed the evidence-based
21 Clinical Practice Guideline. Quite a bit of the literature
22 that's included and referenced in the work cited is not
23 peer-reviewed scientific literature; it's opinion pieces.

24 There is no inclusion in the report of coverage policies by
25 major insurance companies, which is a standard part of the

1 report.

2 They're dismissive of the effectiveness of the health
3 service in improving health outcomes. And -- yeah -- not well.

4 Q. Are those things that you would have considered if you had
5 been asked to write the GAPMS report on gender dysphoria?

6 A. Those are things that my duties and my job required to me
7 to consider.

8 Q. And the rule requires; correct?

9 A. Correct.

10 Q. Are there any examples of evidence-based Clinical Practice
11 Guidelines that AHCA disregarded?

12 A. Sure. You know, everything from the Endocrine Society to
13 the American Academy of Pediatrics, the American Psychological
14 Association, and there are a host of them, actually.

15 Q. Okay. Can you describe for the Court the normal timing of
16 the process for the preparations of a GAPMS memo? So, by
17 example, how long would you normally take to research and draft
18 a report from the point at which a request comes in?

19 A. It can vary depending on the topic and the context of when
20 the request is received. I was generally working on about a
21 six-to-eight-month turnaround time. But I inherited a very
22 large queue when I started, so it was a lot of backtracking to
23 begin with.

24 Q. And just to make sure I understood you, six to eight months
25 just for the drafting process; is that right?

1 A. The research and drafting.

2 Q. Sir, can you look at (4) and just briefly talk through for
3 the Court's edification what you would look at for subparts (a),
4 (b), (c), (d), (e) and (f). What kinds of evidence and
5 information you would look at and just identify the subpart and
6 then some examples for the Court?

7 A. Well, for instance, with evidence-based Clinical Practice
8 Guidelines, if I was reviewing a treatment for wound healing,
9 there are organizations like the Wound Healing Society and other
10 professional organizations, that have released Clinical Practice
11 Guidelines for the treatment of those types of wounds that might
12 be considered in the report.

13 The published reports and articles and the authoritative
14 medical and scientific literature published in peer-reviewed
15 scientific literature, it's -- the way we regard it, if it
16 wasn't peer-reviewed, it was opinion and opinion didn't go in
17 the reports.

18 Utilization trends; we would look at, you know, how many
19 patients have a particular diagnosis or, you know, that would
20 obviously factor into a cost analysis if we were to add the
21 service for coverage.

22 Coverage policies by other credible insurance payor
23 sources; we look at what other state Medicaid programs cover.
24 And we also look at what the major insurance companies cover.

25 And then recommendations or assessments by clinical or

1 technical experts on the subject or field; that could be a
2 subject matter expert that I consult within AHCA, or it could be
3 something like maybe a well-respected researcher or related
4 person to the subject, to the topic, who maybe has written -- is
5 engaged in like a journal letter discussion or something like
6 that. But we didn't typically hire people from outside the
7 agency.

8 Q. A couple of follow-up questions.

9 On subsection (f), recommendations or assessments by
10 clinical or technical experts that you were just discussing,
11 would you rely on a practitioner that doesn't treat the area of
12 medicine that was at issue? So, by example, in the area of
13 gender dysphoria, would you rely on or would they be considered
14 subject matter experts if they didn't practice in that area or
15 treat patients of that nature?

16 A. I don't believe it would occur to me to do so.

17 Q. And under -- I think you skipped subsection (c).

18 A. Yes. The effectiveness of the health service; you know, is
19 there a -- does this treatment produce an improvement? And
20 that's -- that's essential to coverage.

21 Q. Did AHCA consider these factors in issuing the June 2022
22 GAPMS report?

23 A. It's a mixed bag. I would describe it as inconsistent. So
24 no.

25 Q. Did AHCA, while you were the GAPMS guy, hire outside

1 consultants for this process?

2 A. No.

3 Q. Did AHCA ever contract with non-AHCA employees to write
4 assessments in support of a GAPMS report while you held that
5 position?

6 A. No.

7 Q. Did AHCA ever pay experts to consult with you and give you
8 a list of sources?

9 A. Absolutely not.

10 Q. Sir, if you can turn to Tab 4.

11 MS. ALTMAN: For the Court, it's Plaintiffs' Trial
12 Exhibit 238. And I believe this is stipulated, Your Honor.

13 BY MS. ALTMAN:

14 Q. Can you identify this document, sir?

15 A. That's the GAPMS checklist.

16 Q. What is this?

17 A. That's a required element of the position. When a request
18 comes in, as stipulated in the requirements for the position,
19 the job description, whenever a request would come in, I would
20 have five days to have -- to apply this to the request and then
21 to review it with my supervisor.

22 Q. Did this document exist before you worked at AHCA?

23 A. Not that I'm aware of. My -- my initial supervisor,
24 Christina Vracar, and I made this.

25 Q. You made it together, yourself with your supervisor; is

1 that correct?

2 A. Yes.

3 Q. Why was it prepared?

4 A. Well, for a couple of reasons. One, as I said, when I
5 started the position there were anywhere from 40 to 50 requests
6 that had come in, most of which had not even been looked at.

7 What we started to understand when we were looking through
8 the queue was that some of those weren't actual GAPMS requests;
9 they were other types of coverage requests. And so we fashioned
10 this checklist to weed out -- both to weed out non-GAPMS
11 assignments in the queue and also to apply to all the requests,
12 new requests, that came in going forward so that we made sure --
13 to make things more efficient and to get things into the GAPMS
14 process, when they belong there, as quickly as possible.

15 Q. Did you utilize this checklist when you issued GAPMS
16 reports?

17 A. I had to.

18 Q. Was this part of the basis on which your annual reviews
19 would be conducted? Were you sort of graded, for lack of a
20 better word, on how well and how often you use this document?

21 A. I was. It's stipulated five days from the request.

22 Q. Was another thing that you did when you came on as the
23 GAPMS guy -- was part of what you understood AHCA required was
24 to shorten the actual reports?

25 A. Initially they were -- they were traditional long-form

1 reports, the ones I wrote. And then -- I kind of outran the
2 coverage, so to speak, and there were more reports than
3 management was able to -- basically I was told we were moving to
4 the short-form reports in order to provide shorter documents for
5 management to read in the hopes of speeding up the process.

6 So I took long-form reports and condensed them down into,
7 quote/unquote, short-form reports.

8 Q. How long were those short-form reports supposed to be?

9 A. They were supposed to be four pages, but a page and a half
10 of that four pages is nonnegotiable template. So what they
11 ended up getting were -- primarily were six- to
12 seven-page reports.

13 Q. And the June 2022 GAPMS report doesn't comport with the
14 short-form report; correct?

15 A. That's a 45-page report that was written at a time when I
16 was being asked to submit six- to seven-page reports.

17 Q. Are there multiple checklists used in connection with
18 preparing GAPMS reports?

19 A. There are not.

20 Q. If someone said that there were, would that be truthful?

21 A. No.

22 Q. If you could look at the top of the checklist and explain
23 to the Court -- and I'll read the language I'm referring to at
24 the very top of the document. And it says: *If any item on the*
25 *list is yes, discuss with your manager for the potential to move*

1 *towards a coverage determination (decision point) instead of a*
2 *GAPMS report.*

3 Did I read that right?

4 A. You did.

5 Q. What does that mean?

6 A. It's basically -- one of the purposes, as I said, of this
7 checklist is to weed out the requests that come in. Some of
8 them are not actual GAPMS reports, but they are just more
9 simplified coverage determination reports, which we referred to
10 as decision points. So we would run the request through this
11 checklist, and depending on what and how many of these things
12 they checked off, it was highly likely that it would not be a
13 GAPMS report.

14 Q. So, by example, sir, if you look at No. 5, it says: *Does*
15 *any Medicaid state cover the service?*

16 If the answer was yes, what would you do?

17 A. Well, that would be -- you know, it can vary. If it's a
18 situation where, you know, I look it up and I find four states
19 that cover, then that's -- that's something. If I find, you
20 know, 40 states or, you know, a whole lot of states that cover
21 something, then that's going to be a big feather in the cap for
22 the requester, and that will be a clue that perhaps this isn't
23 considered experimental/investigational.

24 Q. And the same for No. 6, would it be the same analysis:
25 Does any private insurance cover the service?

1 A. Yes.

2 Q. And what about No. 7: Does the agency cover a similar
3 device, service, or product?

4 A. Yes.

5 Q. The same analysis? So, by example, if AHCA already covered
6 the procedure or service or treatment at issue -- if they were
7 already covering it, what would you do?

8 A. If AHCA already covered the service, then it wouldn't be a
9 GAPMS. It would just go back -- the requester would be notified
10 that, Hey, this is on our fee schedule. This is what we pay for
11 it, and that's that, and move on to the next project.

12 Q. So there would be no need for a GAPMS report?

13 A. No.

14 Q. To your knowledge, did Medicaid cover puberty-suppressing
15 medications prior to the June 2022 GAPMS report?

16 A. Yes.

17 Q. To your knowledge, were puberty-suppressing medications
18 covered for the treatment of gender dysphoria prior to the
19 issuance of the June 2022 GAPMS report?

20 A. Yes.

21 Q. To your knowledge, did Medicaid cover cross-sex hormones
22 prior to the June 2022 GAPMS report?

23 A. Yes.

24 Q. To your knowledge, were cross-sex hormones covered for the
25 treatment of gender dysphoria prior to the issuance of the

1 June 2nd, 2022, GAPMS report?

2 A. Yes.

3 Q. And the same question, sir: To your knowledge, was
4 gender-affirming medical care covered by Medicaid prior to the
5 June 2022 GAPMS report?

6 A. Yes.

7 Q. And to your knowledge, were gender-affirming care --
8 surgeries, rather, covered for the treatment of gender dysphoria
9 prior to the issuance of the June 2022 GAPMS report?

10 A. Yes.

11 Q. So since the three subject matters that are covered in the
12 June 2022 GAPMS report -- puberty-suppressing medications,
13 gender-affirming surgeries, and cross-sex hormones -- were
14 already being covered by the agency, would a GAPMS report have
15 been issued?

16 A. No. I would have completed the checklist, would have
17 determined that it was already on the fee schedule, would have
18 gone and spoken to my supervisor. And traditionally, because
19 this has happened before, we would have got requests for things
20 we already covered; we would just reach out to them, give them
21 the billing code, the price that Florida Medicaid pays for it,
22 and then we would move on to the next project.

23 Q. Now, sir, we were talking about the GAPMS report that's
24 behind Tab 1, if you want to look at it.

25 If I understood your testimony, because all of these things

1 were covered, the report that's behind Tab 1 would not have been
2 issued; correct?

3 A. Correct.

4 Q. And there would have been no need for it, correct, because
5 all of these services were already being covered?

6 A. Correct.

7 Q. And was there actually a GAPMS report for
8 puberty-suppressing medications already in existence before this
9 report was issued?

10 A. I believe so, yes.

11 Q. And that's true for gender dysphoria; correct? There was a
12 GAPMS report already issued for puberty-suppressing medications
13 in 2016 --

14 A. Yes.

15 Q. -- for gender dysphoria?

16 A. Prior to my approval with AHCA; correct.

17 Q. Why would AHCA have issued another one then?

18 A. It's highly unusual. We don't typically re-review things.

19 Let me fix that. It is -- I've never seen an example where
20 it was something that we had decided to cover through GAPMS and
21 then later did another GAPMS to not cover it. That doesn't
22 exist.

23 What does happen quite often is that a request comes in; we
24 write the report; the report is denial of coverage for a whole
25 host of different reasons, and then the requester accepts that

1 and then just turns around and reapplies for coverage again.

2 Q. Do you know why that was done here?

3 A. I do not.

4 Q. Now, the June 2, 2022 GAPMS report covers multiple
5 treatments or procedures; correct?

6 A. Correct.

7 Q. Puberty-suppressing medications, cross-sex hormones, and
8 gender-affirming surgeries; correct?

9 A. Correct.

10 Q. Is that typical to have a GAPMS report that covers multiple
11 areas, multiple treatments, or multiple services?

12 A. Not at all.

13 I was told very, very specifically, one treatment, one
14 GAPMS.

15 Q. While you were the GAPMS guy, was there anytime where you
16 wrote a GAPMS report that covered multiple treatments,
17 surgeries, or procedures?

18 A. No.

19 Q. And if I understood you earlier, the request for this GAPMS
20 report did not come through traditional -- traditional channels;
21 correct?

22 A. Correct.

23 Q. It came from the Governor; is that correct?

24 A. Yes.

25 Q. Do you know why you were not asked to prepare this report?

1 A. I only know what I was told by my supervisor.

2 Q. What were you told?

3 MR. PERKO: Objection, hearsay.

4 THE COURT: Overruled.

5 THE WITNESS: Jessie Bottcher explained to me that he
6 was in a meeting with the bureau chief, and Jason Weida came in
7 and inquired with Jessie if I would be willing to write the
8 report. Jessie said I would not be willing to write the report.
9 And Jessie and Ann recommended that Matt write the report,
10 because Jessie said that he told Jason that Matt would complete
11 any assignment that he was given.

12 BY MS. ALTMAN:

13 Q. You said Jason Weida came in; is that correct?

14 A. To the meeting with Ann and Jessie.

15 Q. Who is Jason Weida?

16 A. He's now the secretary of the agency.

17 Q. Was he at the time?

18 A. No. He was Medicaid director of policy and quality or
19 something along those lines, I believe, at the time.

20 Q. And the other two people in the meeting, one was Ann
21 Dalton?

22 A. The bureau chief. And the other was my direct supervisor,
23 Jessie Bottcher.

24 Q. Did you at some point learn that this report was being
25 prepared?

1 A. I did.

2 Q. How did you learn about that?

3 A. A member of the Canadian import drug team, Nai Chen,
4 informed me that the project was underway.

5 Q. Was Nai Chen also working on the project?

6 A. That's my understanding.

7 Q. With Matt Brackett?

8 A. Yes.

9 Q. And do you -- other than what you just testified, that
10 Jessie Bottcher told you as to why Matt Brackett was chosen, do
11 you have any other understanding as to why Matt Brackett was
12 chosen to write this report since he wasn't in the GAPMS
13 department?

14 A. Only what Jessie told me. And I know that prior to my
15 arrival at AHCA Matt had been responsible for the GAPMS process
16 for a period of time.

17 Q. What was your reaction when you learned that Matt Brackett
18 was asked to and was preparing this GAPMS report?

19 A. The project was explained to me, and as I understood it, I
20 was actually concerned for him.

21 Q. Why?

22 A. Well, you know, when you are given an assignment like that
23 coming from someplace like that, there's a lot of pressure to
24 perform and to comply with what the assignment is made to be.

25 Q. What do you mean by that, what the assignment -- you mean

1 to reach a specific conclusion?

2 A. That, and, you know, Matt has a background in academics,
3 and if he had wanted to continue to write or publish or anything
4 like that going forward, I was concerned that, you know, his
5 involvement in this process might do damage to that down the
6 road.

7 Q. Now, you mentioned earlier that typically it would take six
8 to eight months to prepare the research, the report itself.
9 This report, according to testimony -- and I'd like you to
10 assume that this is what's been testified, that they started on
11 April 20th of 2022, and it was issued by June 2nd of 2022. Is
12 that typical?

13 A. No.

14 Q. Is it unusual?

15 A. Highly.

16 Q. Would you be able to write a thorough, comprehensive report
17 in that period of time following the rule?

18 A. I was never blessed with the assistance of experts.

19 Q. Well, the -- I'm assuming you are referring to the
20 assessments that were attached to the report; is that correct?

21 A. Uh-huh.

22 Q. Do any of those experts, for lack of a better word,
23 actually treat individuals with gender dysphoria?

24 A. Not that I'm aware of.

25 Q. Would you have reached out to treaters in an area --

1 individuals who don't treat people in the specific area that you
2 were analyzing?

3 A. I mean, really, I was a one-man gang, so I wouldn't have
4 reached out to anybody. I would have just sat down and
5 researched and written the report.

6 Q. Well, for example, if you are looking at a pharmaceutical,
7 do you have resources within the agency?

8 A. I could go and speak to the pharmacy team about that. Or
9 if it were a piece of durable medical equipment, I could go and
10 speak to the durable medical folks at AHCA.

11 Q. And what else would you do in order to thoroughly research
12 and analyze whatever subject you were looking at?

13 A. Extensive, extensive literature reviews. You know, you
14 scour -- research is half the job. So it's just going out and
15 knowing where to find high-quality research and what the best
16 available resources are for the subject matter that you're
17 considering.

18 Q. Are news articles research that you would have relied on?

19 A. No.

20 Q. Are news articles something that's included within the
21 June 2022 GAPMS report as a source?

22 A. There's probably about a half a dozen of them, yes.

23 Q. Did anyone ask you whether or not you had the time to
24 evaluate the three procedures or treatments outlined in the
25 June 2022 GAPMS report?

1 A. No.

2 Q. Sir, can you look at what's behind Tab No. 5?

3 MS. ALTMAN: And for the Court and Counsel, it's
4 plaintiffs' trial Exhibit 302.

5 BY MS. ALTMAN:

6 Q. We can start at the back if you want. And it starts with
7 an email from Dr. Cogle.

8 Who is Dr. Cogle?

9 A. The chief medical officer of Florida Medicaid.

10 Q. And this was an email exchange between yourself and
11 Dr. Cogle; correct?

12 A. Correct.

13 Q. And it looks like the initial email came from Dr. Cogle on
14 June 25th, 2022; is that right?

15 A. Yes.

16 Q. And that's about two -- two to three weeks after the GAPMS
17 report was issued that we're discussing about earlier?

18 A. It is.

19 Q. And it says: *Hello, Jeff. Good talking with you this past*
20 *Friday. Are there standard operating procedures --*

21 MR. PERKO: Objection, Your Honor. She's reading
22 hearsay into the record.

23 THE COURT: I overruled the earlier hearsay objection
24 under 801(d)(2)(D). Why isn't the same thing true here? This
25 is a statement by a party opponent, is it not?

1 MR. PERKO: It hasn't been established it was within
2 the scope of his duties.

3 MS. ALTMAN: I'm happy to --

4 THE COURT: I can read it and tell it's within the
5 scope of his duty, can't I?

6 MR. PERKO: Your Honor --

7 THE COURT: Can you stand up when you are speaking?

8 MR. PERKO: I'm sorry, your Honor.

9 It wasn't part of his responsibilities at the time.

10 THE COURT: Let me make two statements about it.

11 First, I overrule the objection. I think on the face
12 of it it indicates that it is within the scope of his duties.

13 Second, when you have to run away from the statements
14 that your own medical director made, you -- when we get to
15 closing argument, you need to explain why it is that I should
16 ignore what your medical director said. That's a heads-up for
17 closing.

18 Just when you have to run away from what your own
19 people say, you ought to be concerned about how you are going to
20 explain it.

21 I think it's admissible.

22 MR. PERKO: Yes, Your Honor.

23 And I apologize for not standing up. I meant no
24 offense.

25 THE COURT: Well, I certainly take no offense.

1 As you've all figured out, I'm a dinosaur. I pretty
2 much lost this battle in the judiciary. I still make people
3 stand up. So -- but there aren't many of us left, and I take no
4 offense.

5 BY MS. ALTMAN:

6 Q. Mr. English, at the time of this email exchange, you were
7 still the GAPMS guy; right?

8 A. I was.

9 Q. Okay. So Dr. Cogle is asking you whether or not there are
10 standard operating procedures for GAPMS; correct?

11 A. Correct.

12 Q. And if you turn back to the first page and then the next
13 two pages, you give him a very lengthy explanation as to what
14 the standards are; is that right?

15 A. I do.

16 Q. And that's what you've testified here today to the Court
17 contemporaneously to Dr. Cogle back in June of 2022?

18 A. Correct.

19 Q. And in June of 2022, you went through a lengthy process --
20 and feel free to look at the email to see if you left anything
21 out. But would you agree with me that the process you described
22 to the Court today is akin to one you described to Dr. Cogle in
23 your email on June 27th, 2022, at 2:30 p.m.?

24 A. It is.

25 Q. And I note just in the very first sentence, after you say,

1 "Good afternoon, Dr. Cogle," there is an SOP for GAPMS.

2 Did I read that right?

3 A. Yes.

4 Q. And SOP is short for standard operating procedure?

5 A. Correct.

6 Q. And you go on to say: *Typically the requests for*
7 *consideration of coverage come in through a health service*
8 *research email or from leadership (less often); correct?*

9 A. Correct.

10 Q. That's consistent with what we discussed earlier, that
11 these are determinations of coverage, not to try and exclude
12 coverage?

13 A. They're seeking coverage.

14 Q. Okay. And you go on in the next paragraph -- and I'm
15 certainly not going to make you read this entire document single
16 spaced. But you go on to talk about the checklist.

17 A. Yes.

18 Q. And you say it's attached. It says: *The request gets run*
19 *through the attached checklist, and once it is determined to be*
20 *an actual GAPMS (rather than a decision point or 'simple'*
21 *determination) I reach out to the requester and schedule a time*
22 *to gently walk through the process.*

23 Did I read that right?

24 A. Correct.

25 Q. And I just want to confirm, is the checklist that you are

1 referring to in this email the one that we spoke about earlier?

2 A. The one I'm required to use.

3 Q. Okay.

4 You then go through a lengthy expression of the process --

5 A. I apologize.

6 Q. -- that you discussed with the Court earlier today.

7 And then you go down -- and I'm going to read from the
8 paragraph that starts with "All of that..." And it says: *All*
9 *of that is the ideal. The reality is that the reviews get done,*
10 *the reports get written, and then they all bottleneck with*
11 *leadership because GAPMS are fairly low on the totem pole of*
12 *priorities, particularly since the pandemic began.*

13 Did I read that correctly?

14 A. Yes.

15 Q. And what were you trying to explain to Dr. Cogle when you
16 said that?

17 A. There were at that point somewhere between five and ten
18 completed reports, all of which had been completed for
19 approximately two years. Something I was having to do with each
20 of those reports -- it had been written, but, you know, it's --
21 a GAPMS report is kind of a snapshot in time, and coverage
22 considerations can change, and the evidence can change.

23 So while I was awaiting those reports that had been written
24 to be reviewed, I was having to periodically go through and
25 update the coverage considerations and things; maybe another

1 major insurance company had added coverage or some additional
2 states had added coverage. Everything had to be current for
3 when it was presented to leadership.

4 Prior to the pandemic and when I was hired for the job, it
5 was explained to me that the GAPMS aren't always a priority, and
6 so you really have to try and stay on top of people to get them
7 to route. When the pandemic started, not just GAPMS, a whole
8 lot of things became less priority, and understandably so in
9 some cases. So I kept a grease board in my office with a list
10 of the completed reports as kind of an effort to shame people
11 into taking a look at them so that we could reach out to the
12 requesters and move forward.

13 Q. And I'm just going to flip down one paragraph, and it
14 says -- because you mentioned five or six. I think you were
15 short one. It says: *I believe there are currently about seven*
16 *completed that are still awaiting review and approval from*
17 *leadership. Some of them have been written for over two years.*
18 *I have re-reviewed them and made any necessary updates*
19 *concerning coverage, research, etc. I typically do that twice a*
20 *year.*

21 A. Yes.

22 Q. And is that what you were just explaining to the Court?

23 A. Precisely.

24 Q. And so if there was approximately seven -- when you say
25 they were two years, meaning they had been drafted and ready to

1 be signed for two years?

2 A. Yes.

3 Q. So would it be unusual if a GAPMS report was --

4 A. Well, I mean, something else -- to be fair, something else
5 to consider was that there was a great amount of turnover at the
6 agency, and so -- you know, I had multiple supervisors. We had
7 multiple bureau chiefs. We had multiple secretaries. We had
8 multiple Medicaid directors. And the bureau chief -- my
9 supervisor, the bureau chief, and the Medicaid director are the
10 three spots on my routing form that I need to get the report to.

11 And there's a big learning curve whenever anyone moves into
12 a new position, and I don't think GAPMS was, quote/unquote, sexy
13 enough for that to be an immediate priority when someone is
14 trying to, you know, acquire the skills and the experience in
15 their new positions.

16 Q. But in June of 2022 when the gender dysphoria GAPMS report
17 was completed, there were seven in queue that needed to be
18 finalized; correct?

19 A. Correct.

20 Q. And was the typical practice to go in the order in which
21 they were received?

22 A. Ideally.

23 Q. Well, would it be unusual that a GAPMS report was completed
24 and then signed and executed the next day, as was the case with
25 the -- Plaintiffs' Exhibit 18, the gender dysphoria GAPMS

1 report?

2 A. Yes.

3 Q. Is that unusual?

4 A. Yes. I mean, there's not a lot of, quote/unquote, queue
5 jumping, so to speak, with the reports. It might be a situation
6 where hypothetically, you know, one topic is No. 4 in the queue
7 and another topic is No. 6, but maybe we're waiting on the
8 results of a clinical trial to finish on No. 4. So I can't go
9 further with that report, so I'll go down to the next one. So
10 there is some out of order, but not typically.

11 Q. The gender dysphoria GAPMS report, that was written in just
12 over a month and signed the very next day.

13 A. That entire project was conceived, completed in an
14 extremely accelerated time frame.

15 Q. Did it follow the GAPMS process, the standard operating
16 procedures that you outlined to Dr. Cogle?

17 A. No.

18 Q. And I want to go to the last paragraph on the second page.

19 THE COURT: Tell me where we stand. We do need to get
20 to a break here at some point. Are you --

21 MS. ALTMAN: We can break. That's fine. I only have
22 a --

23 THE COURT: Now is as good as any?

24 MS. ALTMAN: Yeah.

25 THE COURT: Let's take 15 minutes, and we'll start

1 back at ten till 4:00.

2 (Recess taken at 3:34 PM.)

3 (Resumed at 3:50 PM.)

4 THE COURT: Please be seated.

5 Mr. English, you are still oath.

6 And, Ms. Altman, you may proceed.

7 MS. ALTMAN: Thank you, Your Honor.

8 BY MS. ALTMAN:

9 Q. Just a few more question, Mr. English.

10 Earlier you mentioned a conversation with Jesse Bottcher
11 where he told you -- he discussed with you the meeting that he
12 attended with Jason Weida, Ms. Dalton, and himself where he
13 indicated that you would not write the GAPMS report that was
14 being requested.

15 Do you recall that testimony?

16 A. I do.

17 Q. Did he say why he told them you would not write the report?

18 A. Yes. He did not think that I would be willing to write it.
19 His perception of it was that it was either predetermined or
20 political, and he said that he did not want to supervise the
21 person who did write the report either.

22 Q. So I have one final question on your email to Dr. Cogle,
23 and if you could turn to the second page at the bottom, and I'm
24 just going to read something and ask you what you meant by that.

25 A. Okay.

1 Q. Starting at the last -- at the bottom of the page, it says:
2 *If you will excuse me, I feel obligated to include this*
3 *information: I was not informed or consulted, did not in any*
4 *way participate, and did not write the GAPMS concerning gender*
5 *dysphoria treatment. That particular GAPMS did not come through*
6 *the traditional channels and was not handled through the*
7 *traditional GAPMS process. Every report I have written*
8 *represents my best effort at determining the most timely and*
9 *accurate information available on the subject under*
10 *consideration. I do not cherry-pick data or studies and would*
11 *never agree to if I were so asked. All I can say about that*
12 *report, as I have read it, is that it does not present an honest*
13 *and accurate assessment of the status of the current evidence*
14 *and practice guidelines as I understand them to be in the*
15 *existing literature. I sincerely apologize if I come across as*
16 *a bit agitated about it, but as the 'GAPMS guy' around here,*
17 *lots of assumptions have been made by those who do not know me*
18 *well. I'm a different sort of person than the author of that*
19 *report. I can't speak for them. I conduct myself and my work*
20 *with integrity, and I do not play favorites, yay or nay. Full*
21 *stop, period.*

22 What did you mean by that?

23 A. Dr. Cogle is someone that I have a lot of respect for. He
24 is -- he has very extensive knowledge of research and the type
25 of work that I was doing. My interpretation of his initial

1 inquiry to me regarding a standard operating procedure for GAPMS
2 was that he had looked at the June 2nd report and did not
3 believe that it -- and this is me. My impression was that he
4 was asking sort of, you know, like, Jeff, do we have an actual
5 SOP for GAPMS? Because that one was a radical departure from
6 the normal process.

7 And at the same time, shortly before I responded to this
8 email, I had multiple employees that very day ask me about the
9 report and whether or not I had written or participated, and
10 that had been starting to wear on me a little bit.

11 And so it was important to me that Dr. Cogle understand
12 that I have integrity.

13 MS. ALTMAN: I have no further questions.

14 THE COURT: Cross-examine?

15 MS. ALTMAN: Thank you, sir.

16 MR. PERKO: Thank you, Your Honor.

17 CROSS-EXAMINATION

18 BY MR. PERKO:

19 Q. Good morning, Mr. English.

20 A. Good morning.

21 Q. Or afternoon.

22 A. Or afternoon, yeah.

23 Q. You said that the request for the June 2022 GAPMS report
24 came from the Governor?

25 A. Uh-huh.

1 Q. Did you talk with the Governor?

2 A. I did not.

3 Q. Did you talk with anybody in the executive -- in the
4 Executive Office of the Governor?

5 A. I did not.

6 Q. Did you talk to the Secretary about the June 2022 report?

7 A. I did not.

8 Q. And in your three years working at the -- as the GAPMS guy
9 at AHCA, how many times did you meet with the AHCA Secretary?

10 A. Maybe a couple of times.

11 Q. How many times have you met with the Governor's office?

12 A. I have not.

13 Q. Were you involved in any rulemaking while you were at the
14 agency?

15 A. I was.

16 Q. Which one was that?

17 A. You know, it was shortly after I started as the state
18 planning coordinator. Some of them had to do with, I think,
19 reimbursements and some other things that were rules that had to
20 be done in conjunction with some of the state plan movements,
21 but the particulars -- I think one had to do with the iBudget
22 program or something like that, but that wasn't really my area
23 of expertise.

24 MR. PERKO: Can we pull up Plaintiffs' 23, please.

25 It's a copy of the GAPMS rule.

1 We can -- there we go.

2 BY MR. PERKO:

3 Q. In paragraph 3, it says: *Health services that are covered*
4 *under Florida Medicaid program are described in the respective*
5 *coverage and limitations handbooks, policies, and fee schedules,*
6 *which are incorporated by reference in the F.A.C.*

7 Then it goes on to say: *The public may request a health*
8 *service be considered for coverage under the Florida Medicaid*
9 *program by submitting a written request via email to -- and it*
10 *gives the email address.*

11 Now, I see that it says that the public may request, but is
12 there anything that prohibits GAPMS from being initiated by some
13 other means?

14 A. No. I mean, technically, John Doe could send in an email
15 requesting coverage for something. It's typically, like, a
16 manufacturer or provider.

17 Q. You're familiar with the expedited GAPMS process, right?

18 A. I am.

19 Q. And those can get turned around in a matter of days, right?

20 A. They're required to be.

21 Q. I'd like to talk a little about your experience.

22 You worked for AHCA from September 2019 until -- did you
23 say --

24 A. February of this year?

25 Q. -- February of 2023?

1 And from September 2019 to September '22, you were the
2 GAPMS -- you wrote the GAPMS reports?

3 A. I did.

4 Q. Did you have other responsibilities during that time
5 period?

6 A. I did.

7 Q. For most of those three years, you worked from home because
8 of COVID; is that right?

9 A. You know, it all kind of runs together. It's probably --
10 it might be on the side of more months I was home than I was in
11 the office. I'm not, honestly, sure. But there was a stretch
12 where we were all working from home.

13 Q. In those three years, you had one GAPMS report make it all
14 the way to the final approval; is that correct?

15 A. That's correct, one traditional GAPMS.

16 Q. Okay.

17 A. There were multiple expedited.

18 Q. And in those three years, you never supervised anyone, did
19 you?

20 A. I did not. When I was hired, I was told I would be
21 supervising two people, but those hires were never made.

22 MR. PERKO: If we could pull the GAPMS rule up again,
23 Plaintiffs' 23.

24 BY MR. PERKO:

25 Q. I wanted to talk a little bit about your interactions with

1 Dr. Cogle.

2 A. Okay.

3 MR. PERKO: If we could blow that up a little bit.

4 BY MR. PERKO:

5 Q. Is there anything in this GAPMS rule that provides a role
6 for the chief medical officer of the agency?

7 A. Specifically the chief medical officer? No.

8 Q. Yes, sir.

9 A. But I -- in the process, I would have considered him a
10 technical expert or a clinical expert on some of the subjects
11 that were under consideration, and he's a well-established
12 expert on research, publication, and study types, and that sort
13 of thing.

14 Q. Had you ever consulted him before on a GAPMS report?

15 A. I had.

16 Q. How long has Dr. Cogle had his job?

17 A. I couldn't say. You mean at AHCA?

18 Q. Yes, sir.

19 A. I couldn't say exactly. Maybe -- by now maybe a couple
20 years. He started after me, I know that.

21 Q. Are you familiar with Dr. Cogle's responsibilities at the
22 agency?

23 A. I am.

24 Q. And do those include review of GAPMS reports?

25 A. It does not, but he is always available for discussion, and

1 he has participated in some of the meetings regarding GAPMS.

2 Q. You don't know whether every GAPMS report has a checklist,
3 do you? Right?

4 A. I know that every one that I was responsible for was, and I
5 know that every one from the creation of the checklist going
6 forward does.

7 Q. Is the checklist a rule?

8 A. It's required in my annual performance review. It's stated
9 in there that the checklist has to be performed within five days
10 of receipt of the request.

11 Q. It hasn't been adopted as a rule by the agency, has it?

12 A. It's adopted insofar as my annual reviews are concerned,
13 and I'm graded on that, literally.

14 Q. But my question is: It has not been adopted as an agency
15 rule, correct?

16 A. I'm not sure what that means.

17 Q. You've been involved in the rulemaking process before?

18 A. Well, the rulemaking process and what pertains to employee
19 behavior are two different things.

20 Q. Right. So has the checklist been adopted as a rule?

21 A. No. It's just internally part of the process that I was
22 required to perform.

23 Q. Do you recall a draft GAPMS report that you prepared
24 regarding total knee arthroplasty?

25 A. I sure do.

Redirect Examination - Mr. English

1 Q. And isn't it true that you took -- you cut and paste from a
2 Blue Cross Blue Shield publication for an entire section of this
3 report?

4 A. I did, and then I cited it.

5 MR. PERKO: Thank you, Your Honor. I have nothing
6 further.

7 THE COURT: Redirect?

8 REDIRECT EXAMINATION

9 BY MS. ALTMAN:

10 Q. I'll be brief, Mr. English.

11 Very quickly, counsel mentioned an expedited GAPMS.

12 A. Uh-huh.

13 Q. Is an expedited GAPMS a public-facing document?

14 A. No, it's an internal document. It's like an internal memo
15 between the health plan and the agency, or Medicaid policy
16 really.

17 Q. So it's not like the GAPMS report that was issued in June
18 of 2022 that was a public-facing document; correct?

19 A. Correct.

20 Q. And so when you're talking about an expedited GAPMS that
21 could be prepared in one or two days or a week, that's not what
22 the June 2022 GAPMS report is; right?

23 A. No. An expedited GAPMS comes in -- it's when a health plan
24 is denying coverage for something as, quote/unquote,
25 experimental and investigational, and we're required -- we have

1 three days to turn around a response to that, to either confirm
2 or deny their claim for that.

3 And regarding the one that he was specifically speaking to,
4 it wasn't three days; it was approximately seven hours.

5 Q. And just very quickly, have you ever -- because I'm not
6 sure of the implication of counsel. Have you ever plagiarized
7 anything?

8 A. No. And, I mean, plagiarism is, I guess, utilizing someone
9 else's words or ideas and trying to pass them off as your own.

10 But the final copy of that expedited GAPMS report that was
11 sent for routing -- it was emailed to Ann Dalton for Tom's
12 signature -- included citations. There was no plagiarism.

13 Q. And I know you mentioned that the checklist was part of
14 your performance reviews. Do you recall that?

15 A. Yes.

16 Q. Did you get good performance reviews while you at AHCA?

17 A. I believe so. I was the only -- I'm the only employee in
18 Medicaid policy for whom GAPMS was a portion of my annual
19 performance review. I routinely scored very highly. I believe
20 on the last one I got a 5 of 5, and they don't typically like to
21 give out 5s. It's normal you'll get a 4 or a 4.5. And I
22 believe the comment actually referenced both my performance on
23 the traditional GAPMS and praised for my performance on the
24 expedited GAPMS.

25 MS. ALTMAN: I have no further questions.

Redirect Examination - Mr. English

1 Thank you for your time, Mr. English.

2 THE COURT: Mr. English, you've told us about your
3 work at AHCA. Give me some background before that. What did --
4 give me the 30-second version of your career up until the time
5 you came to work for AHCA.

6 THE WITNESS: History major. I love research and
7 writing. I did about ten years in child welfare. I wrote
8 reports and performance reports and things like that. In my
9 spare time, I research and write about baseball history, and I
10 accepted the job at AHCA because I love the research and
11 writing. It's my hobby and what I prefer to do for a living.

12 THE COURT: If I understood what you told Mr. Perko,
13 there was one GAPMS report that made it all the way to the
14 end --

15 THE WITNESS: Yes.

16 THE COURT: -- during your tenure?

17 THE WITNESS: Correct.

18 THE COURT: Were there other full reports that you
19 prepared that you got into the queue for approval?

20 THE WITNESS: There were approximately seven that were
21 ready to go, and we had -- we had a handful of meetings with the
22 bureau chief. I was in this unique situation where between the
23 pandemic and then turnover among the leadership at the agency, I
24 was -- you know, those reports stretched across multiple bureau
25 chiefs, multiple Medicaid directors, and multiple supervisors.

1 So when someone new came in, they had to catch up.

2 THE COURT: But there were seven reports that you were
3 responsible for preparing and then got sent up?

4 THE WITNESS: (Nods head up and down.)

5 THE COURT: Okay. There was some reference to Let
6 kids be kids. Do you know where that came from?

7 THE WITNESS: That was a motto that was -- came along
8 with the gender dysphoria GAPMS. It was something that was --
9 we had never had a GAPMS report that came with its own motto,
10 but that was --

11 THE COURT: You don't know where it came from?

12 THE WITNESS: I do not.

13 THE COURT: Questions just to follow up on my
14 questions?

15 MR. PERKO: No, Your Honor.

16 MS. ALTMAN: Nothing from me, Your Honor.

17 THE COURT: Thank you, Mr. English. You may step
18 down.

19 (Mr. English exited the courtroom.)

20 THE COURT: Please call your next witness.

21 MR. GONZALEZ-PAGAN: Thank you, Your Honor. Ms. Dunn
22 is going to call our next witness.

23 MS. DUNN: Your Honor, I call Dr. Kellan Baker to the
24 stand.

25 (Dr. Baker entered the courtroom.)

1 THE COURTROOM DEPUTY: Please remain standing and
2 raise your right hand.

3 **DR KELLAN BAKER, PLAINTIFFS WITNESS, DULY SWORN**

4 THE COURTROOM DEPUTY: Please be seated.

5 Please state your full name and spell your last name
6 for the record, including your first name.

7 THE WITNESS: Kellan Baker, K-e-l-l-a-n B-a-k-e-r.

8 DIRECT EXAMINATION

9 BY MS. DUNN:

10 Q. Good morning -- or good afternoon, Dr. Baker.

11 What is your current profession?

12 A. I am a health services researcher and health policy
13 professional.

14 Q. And when you submitted your expert report in this case, you
15 submitted a copy of your CV?

16 A. I did.

17 Q. Does that CV accurately reflect your professional
18 qualifications?

19 A. It does.

20 MS. DUNN: Your Honor, that curriculum vitae is
21 Plaintiffs' Exhibit 363 and was included on the parties'
22 stipulated exhibit list.

23 THE COURT: Plaintiffs' 363 is admitted.

24 BY MS. DUNN:

25 Q. Dr. Baker, have you ever testified as an expert before?

1 A. Not in court.

2 Q. What are you being compensated for your time spent on this
3 case?

4 A. I'm being compensated at a rate of \$200 per hour.

5 Q. Does this compensation affect your opinions or testimony in
6 any way?

7 A. It does not.

8 MS. DUNN: Your Honor, I ask that Dr. Baker be
9 qualified as an expert on health services research and policy.

10 THE COURT: Questions at this time?

11 MR. BEATO: No, Your Honor.

12 THE COURT: You may proceed.

13 BY MS. DUNN:

14 Q. Dr. Baker, what health policy topics does your research
15 focus on?

16 A. My research focuses on health insurance coverage, cost
17 utilization, with a particular focus on sexual and gender
18 minority populations, with a focus on transgender populations.

19 Q. And do you conduct any research on insurance coverage
20 policies for certain populations?

21 A. I do.

22 Q. What populations are those?

23 A. I do a variety of research related to health equity and
24 health disparities, but with a particular focus on sexual and
25 gender minority populations, and especially transgender

1 populations.

2 Q. What is your current position of employment?

3 A. I'm currently the executive director and chief learning
4 officer of Whitman-Walker Institute. The Institute is the
5 research policy and education arm of Whitman-Walker, which is a
6 community health system in Washington, D.C. that is affiliated
7 with a federally qualified health center. That health center
8 turned 50 this year and has a history of serving LGBTQ
9 populations and people living with HIV.

10 Q. What is a federally qualified health center?

11 A. A federally qualified health center is a recipient of
12 federal funds through the Health Resources and Services
13 Administration that is intended to make it possible for the
14 clinic, the health center, to serve all patients who need
15 assistance, regardless of their ability to pay.

16 Q. What did you do before becoming executive director and
17 chief learning officer of Whitman-Walker Institute?

18 A. I was previously at the Johns Hopkins Bloomberg School of
19 Health where I worked in research. And before that I was a
20 senior fellow at a think tank, the Center for American Progress,
21 in Washington, D.C., where my work focused on health reform with
22 a particular focus on the Affordable Care Act and coverage
23 reforms that were associated with the law.

24 Q. What is a chief learning officer?

25 A. A chief learning officer is a person who is responsible for

1 coordinating educational and training opportunities across the
2 entire organization. We provide, for example, clinical training
3 to health professionals. We also do a variety of training and
4 education activities with local community-based organizations
5 with the D.C. Department of Health, with other community-based
6 nonprofit and government entities across the country.

7 Q. How does your role at the Whitman-Walker Institute touch on
8 health policy issues?

9 A. I am the executive director of the Institute which is
10 specifically charged with three portfolios; research, policy,
11 and education.

12 So policy is probably my primary role at this point. We
13 have a very large research department that has a variety of
14 research studies funded by the National Institutes of Health.
15 And within our policy department we do a great deal of work at
16 the local, regional, and federal levels related to access to
17 care and issues of understanding barriers to good health for
18 populations experiencing health disparities.

19 Q. And do you have any oversight over the clinicians or
20 clinical management for quality assessment and practice
21 assessment?

22 A. I do not.

23 Q. Do you work with clinicians on these issues?

24 A. Very closely.

25 Q. Can you explain that?

1 A. Whitman-Walker is a community health system, so it has
2 several components, if you will. The federally qualified
3 health --

4 (Reporter requested clarification.)

5 A. Federally qualified health center, and that is where the
6 clinicians reside. It's also where our population health and
7 quality department is responsible for conducting quality
8 assurance and quality improvement initiatives per HRSA
9 guidelines.

10 The Institute as an affiliation of Whitman-Walker Health is
11 responsible for leveraging research policy, education, and that
12 clinical experience and expertise to improve care for our
13 patients and to contribute to the knowledge base for serving
14 patients, both at FQHCs and across the entire health system.

15 Q. And have you been called upon to contribute to or consult
16 on reports regarding LGBTQ populations and health disparities?

17 A. Yes, many times.

18 Q. Are you familiar with the National Academies of Sciences,
19 Engineering and Medicine?

20 A. I am.

21 Q. What is the National Academies of Sciences, Engineering and
22 Medicine?

23 A. The National Academies of Science, Engineering and Medicine
24 are private, independent nongovernmental institutions that exist
25 to bring scientific authority to questions of national

1 importance. They often take requests, for example, from the
2 National Institutes of Health or other government entities to
3 apply an independent expert, unbiased and authoritative
4 assessment of a particular question.

5 Q. And have you worked with the National Academies of
6 Sciences, Engineering and Medicine on issues related to sexual
7 and gender minority populations?

8 A. Yes.

9 Q. Can you describe those interactions?

10 A. I have a substantial history with the National Academies.
11 I worked with them beginning in 2016 on the development of an
12 NIH-sponsored study -- that has a number of other sponsors as
13 well, but NIH was one of the primary sponsors -- wanting to
14 learn more about the health and well-being of sexual and
15 gender-diverse populations, that is, LGBTQI populations. And I
16 was responsible for all aspects of developing that study,
17 convening the committee. I participated in every aspect of the
18 creation of the report.

19 And I was then the lead on dissemination of that report, so
20 I was responsible for presenting on its findings to a variety of
21 stakeholders, government entities, for example, back to the
22 sponsors, NIH, other government entities, the Department of
23 Justice, for example, and as well as other private and public
24 stakeholders who had an interest in what the report had found.

25 MS. DUNN: Your Honor, I would like to pull up what

1 has been marked as Plaintiffs' Exhibit 142.

2 BY MS. DUNN:

3 Q. Dr. Baker, do you recognize this report?

4 A. I do.

5 Q. What is it?

6 A. It is the 2020 report from the National Academies on
7 understanding the well-being of LGBTQI+ populations.

8 Q. Is this the report that we were just discussing?

9 A. It is.

10 Q. And can you explain your contributions to this report?

11 A. I was a consultant on this report, so I supported the
12 consensus study committee in the elements of the deliberation,
13 the drafting, and finalizing of this report. And then, as I
14 mentioned earlier, was responsible for leading dissemination
15 efforts to ensure that the findings of this report were
16 communicated back to the sponsors and to other interested
17 parties.

18 MS. DUNN: Your Honor, I would like to introduce this
19 exhibit as a learned treaties. And I'll have Dr. Baker read
20 certain portions into the record.

21 THE COURT: A learned treaties is just hearsay.

22 Is there --

23 MR. BEATO: Yes, sir.

24 THE COURT: You object?

25 MR. BEATO: Yes, sir.

1 THE COURT: Isn't that right? Isn't it just hearsay?

2 BY MS. DUNN:

3 Q. Dr. Baker, would you rely on this report in the -- in your
4 professional activities?

5 A. Yes, I do.

6 MS. DUNN: Your Honor, Rule 803, the exception for a
7 learned treatise, where it can be read into the record. May I
8 have Dr. Baker read --

9 THE COURT: You can cross-examine a witness with a
10 learned treatise and read it into the record, but not introduce
11 it. In a bench trial it doesn't make a lot of difference
12 whether you just read it.

13 But I take it you are not cross-examining him saying
14 he's going to testify inconsistently with this. You are trying
15 to introduce this as affirmative evidence.

16 Am I missing something?

17 MS. DUNN: I do not have the text of Rule 803 in front
18 of me. I understood that it allowed it to be read on direct as
19 well.

20 THE COURT: Well, you can impeach your own witness on
21 direct, so it's not a direct cross.

22 MS. DUNN: Understood.

23 THE COURT: But it's to impeach the witness.

24 MS. DUNN: I'll ask a different question.

25 Your Honor, the way the rule reads, that a statement

1 is called to the attention of an expert witness -- I'm sorry --
2 is relied on by the expert on direct examination.

3 THE COURT: Yeah. What is it you are trying to put
4 in?

5 MS. DUNN: I can just ask Dr. Baker to explain the
6 opinion from this report that he helped to -- or that he
7 contributed to.

8 THE COURT: Let me tell you what will help me more, is
9 if you ask him what he knows and he can tell me what he knows.
10 That's why he is here. And if it's something he relies on in
11 accordance with the rule, yeah.

12 But, look, this rule is really not a way to put in a
13 treatise in lieu of a witness.

14 MS. DUNN: Thank you, Your Honor.

15 BY MS. DUNN:

16 Q. Dr. Baker, did this report draw any conclusions that are
17 relevant to the case that you're -- to our case that you are
18 testifying on?

19 A. Yes.

20 Q. And what were those conclusions?

21 A. The report examined a large body of evidence and concluded
22 that gender-affirming care is safe, effective, and medically
23 necessary for the treatment of gender dysphoria.

24 Q. Did the report make any conclusions with regard to whether
25 gender-affirming care improves mental health outcomes for

1 transgender people?

2 A. Yes.

3 Q. What were those conclusions?

4 A. The report concluded that gender-affirming care supports
5 the health, physical and mental health, of transgender people.

6 Q. Did the report make any conclusions about the
7 evidence-based guidelines that clinicians use in providing
8 gender-affirming care?

9 A. Yes.

10 We reviewed those guidelines and we found them to be
11 authoritative. We are very strictly bound within the Academy's
12 process to rely on existing evidence, which means that we looked
13 at the existing evidence, which includes expert standards of
14 care.

15 Q. Are you been involved in efforts to connect low and middle
16 income LGBTQ+ people with health insurance coverage?

17 A. Yes.

18 Q. And what are those efforts that you have been involved in?

19 A. In 2013, I founded a initiative that we called Out2Enroll,
20 and the purpose of that initiative was to connect low and middle
21 income LGBT people with affordable health insurance coverage
22 through the health insurance marketplaces. We had done research
23 that showed that LGBT people, including transgender people --
24 actually, especially transgender people were less likely than
25 the general population to have health insurance coverage. So we

1 treated the Affordable Care Act with its expansion of coverage,
2 and particularly covered subsidies for low and middle income
3 people, as an opportunity to reach those people who were
4 uninsured.

5 Q. Have you held any other positions relevant to health
6 insurance coverage?

7 A. I am currently an appointed consumer representative to the
8 National Association of Insurance Commissioners.

9 Q. Dr. Baker, I'd like to turn to your -- the opinions you've
10 come to share today.

11 What did you review in coming to the opinions that you
12 offer today?

13 A. I reviewed the rule. I reviewed the GAPMS report and the
14 materials cited, discussed therein. I reviewed the scientific
15 literature in my field, which is health insurance coverage,
16 health services research. I reviewed relevant policies related
17 to health insurance coverage. And I reviewed documents such as
18 the National Academies' report.

19 Q. Are these materials the same type of materials that experts
20 in health and public policy regularly rely upon when forming
21 opinions?

22 A. Yes.

23 Q. Dr. Baker, what does it mean to be transgender?

24 A. According to the National Academies, being transgender is
25 when your gender does not align with the sex that you were

1 assigned at birth.

2 Q. And have you done research into the demographics of the
3 transgender population in the United States?

4 A. Yes.

5 Q. How many transgender people are there in the United States?

6 A. There are an estimated 1.6 million transgender people in
7 the United States. That's approximately .6 percent of the
8 population.

9 Q. How have estimates of the number of transgender people in
10 the United States changed over time?

11 A. The estimates have remained stable since -- for example,
12 the 2023 numbers that I'm referring to, .6 percent, when those
13 estimates were done in 2016, the estimate was the same,
14 .6 percent of the population.

15 Q. Since 2016 the number of transgender people in the U.S. has
16 not changed demonstrably, according to the data you reviewed?

17 A. Not according to the data that I reviewed, no.

18 Q. Where do those numbers that you cited to us come from?

19 A. The numbers come from a variety of sources. For example,
20 the 2016 number came from an analysis of the Behavioral Risk
21 Factor Surveillance System, which is a nationwide survey, system
22 of surveys, really, that's done by state departments of health
23 in partnership with the Federal Centers for Disease Control and
24 Prevention. There's also, for example, the Gallup poll, which
25 is a nationwide nationally representative poll that has

1 collected information about LGBT demographics since
2 approximately 2012.

3 So those are the two most reliable numbers.

4 Q. What has your research in transgender health focused on?

5 A. It has focused both on overall health and well-being, as
6 well as on experiences of access to care. My research is really
7 focused on health disparities, which are avoidable gaps in, for
8 example, outcomes, quality, access, that affect specific
9 populations.

10 The National Institutes of Health has designated the
11 transgender population as a health disparity population in
12 recognition of gaps related to the overall health and well-being
13 of that population.

14 Q. Specifically what disparities affect the transgender
15 population?

16 A. There are a variety of disparities that have been
17 documented in the transgender population. For example,
18 transgender people are less likely to report good or excellent
19 health compared to the cisgender population. Transgender people
20 are less likely to have access to health insurance coverage.
21 They are less likely to have access to health care. They are
22 more likely to encounter barriers to care, such as financial
23 barriers, that make it difficult to access health care services.

24 Q. Dr. Baker, I'd like to turn your attention specifically to
25 health care coverage.

1 How long has the medical community been providing treatment
2 for gender dysphoria?

3 A. Internationally speaking, treatment for gender dysphoria
4 has been provided for 100 years -- more than 100 years. In the
5 United States the initial provision of gender-affirming care in
6 relation to gender dysphoria for transgender people was in the
7 1960s.

8 Q. And we've been using the term "gender-affirming care" or
9 "gender-affirming medical care." What do you understand these
10 terms to mean?

11 A. I understand gender-affirming care to encompass services
12 and supports that affirm the gender of a person.

13 Q. And are there specific health services that are being
14 referenced when the term "gender-affirming medical care" is
15 used?

16 A. I generally understand that term to refer to
17 puberty-delaying medications, hormone therapy, and
18 gender-affirming surgeries.

19 Q. In your research regarding insurance coverage of
20 gender-affirming medical care, what types of insurance carriers
21 have you studied?

22 A. I've studied a variety of public and private health
23 insurance carriers.

24 Q. With regard to private insurance, what are the major ways
25 that private health care coverage is regulated in the U.S.?

1 A. In the United States we have both state regulation of
2 insurance coverage as well as federal regulation.

3 Q. And describe to me what types of insurance policies or
4 plans are state regulated.

5 A. The states are the traditional regulators of insurance
6 coverage, so every state has an insurance commissioner, and they
7 are responsible for regulating individual, small-group, and
8 large-group coverage.

9 Q. And what plans are federally regulated?

10 A. Plans that are federally regulated fall under ERISA, and
11 those are large self-insured employers that, rather than
12 purchasing coverage for their employees, actually act as the
13 insurance carrier themselves. So they pay the claims of their
14 employee when they need health care, and those are the federally
15 regulated plans.

16 Q. With regard to state-regulated plans, what trends have you
17 observed regarding the coverage of gender-affirming medical
18 care?

19 A. In state-regulated plans, there has been a substantial
20 increase, particularly over the last ten years, in states that
21 have required plans under their jurisdiction to remove
22 exclusions for gender-affirming care and, in many cases, to
23 offer affirmative coverage.

24 For example, in 2012, there was only one state where the
25 insurance regulators had required plans to be inclusive of the

1 medical needs of transgender people, and as of 2023, I believe
2 we're at 24 states, plus D.C., that have such a requirement in
3 place.

4 Q. What position have state regulators collectively taken
5 regarding transgender people's access to gender-affirming
6 healthcare coverage?

7 A. Many state regulators have spoken individually, and a
8 number of them have signed on to group statements. For example,
9 most recently in fall 2022, 21 insurance regulators, so
10 regulators from 21 different states, signed on to a letter to
11 the U.S. Department of Health and Human Services affirming their
12 interest in ensuring that transgender consumers in the markets
13 that they regulate are able to access the health care that they
14 need without facing discriminatory barriers and that that health
15 care should include gender-affirming care that is provided in
16 accordance with expert medical standards.

17 Q. Turning to ERISA-regulated plans, what trends in coverage
18 policies for gender-affirming care has your research identified?

19 A. The trend in ERISA-regulated plans has been the same. If
20 anything, it's been even faster, what I would call an
21 exponential increase over the last decade in the number of
22 self-insured employers that cover gender-affirming care for
23 their employees. As of the most recent analysis, I believe
24 approximately 86 percent of the more than 1,200 major employers
25 that were assessed by the Corporate Equality Index offered

1 inclusive coverage to their transgender employees.

2 Q. Can you identify any major employers in the U.S. that offer
3 fully inclusive plans to employees?

4 A. I mean, there are -- pretty much anybody that you can think
5 of. I believe at the top of the list include companies such as
6 Walmart, Amazon, and CVS, among many others.

7 Q. What position have insurance carriers collectively taken
8 regarding ensuring transgender enrollees can access treatment
9 for gender dysphoria?

10 A. We have really seen a sea change in the last decade with
11 regard to the types of coverage protocols that private carriers
12 are coming out with that affirm the availability of coverage,
13 refer to expert medical standards, and looking at -- they've
14 spoken -- individually a number of carriers, for example, put in
15 comments on nondiscrimination rules through the U.S. Department
16 of Health and Human Services.

17 Most recently, America's Health Insurance Plans, AHIP,
18 which is the major professional trade association -- it includes
19 about 1,300 different carriers that cover somewhere around 200
20 million people across the U.S. -- they put in a letter to the
21 U.S. Department of Health and Human Services affirming their
22 interest in ensuring that transgender enrollees can access
23 gender-affirming care and reiterating their support for
24 nondiscriminatory -- as they put it, nondiscriminatory benefit
25 design and coverage designs that are based on expert medical

1 standards.

2 Q. We've been discussing private employer healthcare coverage.

3 What types of plans does the government offer as an
4 employer -- do government entities offer as employers?

5 A. The government acts as an employer in a number of
6 circumstances. For state governments, for example, the State
7 acts as the employer and offers insurance coverage to its
8 employees. The federal government also offers coverage to
9 approximately 8 to 9 million federal employees and their
10 dependents through the Federal Employees Health Benefits
11 program, or FEHB.

12 Q. What trends in coverage for gender-affirming medical care
13 in state employee benefit plans has your research identified?

14 A. The trend in state employee benefit plans has been the same
15 as among self-insured employers, as well as among
16 state-regulated plans. Over the last decades in particular we
17 have seen a large number of states either removing explicit
18 exclusions -- categorical exclusions of coverage for
19 gender-affirming care and/or instituting affirmative coverage
20 policies.

21 Q. How many states offer affirmative coverage policies for
22 treatments for gender dysphoria?

23 A. I believe the number is approximately the same as the
24 number of states that have regulation or guidance from their
25 insurance commissioners, so 24 plus the District of Columbia.

1 Q. And how many jurisdictions offer employee benefit plans
2 that do not categorically exclude treatments for gender
3 dysphoria?

4 A. Over 40 different jurisdictions. So that includes states
5 and territories. Over 40 jurisdictions offer plans that do not
6 have categorical exclusions of gender-affirming care.

7 Q. With regard to the federal government -- and you mentioned
8 the Federal Employees Health Benefits plan. How does the
9 Federal Employees Health Benefits plan handle coverage for
10 gender-affirming health services?

11 A. FEHB does not permit categorical exclusions of
12 gender-affirming care. There is a requirement for this plan
13 year that coverage be provided in a manner that is consistent
14 with expert standards in the field.

15 Q. And what expert standards in the field are referenced in
16 that affirmative requirement?

17 A. The WPATH standards and the Endocrine Society guidelines.

18 Q. What are the other major sources of insurance coverage in
19 the United States?

20 A. The other major sources are what I tend to refer to as the
21 three M's: Medicare, Medicaid, and the health insurance
22 marketplaces.

23 Q. Let's turn first to the health insurance marketplace.
24 What is the health insurance marketplace?

25 A. The health insurance marketplaces were established under

1 the Affordable Care Act as the primary means by which people
2 would access subsidies to purchase insurance coverage at a lower
3 cost to make it more affordable.

4 There are two kinds of health insurance marketplaces at
5 this point -- about 30, 33 states rely on -- as of this most
6 recent year rely on healthcare.gov which is the federal
7 platform, and the remainder of the states operate their own
8 marketplaces.

9 Q. And have you researched the trends related to
10 gender-affirming medical care coverage by the plans sold through
11 healthcare.gov?

12 A. Yes.

13 Q. What has your research shown?

14 A. Out2Enroll has conducted research on the availability of
15 coverage without exclusions through healthcare.gov. We have
16 consistently seen a trend of a declining number of plans that
17 have any exclusion at all, let alone a categorical exclusion of
18 all care related to gender affirmation.

19 Q. And do you know approximately what percentage of those
20 plans have no exclusions for treatments for gender dysphoria?

21 A. In the most recent analysis, over 90 percent of the plans
22 for which we were able to access the plan documents and dig into
23 the coverage -- over 90 percent do not have exclusions of care
24 related to gender dysphoria.

25 Q. And how many of those plans that you were able to research

1 have affirmative coverage policies that cover treatments for
2 gender dysphoria?

3 A. Roughly half, about 47 percent.

4 Q. How does Florida operate its marketplace?

5 A. Florida uses healthcare.gov.

6 Q. And what did your research show with regard to how the
7 insurance carriers selling coverage through healthcare.gov in
8 Florida handle coverage of gender-affirming medical care?

9 A. None of the plans that we reviewed in healthcare.gov in
10 Florida had exclusions of gender-affirming care.

11 Q. And did any of those plans have affirmative coverage
12 policies that explicitly provided for coverage of
13 gender-affirming care?

14 A. Yes, the vast majority. I believe there was one that had
15 unclear language, and one or two that didn't mention at all.
16 But the vast majority had affirmative coverage.

17 Q. Turning now to Medicare, does Medicare currently cover
18 gender-affirming medical care?

19 A. Yes, it does.

20 Q. Does that include gender-affirming surgeries?

21 A. Yes.

22 Q. And does that include hormone therapy?

23 A. Yes.

24 Q. Has Medicare always covered these treatments?

25 A. No. In 1981, HCFA, H-C-F-A, which is the precursor to the

1 Centers for Medicare & Medicaid Services, adopted an informal
2 policy of no coverage, and that policy of no coverage was
3 codified as a national coverage determination in 1989. That
4 NCD, national coverage determination, was overturned in 2014.

5 Q. Dr. Baker, we're going to pull up on the screen what has
6 been marked as Plaintiffs' Exhibit 71.

7 Do you recognize this document?

8 A. I do.

9 Q. What is it?

10 A. It is the Departmental Appeals Board decision in 2014
11 overturning the Medicare exclusion.

12 Q. Does this document reflect the Departmental Appeals Board's
13 determination regarding whether gender-affirming surgeries are
14 experimental?

15 A. Yes.

16 MS. DUNN: Your Honor, I ask that this be admitted as
17 Plaintiffs' Exhibit 71.

18 THE COURT: Plaintiffs' 71 is admitted.

19 (PLAINTIFFS EXHIBIT 71: Received in evidence.)

20 BY MS. DUNN:

21 Q. Dr. Baker, can you explain the basis of the Departmental
22 Appeals Board ruling?

23 A. The basis for the national coverage determination and the
24 earlier informal policy from 1981 were that, quote/unquote,
25 transsexual surgery was experimental and cosmetic.

1 The Departmental Appeals Board looked at the evidence in
2 the 30 years since then and concluded that the characterization
3 of coverage for gender-affirming care or the characterization of
4 gender-affirming care itself as cosmetic and experimental was no
5 longer reasonable.

6 Medicare uses a reasonableness test to determine whether or
7 not something should be covered, and the Departmental Appeals
8 Board found that the national coverage determination and that
9 earlier informal policy did not meet the reasonableness standard
10 and that the national coverage determination was thus no longer
11 valid.

12 Q. What guidelines did this determination -- this appeals
13 board ruling look to in determining whether gender-affirming
14 medical interventions are reasonable and necessary pursuant to
15 Medicare regulations?

16 A. The decision looks at the WPATH guidelines.

17 Q. Dr. Baker, has Medicare issued a national coverage
18 determination with regard to any of the health services at issue
19 to treat gender dysphoria?

20 A. No.

21 Q. Is this unusual?

22 A. No.

23 Q. Why not?

24 A. Most services and interventions covered by Medicare do not
25 have a national coverage determination. They are provided under

1 the reasonableness standard.

2 Q. And in the absence of a national coverage determination,
3 how does Medicare treat requests for coverage for treatments
4 of -- of treatments for gender dysphoria?

5 A. It considers them on a case-by-case basis according to
6 standards of medical necessity and expert medical guidelines in
7 the relevant field.

8 Q. Turning now to Medicaid, how common are exclusions like
9 Florida's for Medicaid coverage of gender-affirming medical
10 care?

11 A. Extremely uncommon.

12 Q. Can you estimate how many states have similar categorical
13 exclusions?

14 A. Roughly eight states at this point have some degree of
15 exclusion of gender-affirming care, but the vast majority of
16 those do not have the type of categorical exclusion that I
17 understand to be under consideration here.

18 For example, some of them exclude some procedures and
19 services but not others. Some have age limits, and some remain
20 on the books, but according to Department of Health officials
21 are not being enforced.

22 Q. How many jurisdictions do not explicitly exclude coverage
23 for gender-affirming medical care?

24 A. Counting states and territories, since territories also
25 have Medicaid programs, I believe 46 to 47. I don't remember

1 the exact number off the top of my head, but over 40, I guess I
2 can say, do not have exclusions of gender-affirming care.

3 Q. And how many jurisdictions affirmatively provide coverage
4 of gender-affirming health services?

5 A. 27 jurisdictions at most recent count did not have
6 exclusions -- had, actually, affirmative coverage of
7 gender-affirming care, which is where it's outlined in Medicaid
8 regulations or a Medicaid handbook what procedures and services
9 are covered.

10 Q. And just to clarify, we've been talking about affirmative
11 coverage policies versus a lack of exclusions. Can you describe
12 the difference?

13 A. A lack of exclusions means that -- well, so originally you
14 would have the situation that you had in Medicare where you had
15 an explicit, often categorical, exclusion that no coverage would
16 be provided for gender-affirming care. Increasingly in response
17 to medical consensus and the evolving scientific evidence in
18 relation to gender-affirming care, those categorical exclusions
19 began to go away.

20 In some cases, that simply means, as in the case of
21 Medicare, that coverage decisions are made on a case-by-case
22 basis according to a standard such as Medicare's reasonableness
23 standard and with reference to the expert standards of care.

24 It is, however, possible to go one step further, if you
25 will, which is a case for any medical condition to clarify

1 exactly what coverage is available, and so increasingly we have
2 seen state Medicaid programs, private insurance carriers -- you
3 know, this is the case with what a lot of the state regulators
4 are doing is to say that it's important to spell out what
5 coverage is available so that transgender enrollees and people
6 who are administering coverage programs understand that coverage
7 is available and are applying the correct rationale, criteria,
8 and updated standards of care in making determinations of
9 medical necessity for coverage.

10 Q. Have you reviewed the GAPMS report assessment of the status
11 of Medicaid coverage in the U.S. for gender-affirming medical
12 care?

13 A. Yes.

14 Q. Was there representation of the number of states that cover
15 or don't cover this care accurate?

16 A. I did not agree with it on the basis of what I have already
17 stated, that the type of categorical exclusion in Florida's
18 Medicaid program is extremely rare, and for the states that
19 do -- the relatively small handful of states that do explicitly
20 exclude coverage, again, those types of exclusions are typically
21 either for some procedures or services but not others, have some
22 sort of age limit or are on the books but are not being
23 enforced. So I did not agree with the way that the GAPMS memo
24 characterized the status of Medicaid coverage for
25 gender-affirming care.

1 Q. What does your research show with regard to the current
2 trends among Medicaid programs with regard to coverage of
3 gender-affirming medical care?

4 A. Those trends are following the same trend lines as every
5 other type of public and private coverage. When you look at the
6 state-regulated plans, you look at self-insured employers, you
7 look at the state employee plans, you look at Medicare, you look
8 at Medicaid, the trend, especially over the last decade, has
9 been very strongly in the direction of coverage.

10 Q. What is the reason that every type of insurance carrier
11 providing insurance coverage in the United States is moving
12 towards coverage of gender-affirming medical care?

13 A. In response to the expert medical consensus acknowledging
14 that gender dysphoria is a real and serious medical condition
15 for which safe and effective treatments exist and that more than
16 20 major U.S. medical associations all affirm that
17 gender-affirming care is important to the overall health and
18 well-being of transgender people and is, thus, an important area
19 of medicine and, thus, an important area of coverage for
20 programs whose entire intent -- although we could argue about
21 private insurance. But programs where coverage is being
22 provided in order to make sure that people can access the health
23 care services that they need.

24 Q. Dr. Baker, I'd like to ask you some questions about
25 utilization trends of these health services for treating gender

1 dysphoria.

2 How has number of insurance claims for gender-affirming
3 medical care changed in the past decade?

4 A. It has increased.

5 Q. What is this increase attributable to?

6 A. There are, I think, two main drivers of this increase.

7 One is the greater availability of coverage. This medical
8 consensus that is causing more insurance carriers to provide
9 coverage, so it's more accessible for transgender people.

10 And the other reason actually also relates to the medical
11 consensus and the removal of these exclusions. Previously when
12 a provider would code something with a code that was related to
13 gender dysphoria, if there's an exclusion in place, then that
14 plan -- that claim automatically gets denied. So there is now a
15 trend in health care of providers who are providing
16 gender-affirming care to transgender people to be more clear in
17 actually using the codes that relate to gender dysphoria as a
18 diagnosis, which allows us to see that information in, for
19 example, a claims record much more easily.

20 One other thing that I would note is that there has been
21 increasing data collected on transgender people, so there is an
22 increasing understanding, I think, of -- back to one of your
23 earlier questions -- the overall demographics, sort of who
24 transgender people are and what kind of health care services
25 they need.

1 Q. What does the increase in the number of insurance claims
2 for treatments for gender dysphoria indicate?

3 A. It indicates both that coverage is more available and more
4 providers can appropriately code for these services without
5 feeling like they have to hide the care that they are providing
6 in order to avoid triggering a coverage denial from a
7 categorical exclusion.

8 Q. Turning now to the issue of cost effectiveness of these
9 type of health services.

10 What is the overall impact of coverage on gender-affirming
11 care on payor health insurance carrier budgets?

12 A. De minimis.

13 Q. Why is this?

14 A. There just aren't that many transgender people. So for an
15 individual transgender person, the cost of care can be
16 prohibitive. But when you are talking about health plan,
17 whether that's a public plan, public program, or a private plan,
18 it's simply -- when you are looking at sporadic claims from
19 .6 percent of the population, you are just not talking about
20 that much money.

21 Q. What evidence are the cost estimates that you've reviewed
22 based on?

23 A. This are a number of estimates out there. Particularly in
24 the last couple of years several states -- North Carolina, for
25 example, looking at its state employee plan; Alaska Medicaid;

1 Oregon Medicaid; Wisconsin Medicaid. There is a number of
2 states that have either performed or contracted out for an
3 analysis of the cost, the actuarial cost of providing coverage
4 for gender-affirming care.

5 Q. And generally what have these estimates shown?

6 A. That the costs of covering gender-affirming care are,
7 again, de minimis; too small to matter, as I recall one state
8 saying.

9 Q. And have any federal entities conducted analysis of the
10 cost of covering gender-affirming care?

11 A. In the discussion about open service by transgender service
12 members, the Department of Defense looked at the cost of
13 providing coverage of gender-affirming care to trans service
14 members.

15 Q. What was the result of that assessment?

16 A. He called it budget dust. Hardly even a rounding error.

17 Q. So we've been talking about the de minimis costs.

18 Have there been analyses looking at the costs in
19 realization to the benefits of providing gender-affirming
20 medical care coverage?

21 A. Yes.

22 Q. And what has the literature concluded about the costs and
23 benefits of this type of care?

24 A. The literature demonstrates that the de minimis costs of
25 providing this care are substantially outweighed by its

1 benefits.

2 Q. And how has that conclusion been quantified?

3 A. In a 2016 study, for example, which used standard cost
4 utility analysis methodology, the incremental cost effectiveness
5 ratio was calculated, which is where you quantify the amount of
6 money in dollars that you are willing to -- that you are paying
7 for some outcome, for example, quality of adjusted life years.

8 In this particular study the ICER, the incremental cost
9 effectiveness ratio, for gender-affirming care was less than
10 \$10,000 per quality-adjusted life year, which, in comparison to
11 in the United States and in many other countries, the standard
12 willingness-to-pay threshold that we use, which is where you
13 draw the cutoff of if I get a certain amount of benefit for a
14 certain amount of money, I can consider it cost effective. If
15 it costs me more money than this threshold -- willingness-to-pay
16 threshold to get that benefit, I consider it not cost effective.

17 So the standard willingness-to-pay threshold in the
18 United States is \$150,000 per quality-adjusted life year.

19 Q. And, again, how much was the cost of coverage for
20 gender-affirming health services?

21 A. Less than \$10,000 for quality of adjusted life year.

22 Q. What procedures were assessed in making this conclusion
23 regarding quality -- quality of adjusted life year?

24 A. The same definition of gender-affirming care that we have
25 been --

1 Q. Including?

2 A. Hormone therapy and surgeries.

3 Q. Did that model also look at the cost of gender-affirming
4 services on a per-member per-month basis?

5 A. Yes, it did. A per-member per-month basis is a standard
6 measure in looking at costs of coverage. And that particular
7 study found that the cost of providing coverage for
8 gender-affirming care when spread across the U.S. population was
9 .016 cents per-member per-month.

10 Q. Have you done any independent research regarding the cost
11 effectiveness of gender-affirming care?

12 A. Yes.

13 Q. And can you describe your methodology in your research?

14 A. I accessed a proprietary commercial claims database that
15 includes insurance claims from several hundred million people in
16 the United States. I identified transgender people using codes
17 that are associated with treatment for gender dysphoria. I
18 identified the procedures that are related to gender-affirming
19 care. And then I calculated how much -- added up over time, how
20 much that care cost.

21 Q. And what did your own research indicate with regard to the
22 cost effectiveness of gender-affirming medical treatments?

23 A. My research found that the cost of care for a transgender
24 person on average in that database was less than \$2,000 per year
25 and considered on a per-member per-month basis, when spread

1 across that entire insured population. So a little bit more
2 conservative than the other study that I was referencing.
3 Rather than the U.S. population looking specifically at this
4 insured population and this database, I found that the
5 per-member per-month cost of coverage was 6 cents.

6 Q. How would you summarize the literature on cost and benefit
7 for coverage for gender-affirming medical care?

8 A. The literature on the cost and benefits of gender-affirming
9 care demonstrates that the de minimis costs of gender-affirming
10 care are substantially outweighed by its benefits, financial and
11 otherwise.

12 Q. Turning now to Florida's exclusion of coverage for
13 gender-affirming medical services, the challenged exclusion.
14 Have you reviewed that exclusion?

15 A. Yes.

16 Q. And what health services are excluded from coverage under
17 the challenged exclusion?

18 A. I would call it a categorical exclusion that excludes
19 coverage of puberty-delaying medications, hormone therapy, and
20 surgeries.

21 Q. Is this coverage exclusion consistent with prevailing
22 nationwide coverage trends?

23 A. No.

24 Q. Is this coverage exclusion consistent with expert medical
25 standards used by health insurance programs?

1 A. No.

2 Q. And what expert medical standards are used by health
3 insurance programs generally?

4 A. They refer to the WPATH standards, the Endocrine Society
5 guidelines.

6 Q. Speaking of WPATH, Dr. Baker, are you a member of WPATH?

7 A. No. I have been in the past, but I'm not currently a
8 member.

9 Q. Did you play any role in the formation of the WPATH
10 Standards of Care 8?

11 A. Yes.

12 Q. What role did you play?

13 A. I was part of the research team at the Johns Hopkins
14 Evidence-Based Practice Center, which is part of the Johns
15 Hopkins medical institutions. It is an entity that is
16 contracted to conduct evidence reviews. And it was contracted
17 by WPATH while I was a staff member there to conduct reviews
18 that would inform the development of the SOC 8.

19 Q. Are you still affiliated with the John Hopkins
20 Evidence-Based Practice Center?

21 A. No.

22 Q. Were you the lead author on any published articles
23 summarizing the results of those systematic reviews that you
24 were a part of at the Johns Hopkins center for evidence-based
25 medicine -- I'm sorry -- Evidence-Based Practice Center?

1 A. Yes.

2 Q. What systematic review -- what was the title of that
3 systematic review that you published?

4 A. The one which I was the lead author was the Effects of
5 Gender-Affirming Hormone Therapy on Mental Health and Quality of
6 Life Among Transgender People.

7 Q. Dr. Baker, what is a systematic review? How would you
8 define that?

9 A. A systematic review is a systematic review of a body of
10 evidence that is intended to not cherry-pick, to ensure that the
11 body of evidence is being fully scoped in order to answer a key
12 question.

13 Q. And what was the purpose of the systematic review that you
14 published with regard to hormone therapies?

15 A. It was to answer the key question, KQ11 -- I remember it
16 very well -- the key question of what is the effect of
17 gender-affirming hormone therapy on mental health --

18 (Reporter requested clarification.)

19 THE WITNESS: Gender-affirming hormone therapy on the
20 mental health and quality of life of transgender people.

21 BY MS. DUNN:

22 Q. How did your systematic review do this?

23 A. We systematically searched the evidence. We searched
24 PubMed, Embase -- you know, there is a whole range of scientific
25 databases. So we developed a search strategy. We applied the

1 search strategy to these databases. We worked as a team. You
2 don't really do anything alone in a systematic review; you are
3 making sure that there's always someone else who is looking at
4 the same studies and that you agree on your conclusions.

5 So we identified the studies that were relevant; we
6 extracted data from those studies; we assessed the quality of
7 evidence and risk of bias in those studies, and then we
8 synthesized the findings, not quantitatively, but we synthesized
9 the findings of what that body of evidence showed in relation to
10 our key question.

11 Q. Was WPATH involved in the systematic review?

12 A. Yes.

13 Q. In what way?

14 A. They provided the key questions.

15 Q. Did they have any role in the study design?

16 A. No.

17 Q. Did they have any role -- or did WPATH have any role in the
18 data collection?

19 A. No.

20 Q. Did WPATH have any role in the analysis or interpretation
21 of the results?

22 A. No.

23 Q. And what were the results of that systematic review?

24 A. The systematic review found that gender-affirming hormone
25 therapy supports the mental health and quality of life of

1 transgender people.

2 Q. What was the certainty of evidence supporting that
3 conclusion?

4 A. The certainty of evidence, according to the specific rubric
5 that we used, was low.

6 Q. And why was it considered low under your rubric?

7 A. Well, the GRADE methodology that we used automatically
8 assigns a low certainty of evidence to any study that is not a
9 randomized controlled trial. And the literature that we were
10 looking at has very few randomized controlled trials. They are
11 not very common in transgender health due to bioethical issues,
12 as well as the difficulty of conducting a randomized controlled
13 trial when you have a treatment that is well established to be
14 effective.

15 Q. And what did your review of the entire body of the
16 literature conclude?

17 A. We concluded that gender-affirming hormone therapy supports
18 the mental health and quality of life of transgender people.

19 Q. You noted that your review accounted for potential flaws or
20 bias. Is it unusual for a study to have potential flaws or
21 bias?

22 A. No. All research has potential flaws and potential risks
23 of bias.

24 Q. And how do you account for that when conducting a
25 systematic review?

1 A. You look at the risk of bias. There are standard tools to
2 do that. We used a standard tool that assesses different
3 domains and looks at the overall degree to which the flaws of
4 the studies outweigh their quality.

5 Q. What is the ultimate takeaway or conclusion of this article
6 that you were the lead author on?

7 A. We concluded that gender-affirming hormone therapy is an
8 important component of health care treatment for transgender
9 people.

10 Q. And in the course of your review of the literature, did you
11 identify any studies showing that hormone therapy harms the
12 mental health or quality of life of transgender people?

13 A. No.

14 Q. As the author of this study, would you conclude that its
15 findings support a categorical exclusion for gender-affirming
16 care like Florida's?

17 A. Absolutely not.

18 MS. DUNN: I'm done. I have no further questions at
19 this time.

20 THE COURT: Cross-examine?

21 MR. BEATO: Your Honor, considering it's after 5:00,
22 would it be more appropriate to begin tomorrow morning?

23 THE COURT: If you wish. Up to you. You can do it in
24 the morning or we can finish tonight, whichever you wish to do.

25 MR. BEATO: Let's do it in the morning. I can finesse

1 my answers -- or finesse my questions.

2 THE COURT: There you go. I knew you'd have it.

3 Very good. We'll start at 9:00 o'clock tomorrow
4 morning.

5 How are we going on the overall schedule? Are you
6 about where you thought?

7 MR. GONZALEZ-PAGAN: Yes, Your Honor.

8 Omar Gonzalez-Pagan, Your Honor.

9 We actually wanted to bring up -- I believe it is
10 quite likely plaintiffs' case-in-chief would be done being
11 presented morning or midday Friday. And so the question is how
12 the Court would wish to proceed. I know there is some
13 availability questions for some of the defendants' witnesses,
14 and we were wondering --

15 THE COURT: Dr. Baker, thank you. You may step down.

16 And then if you'd be back on that witness stand,
17 please, at 9:00 o'clock tomorrow morning.

18 THE WITNESS: Yes, sir.

19 THE COURT: And I'm not trying to run you off. You
20 are welcome to stay or go.

21 THE WITNESS: I'm just sad I have to put it all back
22 on again.

23 (Dr. Baker exited the courtroom.)

24 THE COURT: So you think you are going to finish
25 midday Friday. What are you telling me about the schedule?

1 MR. JAZIL: Your Honor, my out-of-town experts won't
2 be coming into town until Tuesday, which is when -- since we are
3 not having court on Monday and Tuesday.

4 My two agency experts, one is testifying in a trial in
5 the Southern District of Florida that's going on now. And the
6 other's availability I'm just not sure of for the rest of the
7 week.

8 So if we are going to finish midday Friday, perhaps we
9 can pick back up Wednesday morning with our witnesses.

10 MR. GONZALEZ-PAGAN: Plaintiffs would have no
11 objection to that if it is the amenable to the Court.

12 THE COURT: Not my first choice, but we can do that.
13 If it works, we'll just plan on finishing the plaintiffs' case
14 this week, and starting the defense case Wednesday.

15 MR. JAZIL: Yes, Your Honor.

16 And then I just have a conceptual question. I know
17 we've been talking about the other cases being wrapped into this
18 one.

19 Would the plaintiffs be closing their case or leaving
20 it open so that if things are -- I just note the procedural
21 hiccup.

22 THE COURT: Yeah, I didn't -- some of that was just
23 kind of raising possibilities to discuss. And I haven't thought
24 it all the way through. It does seem clear to me that the other
25 case is the other case.

1 Anybody have any more information about when the
2 Governor is likely to sign the law?

3 MR. JAZIL: I do not, Your Honor. However, I did go
4 back and look to see when presentment happens, because there are
5 two things that have to happen: The legislature has to present
6 the bill to the Governor. Then there is a trigger for the
7 Governor to either sign, veto, do nothing and it becomes law.
8 Presentment goes on until June because laws usually don't become
9 effective until July. So I don't have a good way to predict
10 when it is the legislature will present the bill and the
11 Governor will sign it. So we could be looking at possible
12 presentment in June when this trial is done.

13 I'd just note that for the record.

14 I don't have perfect answers, Your Honor. I
15 apologize.

16 THE COURT: All right. I'll give it some more
17 thought.

18 Meanwhile, we'll have Dr. Baker back on the stand at
19 9:00 o'clock in the morning, cross-examine, and then call the
20 rest of your people. We'll plan on the plaintiffs' case this
21 week, the defense case starting Wednesday morning.

22 Is it Monday I'm getting your memo on the preliminary
23 injunction?

24 MR. JAZIL: Yes, Your Honor. You'll get my memo on
25 the preliminary injunction Monday. I'm done with the memo. I'm

1 just trying to get transcripts of the rulemaking hearings that
2 the two boards had so I can make those available to the Court.

3 THE COURT: I guess it's the other case.

4 MR. GONZALEZ-PAGAN: That would be Ms. Levy and
5 Ms. Chriss, Your Honor. And Ms. Dunn.

6 THE COURT: When are you going to be back in town?
7 And if we -- Tuesday afternoon, can we do the preliminary
8 injunction Tuesday afternoon? It makes more sense to put it
9 back after we've had a couple of your experts. We can put it
10 back on toward the end of the week.

11 MR. JAZIL: Your Honor, my understanding is we are not
12 in trial Tuesday afternoon.

13 THE COURT: We're not.

14 MR. JAZIL: Okay.

15 THE COURT: But I can be available Tuesday afternoon.
16 If I'm getting your memo Monday, depending on what time -- when
17 you get it done; you get the attachments, go ahead and file it.
18 Don't wait. So I can get to it. I'll need some time with it.

19 MR. JAZIL: Your Honor, I have a note saying that I
20 have a hearing Monday at 6:00 p.m.

21 THE COURT: And I'm pleased to say that's not my case.

22 MR. JAZIL: No, it isn't, Your Honor.

23 MS. LEVI: We would just ask for some set time when
24 the preliminary injunction would be heard.

25 THE COURT: Why don't we try to pick a time.

1 How long are your experts going to be in this trial?

2 MR. JAZIL: So we have four expert witnesses.

3 Your Honor, if we go half a day each with each expert,
4 two days; plus Mr. Brackett will likely be on for half a day,
5 Ms. Dalton; likely four days is what we are looking at.

6 No, I've got the math wrong.

7 THE COURT: That arithmetic doesn't work.

8 Would it help to have a set time to do the preliminary
9 injunction, or do you want to do it first thing Friday morning?

10 MR. JAZIL: Next week?

11 THE COURT: Yeah.

12 MR. JAZIL: Fine with me, Your Honor, if it works for
13 counsel.

14 MS. LEVI: We'll make it work.

15 MR. GONZALEZ-PAGAN: And, briefly, Your Honor, from
16 this case's perspective, we have three rebuttal witnesses, two
17 of which will be traveling, but we expect to present those.

18 THE COURT: I'm just curious, how do you know you have
19 three rebuttal witnesses? You haven't even finished putting on
20 your case. If it's somebody you already know is going to
21 testify, how is that a rebuttal witness?

22 MR. GONZALEZ-PAGAN: Well, it's depending on who they
23 call, Your Honor, and what the testimony is.

24 THE COURT: Well...

25 MR. GONZALEZ-PAGAN: We are happy to present them

1 earlier, if it pleases the Court.

2 THE COURT: Let me -- we probably all have different
3 views about what rebuttal is. Let me tell you what I think
4 rebuttal is.

5 I think rebuttal is something that you weren't
6 planning on putting on unless there was something new and
7 different than what you expected on the defense case.

8 This is not a case with a counterclaim or any of those
9 complications. It's just your case. So what I would expect is
10 your case and then their case and very limited rebuttal --
11 something new or unanticipated.

12 So just because you have, for example, an expert who
13 is going to disagree with something they say, that doesn't make
14 that a rebuttal witness. So you really ought to plan on putting
15 on your whole case.

16 MR. GONZALEZ-PAGAN: Understood, Your Honor. We will
17 reassess, and it may be then that we are not done by midday
18 Friday, but we will then re-order our witnesses.

19 THE COURT: And that doesn't make a whole lot of
20 difference in a bench trial. So if you've got somebody that you
21 mistakenly thought I was more reasonable about rebuttal, and you
22 just can't have them here until later, we can work with that,
23 but try to put on your whole case the first time through.

24 MR. GONZALEZ-PAGAN: We will do that, Your Honor.

25 Thank you.

1 THE COURT: And, frankly, that's only fair to the
2 defense. They want to meet your witnesses, too. So everybody
3 gets -- if you hold back your real witness until rebuttal, they
4 don't get a chance to put on their evidence.

5 MR. GONZALEZ-PAGAN: Sure, understood.

6 THE COURT: Put it all on. And they'll put it all on.
7 And then if you have real rebuttal, you can put it on.

8 MR. GONZALEZ-PAGAN: Understood, Your Honor.

9 Thank you.

10 THE COURT: All right.

11 So Friday morning, maybe more like 8:30, and we'll do
12 the preliminary injunction at 8:30 Friday morning and then
13 finish up whatever we've got with the trial. And you should
14 feel free to finish up on Thursday if you're done.

15 MS. LEVI: Just want to be clear, are we talking this
16 Friday or next Friday?

17 THE COURT: Next Friday.

18 MS. LEVI: The 19th?

19 THE COURT: Yes, the 19th.

20 MS. LEVI: I just want to be clear.

21 Thank you.

22 THE COURT: That meets whatever emergencies you've
23 got, right? I mean, the 19th is soon enough? Sooner is better,
24 but the 19th can work?

25 MS. LEVI: We've made our case and the facts, and

1 we'll argue them in front of you of the serious urgency of the
2 preliminary injunction.

3 THE COURT: All right.

4 I'll see you at 9:00 o'clock tomorrow morning.

5 (Proceedings recessed at 5:16 PM on Wednesday, May 10,
6 2023.)

7 * * * * *

8 I certify that the foregoing is a correct transcript
9 from the record of proceedings in the above-entitled matter.
10 Any redaction of personal data identifiers pursuant to the
11 Judicial Conference Policy on Privacy is noted within the
12 transcript.

11 /s/ Megan A. Hague

5/10/2023

12 Megan A. Hague, RPR, FCRR, CSR
13 Official U.S. Court Reporter

Date

I N D E X

14 PLAINTIFFS' WITNESSES

PAGE

15 DR. DANIEL SHUMER

Cross-Examination By Mr. Jazil 256
16 Redirect Examination By Ms. Coursolle 278
Further Examination By Mr. Jazil 290

17 DR. LOREN SCHECHTER

18 Direct Examination By Ms. McKee 291
Cross-Examination By Mr. Perko 315
19 Redirect Examination By Ms. McKee 322

20 DR. ARMAND AN TOMM MARIA

21 Direct Examination By Mr. Charles 325
Cross-Examination By Mr. Perko 395

22 JEFFREY ENGLISH

23 Direct Examination By Ms. Altman 410
Cross-Examination By Mr. Perko 451
24 Redirect Examination By Ms. Altman 457

25 DR KELLAN BAKER

Direct Examination By Ms. Dunn 461

EXHIBITS1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25DEFENDANT'S EXHIBITSOFFEREDRECEIVED

DX6

419

419

PLAINTIFFS' EXHIBITSOFFEREDRECEIVED

18

419

419

30

423

423

71

482

482