

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF FLORIDA
TALLAHASSEE, FLORIDA

AUGUST DEKKER, et al.,)
)
 Plaintiffs,) Case No: 4:22cv325
)
 vs.) Tallahassee, Florida
) May 17, 2023
 JASON WEIDA, et al.,) 9 A.M.
)
 Defendants.)
 _____)

VOLUME IV
(Pages 712 through 962)

TRANSCRIPT OF FOURTH DAY OF BENCH TRIAL
BEFORE THE HONORABLE ROBERT L. HINKLE,
UNITED STATES DISTRICT JUDGE

APPEARANCES:

For the Plaintiffs, Lambda Legal
By: OMAR GONZALEZ-PAGAN
Attorney at Law
ogonzalez-pagan@lambdalegal.org
120 Wall Street
19th Floor
New York, New York 10005

Lambda Legal
By: CARL S. CHARLES
Attorney at Law
ccharles@lambdalegal.org
1 West Court Square
Suite 105
Decatur, Georgia 30030



JUDY A. GAGNON, RMR, FCRR

Registered Merit Reporter

2616 Hemmingwood Place * Tallahassee, Florida 32312

APPEARANCES: Cont'd

For the Plaintiffs: Pillsbury, Winthrop, Shaw, Pittman, LLP
By: SHANI RIVAUX
Attorney at Law
shani.rivaux@pillsburylaw.com
600 Brickell Avenue, Suite 3100
Miami, Florida 33131

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: JOSEPH LITTLE
Attorney at Law
joe.little@pillsburylaw.com
500 Capitol Mall, Suite 1800
Sacramento, California 95814

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: WILLIAM C. MILLER
GARY J. SHAW
Attorneys at Law
william.c.miller@pillsburylaw.com
gary.shaw@pillsburylaw.com
1200 17th Street NW
Washington, D.C. 20036

Southern Legal Counsel Inc
By: SIMONE M. CHRISS
CHELSEA LEE DUNN
Attorneys at Law
simone.chriss@southernlegal.org
chelsea.dunn@southernlegal.org
1229 NW 12th Avenue
Gainesville, Florida 32601

Florida Health Justice Project
By: KATHERINE JEAN ANN DeBRIERE
Attorney at Law
debriere@floridahealthjustice.org
3900 Richmond Street
Jacksonville, Florida 32205

National Health Law Program
By: CATHERINE ANNE McKEE
Attorney at Law
mckee@healthlaw.org
1512 East Franklin Street
Chapel Hill, North Carolina 27514

APPEARANCES: Cont'd

For the Plaintiffs: National Health Law Program
By: ABIGAIL K. COURSOLLE
Attorney at Law
coursolle@healthlaw.org.
3701 Wilshire Boulevard
Suite 315
Los Angeles, California 90010

For the Defendants: Holtzman Vogel Baren,
Torchinsky & Josefiak, PLLC
By: MOHAMMAD OMAR JAZIL
GARY VERGIL PERKO
MICHAEL BEATO
Attorneys at Law
mjazil@holtzmanvogel.com
garyp@holtzmanvogel.com
michaelb@holtzmanvogel.com
119 South Monroe Street Suite 500
Tallahassee, Florida 32301

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(Call to order; all parties present.)

THE COURT: Good morning. Please be seated.

MR. GONZALEZ-PAGAN: Good morning, Your Honor.

Omar Gonzalez-Pagan for the plaintiffs. Ms. Rivaux will be conducting the examination.

THE COURT: Please call your next witness.

MS. RIVAUX: Good morning, Your Honor, we will be calling Dr. Edmiston.

DEPUTY CLERK: Please raise your right hand.

ELLIOT KALE EDMISTON, PLAINTIFFS' WITNESS, DULY SWORN

DEPUTY CLERK: Be seated.

Please, state your full name and spell your last name for the record.

THE WITNESS: My name is Elliot Kale Edmiston, E-l-l-i-o-t, K-a-l-e, E-d-m-i-s-t-o-n.

DIRECT EXAMINATION

BY MS. RIVAUX:

Q. Goo morning, Dr. Edmiston. Can you please state your profession.

A. I'm a neuroscientist and an associate professor of psychiatry.

Q. And can you please describe for the court your education and training.

A. Certainly, yes. I received a Bachelor's degree focused

1 in the cognitive science from Hampshire College. I then went
2 on to the Yale School of Medicine for three years of
3 additional training in a lab focused on mood disorders and
4 adolescents.

5 I then attended Vanderbilt University, where I completed
6 a Ph.D. in neuroscience. I went on then to China Medical
7 University for a postdoctoral fellowship, returned to the
8 United States, completed an additional postdoctoral
9 fellowship at the University the Pittsburgh, and in 2019 I
10 was promoted to assistant professor of psychiatry at the
11 University of Pittsburgh.

12 Q. And what positions do you currently hold?

13 A. Currently I am an associate professor of psychiatry at
14 UMass Chan Medical School.

15 Q. And what type of work do you do in your current role?

16 A. I run a research lab that focused on human subjects
17 research in mood anxiety disorders, particularly in young
18 adults, adolescents, and youth, and I'm interested in the
19 neurobiology of mood and anxiety disorders as well as risk
20 factors associated with them, like stress.

21 Q. Have you published any scholarly articles?

22 A. Yes.

23 Q. And are they peer-reviewed articles?

24 A. Yes. I've published approximately 50 peer-reviewed
25 articles.

1 Q. And in addition to the works that you published, are
2 there any other professional works that you've authored
3 relating to transgender health issues?

4 A. Yes. I have published two book chapters related to
5 transgender health. I was also a coauthor of the adult
6 assessment chapter for the WPATH Standards of Care, Version
7 8; and I currently have two publications that are under
8 revision related transgender health. One is an article
9 discussing how stress affects the mental health of trans
10 youth, and the other is an article about impulsivity in
11 adolescent decision-making as it pertains to gender-affirming
12 hormone care.

13 Q. Are you being compensated for your time here today?

14 A. Yes.

15 Q. And does your compensation in any way depend on the
16 outcome of this litigation or your testimony?

17 A. No.

18 Q. And, Dr. Edmiston, did you provide a copy of your CV with
19 your expert report in this case?

20 A. I did.

21 Q. And is that CV a present and accurate summary of your
22 qualifications and professional activities?

23 A. Yes.

24 MS. RIVAUX: Dr. Edmiston's CV is Plaintiffs'
25 Exhibit 357. It's among the stipulated exhibits, and I would

1 like to move that into evidence.

2 THE COURT: Plaintiffs' 357 is admitted.

3 (PLAINTIFFS' EXHIBIT NO. 357: Received in evidence.)

4 MS. RIVAUX: At this time I move to have Dr. Edmiston
5 qualified as an expert on adolescent decision-making and the
6 effect of gender-affirming care on the brain.

7 THE COURT: Questions at this time?

8 MR. BEATO: No, Your Honor.

9 THE COURT: You may continue, but before you do, let
10 me ask a question before I forget it.

11 The last article I think you mentioned was adolescent
12 decision-making as it pertains to transgender care. If I
13 understood it right, that is under submission. Does that mean
14 it's submitted for peer review but not yet peer-reviewed and
15 published?

16 THE WITNESS: It's currently being peer-reviewed, so
17 it's been submitted but not published yet, correct.

18 THE COURT: You may proceed.

19 BY MS. RIVAUX:

20 Q. And so, Dr. Edmiston, I would like to talk to you. The
21 Court has heard a little bit about adolescent
22 decision-making.

23 And in your field of work, are you familiar with a body
24 of research pertaining to decision-making by adolescents?

25 A. Yes, I am.

1 Q. In adolescent decision-making, what does the research
2 tell you about the importance of the context and the
3 circumstances surrounding the decision-making?

4 A. The context with regard to impulsivity and adolescent
5 decision-making is incredibly important. So we do know that
6 adolescents in certain contexts tend to be more impulsive
7 than adults, but the context is really important here.

8 So in a cold context, a context where there is time to
9 make a decision, a context where the decision-making is being
10 supported by adults, the research shows that adolescents are
11 capable of adult-like deliberative decision-making.

12 Where we see the impulsivity come into play is in these
13 hot contexts. So that would be a context where there is
14 pressure to make a decision quickly or when the adolescent is
15 surrounded by peers. So these would be things like driving
16 recklessly or using substances or alcohol. Those would be
17 the hot contexts where adolescents tend to be more impulsive.

18 Q. How does this research that you work with regarding
19 adolescent decision-making relate to the context of
20 adolescents making decisions regarding gender-affirming
21 medical interventions?

22 A. So gender-affirming care, medical decision-making is not
23 a hot context. It's a cold context. It's a context where
24 decision-making unfolds over an extended period of time, and
25 that decision-making is supported by caregivers and medical

1 professionals.

2 Q. Can you describe for the Court a little bit more about
3 the type of research that's been done about adolescent
4 decision-making in the medical context?

5 A. So there has been research as it relates to
6 gender-affirming care, decision-making adolescence. There is
7 a study by Bauer, et al., that demonstrates that on average,
8 adolescents take about three years between when they realize
9 that they are trans and when they come out to their parents.
10 And so to me, that's quite a long bit of time. That's not an
11 impulsive decision.

12 There's also been some qualitative research interviewing
13 trans youth, their parents, and their medical providers about
14 the decision-making process. That's a Daily 2019 article.
15 And it shows that adolescents really value the input of
16 adults when they are making these medical decisions, and that
17 parents feel that the ultimate decision is really up to them.

18 Q. Can you explain a little bit --

19 THE COURT: Let me stop there just to keep the
20 record. It's "really up to them."

21 THE WITNESS: I'm sorry. The parents feel that they
22 have the authority to make the decision, the final decision.

23 THE COURT: The parents do?

24 THE WITNESS: The parents do, yes.

25 BY MS. RIVAUX:

1 Q. And this protracted time frame, what is the significance
2 of that particularly in the context of gender-affirming care?

3 A. Well, it just demonstrates that it's not an impulsive
4 decision. It's a decision that unfolds over an extended
5 period of time. So, you know, on average, three years
6 between realizing that one is trans and coming out to a
7 parent; and then from there, the parents and the child have
8 to have, you know, a conversation about what to do with that
9 information, you know, and that could take months or even
10 years depending on sort of where the parent is at.

11 And then from there, they have to navigate the healthcare
12 system, you know, find a provider, make an appointment. And
13 then from there, they are going to be evaluated for their
14 readiness for treatment by the provider. So that can also
15 take months or potentially years. So it's really an extended
16 process.

17 Q. And the defendants in this case make a claim that
18 adolescent brains are insufficiently developed to make
19 medical decisions in the context of gender-affirming care or
20 with their caregivers and professionals.

21 How do you respond to that claim?

22 A. I would say certainly that the adolescent brain is still
23 developing, but the studies show that it's really in this hot
24 context where we are seeing this sort of difference
25 developmentally between adolescents and adults. So I don't

1 think the evidence supports that claim.

2 Q. Is there any scientific literature that supports the
3 proposition that, when it comes to adolescents making
4 healthcare decisions for treatment for gender dysphoria, that
5 they are actually making an impulsive medical decision?

6 A. No.

7 Q. So I would like to turn -- the Court heard a lot of
8 testimony about puberty blockers, GnRHa, and I would like to
9 talk to you a little bit about that right now.

10 Are you familiar with the body of scientific literature
11 that studied the effect of puberty blockers on the brain in
12 adolescents?

13 A. I am. There's animal studies and also some human
14 studies.

15 Q. And when we are talking about these studies, are these
16 all studies in peer-reviewed scientific literature?

17 A. Yes.

18 Q. And before we turn -- because I do want to talk to you
19 about the animal studies and the human studies, but before I
20 turn to that, I want to ask you:

21 Based on your assessment of the literature, is there any
22 basis to suggest that there's -- that you could conclude that
23 the effects on the brain are harmful?

24 A. No.

25 Q. At the same time, can you say that GnRHa or puberty

1 blockers have no effect on the brain?

2 A. No. These are medications that have an effect on the
3 brain, that have an effect on the body, and the effect that
4 they have is the intended effect, that it reduces sex
5 differences.

6 Q. And defendants have suggested that we need more studies
7 in this field. Does that mean doctors should not prescribe
8 puberty blockers based on your assessments of the scientific
9 literature?

10 A. No. As a scientist, we tend to be very curious, and we
11 always want to do more research. But the preponderance of
12 the evidence suggests that this is a safe medication that
13 should be used.

14 Q. And are you familiar with any literature that talks about
15 the impact on the brains of adolescents of untreated gender
16 dysphoria?

17 A. I'm sorry. Could you repeat that?

18 Q. Sure. I was asking if you're familiar with the body of
19 scientific literature that discusses the effects on the brain
20 of untreated gender dysphoria?

21 A. So we know from the literature that untreated gender
22 dysphoria is associated with anxiety and depression, and that
23 treated gender dysphoria is associated with an improvement in
24 anxiety and depression symptoms and a reduction in
25 suicidality.

1 We also know that, when anxiety and depression are left
2 untreated, particularly during adolescence, a time of neural
3 plasticity that this can be associated with detrimental
4 effects on the brain. Specifically, the brain is flooded
5 with stress hormones, and the stress hormones can damage the
6 brain and also set these adolescents on a developmental
7 trajectory where they are more likely to experience repeated
8 depressive episodes.

9 So this is called the "kindling effect," and it's the
10 idea that, with each successive depressive episode, you are
11 more likely to experience episodes in the future, and that's
12 because of the effects of this on the brain.

13 Q. I want to turn now if we can shift gears to talk about
14 some of the specific studies. You mentioned that there were
15 animal studies that looked at the effects of GnRHa on the
16 brain.

17 Are you familiar with those studies?

18 A. Yes. There are some sheep studies, a rodent study, and
19 also a nonhuman primate study.

20 Q. And when we talk about the animal studies, are there
21 known limitations when assessing animal studies?

22 A. Certainly. All studies have limitations; and that's why,
23 as a scientist, we look at the literature as a whole to draw
24 conclusions.

25 In particular, animal studies have the limitation that --

1 you know, rodents don't really have the complex social
2 identities that humans do, so we can't really model a trans
3 identity in a rodent, because they don't have a sense of
4 themselves as being a particular gender.

5 At the same time, we can't necessarily directly measure
6 things like anxiety and depression in animals. You know, in
7 a human study, the type of work that I do, we can just ask
8 people directly about their mood and about their level of
9 anxiety. But for animal studies, we have to observe their
10 behavior and project humanlike traits onto animals. So
11 that's why in animal studies, it is important to always that
12 a behavior is anxiety-like, because it's not really clear
13 that a mouse experiences anxiety the way that a human does.

14 Q. Let's talk more specifically about those animal studies,
15 then.

16 Are you familiar -- you mentioned some sheep studies.
17 Can you talk to the Court a little bit about what the sheep
18 studies looked at and what they concluded?

19 A. Certainly. So there are a series of sheep studies from a
20 single group, and they were interested in assessing the
21 effects of GnRHa on spacial cognition. So in these studies,
22 they had half of the sheep treated with the GnRHa and half
23 were untreated, and then they built a maze for the sheep and
24 had them navigate the maze, and timed how long it took them
25 to complete navigating the maze as a measure of their spacial

1 cognition.

2 And those studies show that there is no effect of GnRHa
3 on spacial cognition, and that there is no effect of GnRHa on
4 learning. So they had -- in one study they had the sheep
5 navigate the maze repeatedly in a short period of time, and
6 they showed that all of the sheep were able to navigate the
7 maze faster with each attempt.

8 There was one finding in one of the studies that looked
9 at long-term memory for the maze, and they had the sheep
10 complete the maze at 27 weeks and then again at 41 weeks.
11 And at the 27-week mark, they found that there was one area
12 of the maze where the GnRHa-treated sheep spent a little bit
13 longer in that part of the maze.

14 They also found that the GnRHa-treated sheep were
15 vocalizing more in that part of the maze. And so they
16 weren't able to conclude necessarily that this was due to an
17 effective GnRHa on cognition, that there were alternate
18 explanations that were also possible as well.

19 Q. And you mentioned that they did this same experiment at
20 the 27 weeks and again I think you said 41 weeks.

21 Was there any difference in the 27 and the 41 weeks?

22 A. Yes. The difference was no longer present at 41 weeks,
23 so it resolved.

24 Q. You mentioned also a rodent study. Can you tell the
25 Court about the rodent study and what they studied and what

1 the conclusions were of that study?

2 A. Yes. That was the Anacker study, and they were
3 interested in assessing the effects of GnRHa on behavior in
4 rodents. So in that study, they had male and female rodents
5 and they treated half of them with GnRHa and half were left
6 untreated; and then they ran a series of different behavioral
7 assays that are very common in the rodent literature. And
8 what they found was that GnRHa did exactly what we would
9 expect it to do.

10 Specifically, that in the untreated male and female mice,
11 there were sex differences in their behavior, and that those
12 sex differences were reduced with GnRHa treatment. So,
13 again, this medication that is intended to reduce sex
14 differences reduced sex differences.

15 Q. And so can you explain a little bit what that means by
16 "sex differences"? There are some -- some of the defendants
17 have claimed that -- the experts have claimed that these are
18 side effects. Can you explain a little bit more about what
19 those sex differences are and what you -- how you respond to
20 the claim of these are side effects?

21 A. Yeah, certainly. So, medications have effects, and the
22 determination of what is an intended effect and what is a
23 side effect is contextual. So in the case of GnRHa treatment
24 for trans youth, the purpose of the medication is to minimize
25 or reduce side effects or reduce sex differences. And so

1 when we see that in the rodent study, that's not a side
2 effect. That's the intended effect of the medication.

3 Q. And the defendants have used this rodent study and some
4 of the sheep study to suggest that GnRHa shouldn't be
5 prescribed because of these side effects. How do you respond
6 for what they claim to be side effects?

7 A. I would respond that these aren't side effects, and that
8 the medication is working as expected and as intended.

9 Q. You mentioned also a primate study. Can you tell the
10 Court a little bit about what was studied there and what the
11 findings were?

12 A. Yeah. So that would be the Godfrey 2023 study. That
13 study is very complex. But in that study, they took
14 advantage of the fact that nonhuman primates form social
15 hierarchies that are more akin to humans. So they live in
16 groups, and there are some of the monkeys that are dominant
17 and some monkeys that are subordinate that are essentially
18 bullied by the more dominant monkeys.

19 And in this study they gave half of the monkeys GnRHa
20 treatment and half were left untreated. They had them do an
21 MRI scan, did a bunch of different sort of social behavioral
22 assays, and then repeated an MRI scan later.

23 And the primary finding from this study is that for the
24 socially-stressed bullied monkeys, GnRHa rescued them and
25 reduced the effect of stress, the negative effects of stress

1 on the brain. So GnRHa protected them from the negative
2 consequences of chronic social stress on brain development.

3 Q. You mentioned there were also human studies of GnRHa and
4 the effects on the brain. Can you talk to the Court a little
5 bit about what types of studies have been done on humans?

6 A. Yes. There are several human neuroimaging studies. So
7 these are studies that use magnetic resonance imaging or MRI,
8 and there are a couple of different techniques within MRI
9 that we can use. So one is functional MRI, and this
10 technique allows us to present an individual with a task;
11 that they complete this task while in the scanner and were
12 able to measure the relative concentration of oxygen in the
13 blood to determine what parts of the brain are activated
14 while they complete this task.

15 There is also structural measures that allow us to assess
16 things like regional brain volumes or the integrity of white
17 matter in the brain -- "white matter" being the fibers that
18 connect different regions of the brain.

19 Q. And in any of the studies, was there any findings of a
20 negative effect on cognition?

21 A. No.

22 Q. And in any of these human studies, was there a finding of
23 any negative effect on executive function?

24 A. No.

25 Q. And just for a little further explanation, what exactly

1 is "executive function"?

2 A. So executive function is a subset of behaviors under sort
3 of the umbrella of cognition. And executive function are the
4 behaviors related to planning or goal-directed activity.

5 Q. And so let's talk a little bit about some of those human
6 studies you mentioned.

7 Are you familiar with a study by Staphorsius in 2015?

8 A. Yes.

9 Q. Can you tell the Court about that study and what they
10 found in that study?

11 A. So that is a functional MRI study. And in that study,
12 they had individuals complete a Tower of London task in the
13 scanner, which is a planning task, a task of an executive
14 function. And they had a group of GnRHa-treated trans
15 adolescents, untreated trans adolescents, and then cisgender
16 boys and girls; and they showed that there was no effect of
17 GnRHa on performance of this Tower of London task.

18 Q. Are you familiar with a study by Solman in 2016?

19 A. Yes. That's also an fMRI study. This was a study of
20 emotional processing. And in that study, they compared again
21 GnRHa-treated and untreated youth, and they found that there
22 was no relationship between GnRHa treatment and brain
23 activation during this emotional processing study.

24 Q. And are you familiar with the Van Heesewijk study in
25 2022?

1 A. Yes, the Van Heesewijk study is a structural study, and
2 it uses a technique called "Diffusion Tensor Imaging" or DTI,
3 and this allows us to measure the coherence of these white
4 matter tracks that connect different parts of the brain. And
5 so if the white matter track is more coherent, it forms more
6 of a straightforward bundle, then we would say that the
7 transfer of information from one region to another is more
8 efficient.

9 And in this study, they found that the trans youth
10 overall actually had more coherent white matter than the cis
11 youth, and they found one region where there was a difference
12 in the trans boys, but it was such that GnRHa treatment made
13 that white matter bundle more like the cisgender boys. So
14 again, that it was having the expected effect.

15 They also looked at correlations between duration of
16 GnRHa treatment and white matter integrity, and they didn't
17 find any relationship between GnRHa treatment and the outcome
18 measure of white matter integrity.

19 Q. And for a layperson like me, can you explain the
20 significance of these studies?

21 A. These studies suggest that GnRHa treatment doesn't have
22 any negative effect on cognition, and that the few findings
23 that are related to -- that showed differences in the brain
24 show us that the medication is doing what we would expect;
25 that it is making the brain more consistent with the gender

1 or reducing sex differences.

2 Q. A point of clarification. In the Solman 2016 study, was
3 that a study involving transgender adolescents?

4 A. Yes.

5 Q. Are you aware of a study that looked at the effects of
6 GnRHa on the brain in treatment for precocious puberty?

7 A. Yes. That would be the Wojniusz 2016 study, and that was
8 a study of emotional regulation, looking at girls treated
9 with GnRHa for central precocious puberty and controls who
10 did not have that condition, were not treated.

11 And they had them perform an emotional regulation task.
12 They showed that there was no difference in performance in
13 emotional regulation. And while they performed this task,
14 they also collected EKG data. The collected heart rate data
15 and also heart rate variability data.

16 Heart rate variability is an indirect measure of
17 parasympathetic nervous system function or rest-and-digest
18 function. And they found that the GnRHa-treated girls showed
19 optimal physiological regulation during this emotion task
20 such that they had a lower heart rate, which would indicate
21 that they were more relaxed, and a higher heart rate
22 variability, which is a positive outcome. It indicates that
23 they are relaxed, and that their parasympathetic nervous
24 system is engaged and active, and that they are ready to
25 respond flexibly to the environment. So this is an optimal

1 emotion regulation result associated with GnRHa.

2 Q. And defendants cite a study as a reason not to use GnRHa.

3 Is there any support for that conclusion?

4 A. No.

5 Q. Defendants also suggest that GnRHa should not be used
6 because it could have an impact on IQ.

7 Is there any support in the scientific literature that
8 suggests that there is an effect on IQ by using a GnRHa in
9 adolescents?

10 A. No, there isn't.

11 Q. Many of the defendants' experts argue that GnRHa is
12 experimental because there is insufficient research on
13 long-term effects of GnRHa.

14 How do you respond to this claim?

15 A. So GnRHa is a medication that's been used safely for
16 decades. So we know from the experience of clinicians and
17 from the research literature that it's a safe medication that
18 is not associated with long-term harm.

19 Q. Is the fact that there is a smaller body of literature
20 render the treatment for gender dysphoria experimental?

21 A. No. As a scientist, we would never rely on any one study
22 to draw conclusions, but we look at the research literature
23 as a whole. And the research literature as a whole shows
24 that this is a safe and efficacious medication.

25 Q. The defendants' experts also opine that there is

1 insufficient research suggesting that the gender-affirming
2 hormones alleviates gender dysphoria.

3 Are there any studies that actually look at this issue on
4 the brain?

5 A. There are two studies in trans adolescents that look at
6 effects of testosterone on the brain. So two studies of
7 transgender boys. Those are both fMRI studies that use
8 negative emotional face paradigms, so they are presenting
9 them with angry or fearful faces in the scanner. And one of
10 those studies showed that activity in the brain with
11 testosterone treatment became more typical of a cisgender
12 boy. So, again, what we would expect.

13 The other study looked at anxiety and depression symptoms
14 as well as suicidality and body image satisfaction. They
15 found that with testosterone treatment, there was a reduction
16 in anxiety symptoms, depressive symptoms, and suicidality;
17 and that this was explained by an improvement in the body
18 image in these boys.

19 They also showed that there was increased coupling
20 between the prefrontal cortex and the amygdala while they
21 were looking at these negative emotional faces.

22 So what we think of in terms of amygdala prefrontal
23 coupling is that this is a marker of regulation of emotions,
24 and they actually showed that there was greater coupling
25 between these two regions in the testosterone-treated boys --

1 so that's a positive outcome -- and that the amount of
2 coupling was correlated with the reduction in their anxiety
3 symptoms. So that the individuals that had more coupling
4 showed a greater reduction in their anxiety symptoms. So,
5 again, a positive outcome.

6 Q. And do the limitations -- excuse me.

7 Can you talk a little bit about whether there are
8 limitations to these studies?

9 A. There are always limitations. Every study has
10 limitations. It's not really possible to address every
11 potential concern. There is always limitations of resources
12 of time. You know, I do human subjects neuroimaging, and
13 it's a very expensive and -- it takes quite a bit of time to
14 do it well. So there's always limitations. And that's why,
15 again, we would not rely on any one study to draw our
16 conclusions. We look at the literature as a whole.

17 Q. And the limitations you mentioned, do they render the
18 care experimental?

19 A. No.

20 Q. You mentioned a little bit earlier about the harms to the
21 untreated brain and the effects of -- I think you called it
22 "the kindling effect."

23 A. Uh-huh.

24 Q. Can you talk a little bit more about that and explain a
25 little bit more what that means and what the impact is for a

1 gender-dysphoric adolescent?

2 A. Right. So we know from the literature that adolescents
3 with gender dysphoria have higher rates of depression and
4 anxiety and suicidality. We also know that they are more
5 likely to be bullied, and they have more chronic stress. And
6 we know from the literature that these things are all
7 associated with negative effects in the brain.

8 So the release of the stress hormone cortisol, for
9 example, when that stress hormone is chronologically released
10 and the brain is flooded with cortisol repeatedly, this
11 actually shrinks the size of neurons and is associated with
12 more depressive symptoms, more anxiety symptoms. And we know
13 that over time, there is a cumulative negative effect of this
14 process on the brain structure and function.

15 Q. And is there evidence in the scientific literature that
16 withholding treatment would have a negative effect on brain
17 development?

18 A. So we know that access to treatment is associated with an
19 improvement in mental health and a reduction in mood anxiety
20 symptoms, and we know that untreated depression and anxiety
21 is associated with harm to the brain. So being able to
22 access the treatment can circumvent some of those harms.

23 Q. Based on your review of the literature, is there any
24 scientific basis to exclude coverage for GnRHa in adolescents
25 to treat gender dysphoria?

1 A. No.

2 Q. Is there any basis to exclude coverage of
3 gender-affirming hormones in adolescents to treat gender
4 dysphoria?

5 A. No.

6 Q. Based on what you testified to today, is there any
7 support for the claim that the provision of GnRHa is
8 experimental?

9 A. No.

10 Q. Based on what you've testified today, is there any
11 support for the provision of cross-sex hormones as
12 experimental?

13 A. No.

14 Q. And one last question. Some of the studies that you
15 talked about, the human studies in transgender adolescents,
16 were those cited by any of the defendants in their expert
17 reports if you can recall?

18 A. No, they were not cited.

19 Q. And do you know if they were cited in the GAPMS report?

20 A. They were not.

21 MS. RIVAUX: Thank you.

22 THE COURT: Cross-examine?

23 MR. BEATO: Yes, Your Honor.

24 Thank you, Your Honor.

25 CROSS-EXAMINATION

1 BY MR. BEATO:

2 Q. Good morning, Dr. Edmiston.

3 A. Good morning.

4 Q. Just a few questions.

5 Doctor, on direct you testified about adolescent
6 decision-making, correct?

7 A. Yes.

8 MR. BEATO: I would like to pull up DX16.

9 BY MR. BEATO:

10 Q. And you should see it on your screen. We also have
11 physical copies if you need it.

12 A. Okay.

13 Q. What is this document?

14 A. This is the WPATH Standards of Care, Version 8.

15 MR. BEATO: And I would like to go to WPATH 45,
16 please.

17 BY MR. BEATO:

18 Q. Doctor, is this the adolescent chapter?

19 A. Yes.

20 Q. I would like to go to the next page, please, first
21 paragraph under the bolded "For clarity," nine lines down
22 starting with "However."

23 If you can just read the section starting with "however"
24 and ending "different from that of older individuals."

25 A. You would like me to the read it out loud?

1 Q. No. You can read it to yourself, and just let me know
2 when you are finished reading.

3 A. Okay.

4 Q. Do you agree with the section?

5 A. I agree with this section in terms of it's -- you know,
6 that it's true in the specific context that I discussed in my
7 direct.

8 Q. Understood.

9 I would like stick with the WPATH Standards of Care. Can
10 we go to WPATH 63, please. Top right, paragraph 14 lines
11 down, and hopefully we be blow that up, starting with
12 "gender-diverse youth."

13 *Gender-diverse youth should fully understand the*
14 *reversible, partially reversible, and irreversible aspects of*
15 *the treatment, as well as the limits of what is known about*
16 *certain treatments, e.g., the impact of pubertal suppression*
17 *of brain development.*

18 Do you see that, Doctor?

19 A. Yes.

20 Q. And you'd agree that there is limited knowledge of the
21 impact of pubertal suppression on brain development, correct?

22 A. I would say that there's sufficient evidence that this is
23 a safe medication. It's been used for decades; and, you
24 know, we know from the literature as a whole that it's safe
25 and effective.

1 Q. Okay. Can we go to WPATH 67, please. Second paragraph
2 under the bolded "consideration of ages," second sentence,
3 starting with "There is."

4 *There is, however, limited data on the optimal timing of*
5 *gender-affirming interventions as well as the long-term*
6 *physical, psychological, and neurodevelopmental outcomes in*
7 *youth.*

8 Do you see that, Doctor?

9 A. Yes.

10 Q. Do you agree that there is limited data on long-term
11 neurodevelopmental outcomes in youth who receive
12 gender-affirming interventions?

13 A. I would say that the data that we have supports the use
14 of these medications.

15 Q. Same page, right column, first full paragraph, 18 lines
16 down, starting with "Puberty is a time."

17 *Puberty is a time of significant brain and cognitive*
18 *development. The potential neurodevelopmental impact of*
19 *extended pubertal suppression in gender-diverse youth has*
20 *been specifically identified as an area in need of continued*
21 *study.*

22 Do you see that?

23 A. Yes.

24 Q. Do you agree with that statement?

25 A. I would say that, again, as a scientist, we always want

1 to do more studies. No scientist ever says, well, we've
2 solved that question, we know everything there is to know.
3 We always want to do more studies. I would also say that
4 they qualify this as an extended pubertal suppression. So
5 that is also worth noting.

6 Q. Understood. And, Doctor, you're aware of the Endocrine
7 Society's clinical practice guidelines and treatments for
8 gender dysphoria, correct?

9 A. Yes.

10 MR. BEATO: DX24, please.

11 By MR. BEATO:

12 Q. Doctor, what is this document?

13 A. These are Endocrine Society guidelines.

14 Q. And can we go to ES19, please. I believe that's ES23,
15 ES19. First full paragraph:

16 *Limited data are available regarding the effects of GnRH*
17 *analogs on brain development. A single cross-sectional study*
18 *demonstrated no compromise of executive function, but animal*
19 *data suggests there may be an effect of GnRH analogs in*
20 *cognitive function.*

21 Do you see that, Doctor?

22 A. Yes.

23 Q. And do you agree with this section?

24 A. Well, I would qualify it, because this was a document
25 that was written in 2017. So there has been quite a bit more

1 research since then. I would also say, this Citation 108,
2 this was one of the sheep studies that I referenced, the one
3 that found a cognitive difference -- or a potential cognitive
4 difference. They weren't entirely sure how to explain it,
5 that they found that the sheep were spending more time in
6 this particular part of the maze at 27 weeks, but that
7 difference went away over time. So I think that, you know,
8 it's important in clinical care to cite all of the potential
9 risks, and also to consider the potential benefits, so they
10 are just being completely thorough.

11 Q. And, Doctor, you mentioned 108, that particular study,
12 correct?

13 A. Yes.

14 Q. That would be Q?

15 A. Yes.

16 Q. The title is "Spatial memory is impaired by peripubertal
17 GnRH agonist treatment in testosterone replacement in sheep"?

18 A. Yes.

19 Q. And, Doctor, you also talked about the mice studies,
20 correct?

21 A. Right.

22 Q. That's the Anacker study?

23 A. The -- yeah, Anacker, yeah.

24 Q. And you would agree with me that the authors found that
25 puberty blockers have profound effects on female behaviors

1 that are commonly interpreted as depression-like?

2 A. They found that the females with GnRHa treatment showed a
3 reduction in the sex difference that didn't exist or existed
4 before treatment.

5 You know, I would again highlight the fact that they used
6 the term "depression-like." The literature that we have in
7 humans shows that -- I very clearly repeatedly over and over
8 again that this is treatment is associated with improvement
9 in depression. So I find a human study of depression much
10 more compelling than a mouse study.

11 Q. Understood. And you also agree that the authors found
12 pronounce differences in locomotion and social preference in
13 males and increases in neuroendocrine responses to mild
14 stress?

15 A. Again, they did find these differences, but it's a matter
16 of the comparison group. So they have four groups in this
17 study. They have untreated male and female and treated male
18 and female. So there are differences when you compare the
19 treated female rodents to the untreated female rodents, but
20 there are no differences between the untreated male and the
21 treated female.

22 So because the purpose of this medication in this context
23 is to reduce sex differences, the medication is doing exactly
24 what it should be doing.

25 Q. And moving away from animal studies, are you aware of a

1 study by Schneider called "Brain maturation cognition and
2 voice pattern in a gender-dysphoric case under puberty
3 suppression"?

4 A. I'm not entirely sure. Do you have a copy that I could
5 look at?

6 Q. Would it be help if I refresh your recollection?

7 A. Yes, sure.

8 MR. BEATO: Your Honor, may I approach?

9 THE COURT: You may.

10 THE WITNESS: Yes, I am familiar with this study.

11 BY MR. BEATO:

12 Q. And you are aware that this study observed an IQ decrease
13 in a gender-dysphoric individual who took puberty blockers?

14 A. Yeah. So a couple of things about this study. So first
15 off, it's a case study. So we would consider this the lowest
16 quality of evidence in terms of study design. Case studies
17 can be useful to illustrate a common clinical phenomenon for
18 teaching purposes or to suggest an area for, you know,
19 additional work. But they can't be used in isolation to make
20 policy decisions or clinical guidelines. A case study really
21 isn't generalizable to the broader population.

22 The other thing about this study is that the particular
23 transgender girl that they studied already had a low IQ prior
24 to starting GnRHa. So she is really not a representative
25 case of the effects of GnRHa because she has an intellectual

1 disability.

2 Q. Understood. And just to highlight something you said.

3 It's your belief that low-quality evidence should not be used
4 to make policy decisions?

5 A. So, again, I think that all evidence should be taken into
6 account and evaluated it as a whole. But a case study, to
7 me, in insolation is not compelling evidence.

8 Q. And, Doctor, just to stick with that study for a second.

9 A. Uh-huh.

10 Q. The individual who had gender dysphoria, did she show an
11 IQ decrease after receiving puberty blockers?

12 A. So I believe so, but let me check.

13 Q. Sure. Take your time.

14 A. So there is a difference in her IQ; but, again, we can't
15 say that this is necessarily due to GnRHa because she had an
16 IQ of 80 prior to initiation of GnRHa, which is a significant
17 intellectual disability.

18 Q. And did it go down after receiving puberty blockers?

19 A. It did go down, but it's important to remember that's why
20 we also have cross-sectional studies. So, for example, the
21 Wojniusz 2016 study did not find any differences in IQ with
22 GnRHa, and because they had a group of individuals, they are
23 able to perform a statistical test to see if that difference
24 is due to chance or if it's a real difference.

25 Because this is a study of only one person, we can't do

1 that kind of statistical testing. So the IQ varies to some
2 extent with repeated testing, and so we can't tell from this
3 case report if the amount of variation here is due to chance.
4 Q. And, Doctor, you also mentioned a series of MRI studies,
5 correct?

6 THE COURT: Let me stop and ask a couple of questions
7 about the one you just dealt with. Nobody asked what the IQ
8 test showed after the treatment.

9 What did the case study show after the treatment?

10 THE WITNESS: So they showed that the IQ was -- the
11 global IQ was 71 after treatment. So, you know, these are
12 both borderline-to-low-average IQs before and after treatment.

13 THE COURT: That case study, is that peer-reviewed?

14 THE WITNESS: It is, yes.

15 THE COURT: I see IQ results not in studies, but in
16 individual cases where intellectual functioning is important
17 including, for example, in death penalty cases and other kinds
18 of criminal cases. I see that kind of variation frequently.
19 I certainly haven't made any study of the cases I happen to
20 have gotten, which would just be a random assortment anyway.

21 THE WITNESS: Sure.

22 THE COURT: How unusual is it to have successive IQ
23 tests with the amount of variation shown there?

24 THE WITNESS: I would say that that is very typical.

25 THE COURT: If you really wanted to see what was

1 going on, would you rely on the single test or is that a test
2 you would repeat?

3 THE WITNESS: Do you mean in terms of determining the
4 real IQ, you would repeat the test?

5 THE COURT: Yeah. If, for example, there were a
6 lawsuit involving that change in IQ -- a change from 80 to 71
7 on test -- and the question was exposure to some chemical that
8 led to a lawsuit claiming that that was what was caused by a
9 chemical, is that the kind of thing where an expert in your
10 field would look at the one test and the one test and say,
11 this is a real change in IQ, or would you need to do more
12 tests to find out whether there was really a change or whether
13 this was just a variability between two tests?

14 THE WITNESS: I would certainly want to do more
15 tests. So they used the WISC IQ test, and I would want to do
16 more targeted neuropsychological assessment to really get into
17 what components of cognitive function are, you know, there are
18 very specific components of cognitive function. So I would
19 want to do a full neuropsychological workup.

20 THE COURT: What, if any, conclusions would you draw
21 about the effects of GnRHa based on that case study?

22 THE WITNESS: I wouldn't want to draw conclusions of
23 the effects of GnRHa on the basis of a case study. I would
24 use the Wojniesz 2016 study to draw conclusions because that
25 actually looked at a group of individuals and compared them

1 statistically. That allows us to really get a sense of, you
2 know, is this a real difference, is this a significant
3 difference. And that study didn't find any significant
4 difference.

5 MR. BEATO: Thank you, Your Honor.

6 BY MR. BEATO:

7 Q. Doctor, you also mentioned MRI studies, correct?

8 A. Yes.

9 MR. BEATO: Can we pull up PX351, please.

10 BY MR. BEATO:

11 Q. Doctor, is that one of the MRI studies?

12 A. Yes.

13 Q. And this study observed 22 individuals with gender
14 dysphoria?

15 A. I can't exactly read it. Yes, it looks like 22.

16 Q. And this is not a longitudinal study?

17 A. It's a cross-sectional study.

18 MR. BEATO: Can we pull up PX352, please.

19 BY MR. BEATO:

20 Q. Doctor, this is another one of those MRI studies?

21 A. Yes.

22 Q. And --

23 A. I'm sorry. I was just going to say, this is the Solman
24 study that I mentioned, yes.

25 Q. And this study observed 21 individuals with gender

1 dysphoria?

2 A. Yes, it did.

3 Q. This is not a longitudinal study?

4 A. No. It's a cross-sectional study, and cross-sectional
5 studies are important. They have value in terms of our
6 ability to draw conclusions. And, you know, again, that's
7 why, as scientists, we use lots of different approaches and
8 methods to assess a particular question from lots of
9 different angles.

10 Q. Understood.

11 MR. BEATO: And can we pull up PX354, please.

12 BY MR. BEATO:

13 Q. Doctor, that's another one of the MRI studies?

14 A. Yes. That's the Van Heesewijk study, the DTI study.

15 Q. If we can look at the background section, fourth line:

16 *Knowledge about the effects of puberty suppression on the*
17 *developing brain of transgender youth is limited.*

18 Do you see that, Doctor?

19 A. Yes.

20 Q. Do you agree with that statement?

21 A. I think that this is a common approach to structuring an
22 introductory paragraph. So, you know, as a scientist, we are
23 trained to sort of have the first sentence be what is the
24 concern we're addressing, and the last sentence of the
25 background section is always, this is what we don't know yet;

1 and that's why I did this study, and this study is going to
2 help us understand what we don't know.

3 So this is saying -- this is giving a justification for
4 the performance of this particular study.

5 Q. Understood. And this article came out in 2021, I
6 believe?

7 A. I can't see, but -- yeah, uh-huh.

8 Q. Last few sets of questions.

9 So just so the record is clear, you are not a medical
10 doctor?

11 A. That's true. I have a Ph.D. in neuroscience.

12 Q. You never diagnosed anyone with gender dysphoria?

13 A. No, I don't diagnose individuals with gender dysphoria.

14 Q. And, Doctor, is it true that to form your expert opinion
15 in this case, you partly relied on your work as a
16 contributing author of WPATH Standards of Care 8?

17 A. Yes.

18 Q. And you helped draft Chapter 5, the adult chapter?

19 A. I did, yes.

20 Q. What was the drafting process like?

21 A. So the drafting process, which is publicly available on
22 the WPATH website, involves each team of chapter co-authors
23 generating a list of questions. We send those out to an
24 external review that does an extended peer review allowing us
25 to see what evidence there is to answer those questions.

1 And then from there, the co-authors of the chapter draft
2 statements, and we use the language of "recommend" or
3 "suggest" that's based on the strength of the peer-reviewed
4 literature with regard to that particular recommendation.

5 From there, then that -- those -- those statements are
6 evaluated by all of the authors.

7 Q. Did the authors of Chapter 5 include any individuals who
8 were not medical professionals?

9 A. Yes.

10 Q. Who were they?

11 A. Oh, there were several therapists, and the chapter lead
12 is a medical doctor.

13 Q. To your knowledge, do all of the individuals who assisted
14 in the drafting of Chapter 5 approve of gender transition
15 treatments to treat gender dysphoria?

16 A. I think that we all base our opinions on the evidence.
17 And so, you know, our recommendations are that people be
18 evaluated for the appropriateness of the treatment, and this
19 needs to be done on a case-by-case basis.

20 Q. And what feedback did you receive from the WPATH board of
21 directors?

22 A. I'm sorry?

23 Q. I'm sorry. What feedback did you receive from the WPATH
24 board of directors?

25 A. Feedback regarding?

1 Q. The drafting of Chapter 5.

2 A. So the particular statements are all voted on by all
3 of -- by everyone, and then once all of the
4 statements -- once there is a consensus, then the chapter
5 co-authors draw -- start drafting the explanatory text that
6 goes underneath those statements; and then we work very
7 closely with the chapter editor to ensure that there is
8 consistency in the document. So we receive feedback from the
9 chapter editor.

10 Q. Did any of the authors of Chapter 5 not feel comfortable
11 with the recommendation in the finalized Chapter 5?

12 A. All of the recommendations are based on consensus of all
13 of the authors of not just the chapter but the entire
14 document.

15 Q. So did individuals feel not comfortable with the
16 particular finalized recommendation?

17 A. No. If someone felt uncomfortable with that finalized
18 recommendation, then, you know, we would come to a consensus.

19 Q. And just to backtrack a little bit, the WPATH board had
20 to approve the draft of Chapter 5, correct?

21 A. Yes, it's a consensus-based document.

22 Q. And were there any disagreements between the authors?

23 A. In drafting anything like this where we have diverse
24 opinions, we have to have discussions and come to a
25 consensus. So, yes, sometimes there were.

1 Q. Did you contribute to any other chapter in Standards of
2 Care 8 aside from Chapter 5?

3 A. I was only a co-author on Chapter 5, but because it's a
4 consensus-based document, we all contributed or many of us
5 contributed in different ways to different chapters.

6 MR. BEATO: One moment, Your Honor.

7 No further questions.

8 THE COURT: Redirect?

9 MS. RIVAUX: No questions, Your Honor.

10 THE COURT: Dr. Edmiston, the lawyers haven't asked
11 you or the other witnesses this, and that may be because the
12 answer is "I don't know" or there's no scientific evidence of
13 that, and if that's the answer, tell me that.

14 THE WITNESS: Of course.

15 THE COURT: But it seems to me that one of the
16 questions that -- at least under the surface in some of the
17 submissions, is something like this:

18 Let's posit just a 12-year old who is trans or who
19 says, although my sex assigned at birth, my physical sexual
20 characteristics make me a boy, in fact, I'm a girl; I identify
21 as a girl.

22 It seems to me that some of the defense suggestion
23 is, that's not really so. That's just something the person is
24 deciding to do just as if one would decide to wear jeans or
25 slacks or long pants or short pants on some day to go out in

1 public.

2 What, if anything, can you tell me about whether this
3 is really a thing, whether there are people who not as a
4 matter just of choice but as a matter of their identity, their
5 personhood, actually identify with the opposite gender from
6 the gender assigned at birth or whether this is really just
7 something they decide to be?

8 THE WITNESS: Yeah. That's a great question,
9 Your Honor. So I would say a couple of things.

10 I would say, first off, that transgender people have
11 existed throughout history; that there is records of
12 transgender people all over the world throughout history. And
13 that the analogy of deciding whether to wear jeans or slacks,
14 that the social consequences of changing one's gender or
15 changing one's sex to be consistent with one's gender are
16 enormous.

17 If you think about how much of the social world is
18 structured by people's perception of your gender, you know,
19 people risk losing support of their family and friends. We
20 know that they are bullied and ridiculed. So the decision to
21 come out and live as one's authentic self requires an enormous
22 amount of bravery and conviction. You know, it's not a
23 decision that anyone would just make on a whim, because it's a
24 very challenging life.

25 THE COURT: I understand that. Aside from that kind

1 of reasoning, is there any scientific literature, any evidence
2 based that bears on that question?

3 THE WITNESS: Yeah. There is literature showing
4 that, when the cross-gender identification is persistent and
5 consistent, that those people over time do -- you know, that
6 they stay, that it's a consistent desire. It's not a thing
7 that fluctuates over time, especially when you're talking
8 about someone that is 12, maybe. It would be perhaps a little
9 different if you have a three-year-old boy that likes to play
10 with Barbies. That would be a different scenario, right?

11 So by the age of 12, if someone is consistently
12 saying, "I'm the opposite gender," then there are longitudinal
13 studies that show that that is a consistent desire.

14 We also know that the rate of regret -- we know from
15 the scientific literature that the rate of regret for these
16 sorts of interventions is very small.

17 So I think for some -- some studies have shown a
18 97 percent satisfaction rate with these sort of interventions,
19 which is much, much higher than you would see for most other
20 medical interventions.

21 THE COURT: Questions just to follow up on mine?

22 MS. RIVAUX: No questions, Your Honor.

23 MR. BEATO: One moment, Your Honor.

24 One question, Your Honor.

25 RE-CROSS-EXAMINATION

1 BY MR. BEATO:

2 Q. Is there any study anywhere that identifies something in
3 the brain as the basis for a transgender identity?

4 A. Yes.

5 Q. What is that?

6 A. So there are a number of studies in adults that have
7 looked at -- you know, the neuroimaging studies that have
8 looked at differences in the brain between trans and
9 cisgender individuals, and they found differences in the --
10 particularly in the somatic motor and sensory motor cortices,
11 and these are regions in the brain that are responsible for
12 one's sense of one's own body.

13 So there is actually quite a bit of literature. I
14 actually wrote a peer-reviewed review of this literature. So
15 there is quite a bit of literature.

16 MR. BEATO: No further questions.

17 THE COURT: Thank you, Dr. Edmiston. You may step
18 down.

19 THE WITNESS: Thank you.

20 THE COURT: Please call your next witness.

21 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

22 Mr. Little will call our next witness, Ms. Hutton.

23 MR. LITTLE: Plaintiffs would call Kim Hutton to the
24 stand.

25 DEPUTY CLERK: Please raise your right hand.

1 **KIM HUTTON, PLAINTIFFS' WITNESS, DULY SWORN**

2 DEPUTY CLERK: Be seated.

3 Please, state your full name and spell your last
4 name for the record.

5 THE WITNESS: Kim Hutton, H-u-t-t-o-n.

6 DIRECT EXAMINATION

7 BY MR. LITTLE:

8 Q. Thanks for being with us, Ms. Hutton.

9 Can you tell us why you are here to testify today?

10 A. I'm here to testify about a conversation that I had with
11 one of the witnesses for the State, Dr. Paul Hruz.

12 Q. Is there anything else you here to talk about with us
13 today?

14 A. Just my experience as the mother of a transgender child.

15 Q. Okay. Before we get to that, can you briefly tell me
16 your familiarity of this case, generally, a brief description
17 of what you know?

18 A. I understand it has something to do with Medicaid
19 coverage for transgender-related healthcare.

20 Q. Okay. And you came here from out of state today,
21 correct?

22 A. I did.

23 Q. Where are you from?

24 A. The Greater St. Louis area, Missouri.

25 Q. So you mentioned you are here to testify for two

1 purposes. We are going to go to the second one first,
2 regarding your family.

3 Would you mind just telling me a little bit about your
4 family and we'll go from there?

5 A. Sure. So I am the mother of two sons. I have a
6 35-year-old son and a 20-year-old son who is transgender. My
7 transgender son actually first expressed to me that he was a
8 boy at the age of two and a half. I had him in out bathroom
9 sink, as I did every day, ponytailing his long blonde hair,
10 and he looked in the mirror. I was standing behind him
11 ponytailing his hair, and he looked up in the mirror at my
12 reflection and said, "I a boy."

13 I remember, like, tilting my head and thinking I must
14 have heard him wrong, and I said, "What did you just say?"

15 And he said, "I a boy."

16 I said, "Oh, okay." And I finished his ponytail and I
17 put him down and he ran off and played.

18 But I remember feeling very nervous about what he had
19 said. I had been around children my entire life, babies,
20 toddlers my whole life, and I never had a child tell me that
21 they were the opposite gender. So I was pretty nervous.

22 That night my husband got home, and I told him what
23 happened. And he is like, "Well, you know, they're two."
24 And we talked about it and decided that, you know, they were
25 confused or something, you know, didn't do anything about it,

1 really, and life went on.

2 For my child, they started expressing that they were a
3 boy every day after they initially told me. And, you know,
4 within six months, all of his baby dolls, even if they were
5 in pink and dresses became boys. They were suddenly boys.
6 And all of his stuff animals were boys, and they were given
7 boy names. And, you know, it just became really clear that
8 this was not going to, like, go away on its own.

9 And so I think he was about four and a half or five years
10 old, and we kind of taken the approach of, like, "Oh, no,
11 sweetheart, like, you're a girl; you have a body like
12 mommy's," and just tried to gently redirect him. But he was
13 very insistent that he was a boy.

14 And I think he was a four and a half or five years old
15 when he had a complete breakdown one day and said, "Am I
16 going to have breasts some day like you?"

17 I said, "Well, some day, but that's a long ways away."

18 And he just melted into the floor sobbing and crying, and
19 I couldn't understand what he was saying. So I scooped him
20 up, and I'm like, "Hey, what is going on?"

21 And he's like, "When they come, can you take me to the
22 doctor and can they cut them off?"

23 I soothed him as best I could, but, like, it was a very,
24 very difficult time in our house. And I told my husband that
25 we needed to try to find some outside help to figure out what

1 was going on. And there were no therapists in St. Louis that
2 had treated a child like ours, you know, such a young child.

3 But I did find a therapist who treated adult transgender
4 people, and so I made an appointment. And they told me to
5 let him wear boy clothes in the house, but don't let him wear
6 them outside of the house. And if he went to a birthday
7 party, to make him take the blue balloon -- or the pink
8 balloon, even if he wanted the blue balloon. She advised us
9 that he would get picked on and bullied if he left our house
10 expressing himself as a boy.

11 So it was kind of like asking him to live in two worlds.
12 You know, he could dress the way he wanted in our home, but
13 he had to look differently when he left our home.

14 And that advice only led to our child getting
15 tremendously depressed. I just watched the sparkle and shine
16 in his eyes just drain out.

17 So eventually, I said to my husband, you know, we need to
18 find a different doctor, this is not working, and I called a
19 therapist. I read something in the newspaper and found a
20 doctor in California, and I called her and begged her to work
21 with us over the phone, and she did.

22 And then over time, she connected me to a research doctor
23 in Washington, D.C., who is studying children like mine. And
24 I told him what was going on; and, you know, that my son
25 wouldn't look in a mirror. Like, he wouldn't even look in a

1 mirror to brush his teeth.

2 And he -- the research doctor told me that that was one
3 of the primary signs, that he would likely go on to be a
4 transgender adult.

5 And so we spoke with that doctor several times, and then
6 they eventually connected us to a therapist in St. Louis who
7 had seen a child at one point in their career. She treated
8 my husband and I. We were all therapy. There was a lot
9 therapy in our house.

10 And my husband and I saw that therapist, and then she
11 referred us to a child psychologist to meet with my son who
12 at the time was between six and six and a half. They
13 recommended that we get a complete psychiatric evaluation of
14 our child, which we did.

15 And they wrote up a report, and they did diagnose him
16 with -- at that time it was called "gender identity
17 disorder," and they told us that, to make him live his life
18 as a girl would be cruel and inhumane; that he knows who he
19 is; and that we should let him wear boys' clothing, get him a
20 boy haircut, give him a boy name, use boy pronouns, and find
21 a school that would support him, which back then was going to
22 be really difficult, but we did.

23 And with these small changes, like, my son was just happy
24 again and, like, all of the life came back in his
25 personality, and he was just, like, cheerful and happy and

1 engaging with his friends. And we put him in this new
2 school.

3 And he could have gone in without anyone knowing that he
4 was transgender, but he told them at first day at Community
5 Circle that he was a boy, but he didn't have a boy body. And
6 just the nature of the school allowed him to express himself,
7 for people to know him for who he really was. And he had
8 millions of friends, invited to every birthday party, and
9 just -- his confidence just grew. And it was probably for
10 the next even three years the happiest years of his life.

11 And so, yeah, I think it was around between nine and ten
12 that puberty struck and breasts started developing, which was
13 his biggest terror in life, was having breasts.

14 So at that time we sought an endocrinologist at St. Louis
15 Children's Hospital, and we talked about the hormone blocker
16 therapy. And I remember -- you know, I remember her saying
17 things like, you know, we'll have to do lab work, blood work,
18 I think every six months or something like that. And we'll
19 do x-rays of his hands, and we'll watch for the growth plates
20 to open -- or to stay open or closed, just kind of monitoring
21 him while he was on this.

22 I also knew that they had used this type of treatment for
23 children with precocious puberty for many, many years,
24 decades I think I heard, before my child was on it. And so I
25 felt like, you know, they've been using it in other ways on

1 children, you know, it seems like it's okay. And knowing how
2 our child felt, we absolutely wanted it for him. He would
3 have been devastated to have endured female puberty and to
4 have breasts. And so for us it wasn't really even a question
5 about doing it.

6 And once he had the blocker implanted, and the minimal
7 development that had happened on his chest went away, he was
8 happy again, full of life, and engaged with his friends and
9 just did great.

10 Q. That's good to hear.

11 The facility where your son received puberty suppression
12 hormones, did they have a gender clinic or a specialized
13 gender facility?

14 A. They did not.

15 Q. How were the next few years like after beginning the
16 puberty suppression?

17 A. They were great. I mean, his confidence just continued
18 to soar. He's smart, his grades were excellent, his circle
19 of friends was huge. He's well liked and just an all-around
20 happy kid, and just really living a very regular boy life.

21 Q. And then at a certain point, did your son ever progress
22 to any other kinds of gender-affirming care in addition to
23 the suppression hormones?

24 A. He did. I think he was almost 15, right around 15, and
25 he started with a very tiny dose of testosterone. And over

1 time, I think it was actually over a year, a year and a half
2 to get to the full dose. And so he experienced the type of
3 puberty I think that he wanted where he had facial hair. He
4 had talked to us since he was three years old that he was
5 going to have a beard when he grew up, you know.

6 So for him to get facial hair and things of that nature
7 from the cross-hormone therapy just made his day. He was
8 beyond ecstatic. He was delayed in puberty. Most of his
9 peers, his guy friends had already gone through that. He was
10 catching up to them and just -- he was beyond thrilled with
11 everything that was happening.

12 Q. Where did your son receive testosterone from?

13 A. So he started on testosterone at Cardinal Glennon
14 Children's Hospital, and then ultimately, when he was older,
15 transferred to the St. Louis Transgender -- Washington
16 University Transgender Center, Pediatric Transgender Center.

17 Q. Okay. So you talked a bit about the observations you had
18 seen in your son since taking testosterone. How is your son
19 doing day?

20 A. He is doing great. He just completed his freshman year
21 in college. I'm so proud of him. He did really well. He's
22 an A-B student. Again, he took off for school, and he
23 created this whole new social circle. It's really large.
24 When I talk to him on the phone, when he's away at school and
25 he's walking across campus, countless people are yelling his

1 name and saying hello. I mean, it's wonderful.

2 He could have gone into a dormitory that was for anybody
3 that was on the gender spectrum, and he's like, no, I'm just
4 going to let them place me where they place me.

5 And so the guys that he roomed with in his dorm didn't
6 know right away that he was transgender, but he told them
7 about that within a few months. And everybody loves him, and
8 they protect him and they stick up for him where needed. And
9 he's just a great kid. He's so happy.

10 And it's been kind of rewarding as a parent because
11 recently, because I'm sure he's growing up and maturing and
12 he's looking across life, and he said, you know, mom, I will
13 never be able to thank you and dad enough for loving me,
14 supporting me, and getting me the medical care I needed to
15 live this life. He goes, I don't even know what kind of
16 person I would be today if I hadn't gotten the hormone
17 blockers and the cross-hormone therapy. He said, I know
18 friends who are transgender who didn't have access for a
19 variety of reasons and didn't have loving and supportive
20 parents, and he said, they're living a very difficult life.

21 And so it's kind of -- it's been really nice to get that
22 appreciation from our son and recognition, I guess. But
23 obviously, as parents, you just want to make sure that your
24 children are healthy and happy, and that was our goal.

25 So, yeah, he's doing great.

1 Q. That's really wonderful to hear.

2 And we'll circle back to that before we end, but just
3 spend a few minutes talking about the other matter you came
4 here to testify about.

5 You mentioned you were familiar with one of the
6 defendants' experts. Can you tell me a bit more about that?

7 A. Yes. So in 2010 I started this small not for profit
8 called "Transparent," and it is a support group for parents
9 who are raising a transgender child of any age. And as a
10 part of that and then also raising my child, I was doing all
11 kinds of reading and trying to find resources and help for
12 children like mine in our community.

13 I ran across information on a Dr. Norman Spack, and I
14 found out that he actually started a pediatric transgender
15 center at Boston Children's Hospital. And I was like, oh, my
16 gosh, there's a center, like there's a place that does, like,
17 full care for children like mine, I couldn't even believe it.

18 So I called him. I didn't think he would take my call,
19 but he did. I introduced myself, and I told him how we're
20 really struggling in St. Louis. We didn't have a center like
21 this; that it would be like my dream to someday have a center
22 like that in St. Louis.

23 And I said, you know, our doctors are just starting to
24 talk about this. They are not really educated on what our
25 children need, and I said, you've got these standards of

1 care. Do you think you would ever consider coming to St.
2 Louis and sharing what you know about treating transgender
3 children with our medical community. He's like, sure. He
4 said, I'm going to be in Kansas City -- this would have been
5 October of 2013 -- and he goes, I'll just come in a couple of
6 days early, and I'm happy to speak in your area.

7 So I arranged presentations at the Washington University
8 School of Medicine and the St. Louis University Medical
9 School. And when Dr. Spack gave his presentation at
10 Washington University School of Medicine, Dr. Hruz was in the
11 audience. And then after the presentation -- after the
12 presentation, there was a small private meeting where doctors
13 met with Dr. Spack privately, I'm sure, to ask him more
14 detailed questions; and Dr. Hruz was a part of that small
15 meeting. And so --

16 Q. Go on.

17 A. And so Dr. Spack came out of that meeting, and he
18 reconnected with me, and he said, Dr. Hruz would like to meet
19 with you. I'm like, oh, okay. I thought that would be a
20 good thing, because I understood that Dr. Hruz had an
21 important position within the endocrine department at
22 Washington University School of Medicine. So I thought it
23 would be a good thing. And Dr. Spack seemed concerned, and
24 when I asked him about that, he said, he's a very, very
25 religious person.

1 Q. Was he referring to Dr. Hruz?

2 A. To Dr. Hruz.

3 MR. PERKO: Objection, Your Honor. Under Rule 610
4 evidence of someone's religious beliefs is not admissible to
5 support or --

6 THE COURT: Or oppose their credibility. Is that
7 what the rule says?

8 MR. PERKO: Yes.

9 THE COURT: I won't consider it for that purpose.

10 BY MR. LITTLE:

11 Q. Go on.

12 A. So I -- he said he'll reach out to you, and he did. I
13 got an email from Dr. Hruz. I think it was the same day, I
14 think. It was right around -- it was very close to the
15 presentation. I wrote him back and told him that I was happy
16 to meet with him and we scheduled a lunch.

17 Q. Can I ask you what he said in his email?

18 MR. PERKO: Objection, Your Honor. Calls for
19 hearsay.

20 MR. LITTLE: It's Dr. Hruz's email that we are
21 referring to, not Dr. Spack.

22 THE COURT: Dr. Hruz is going to testify?

23 MR. PERKO: Yes.

24 THE COURT: He can be impeached with his statement,
25 can he not?

1 MR. PERKO: Yes, sir.

2 THE COURT: I'll allow the testimony. If it turns
3 out it's not properly impeaching testimony, we will double
4 back and I won't consider it. He'll need to be confronted and
5 given an opportunity to explain it, but that can be done when
6 he testifies.

7 MR. PERKO: Thank you, Your Honor.

8 THE WITNESS: So his email said that he was very
9 interested in meeting me because he had questions that he
10 thought that I would be able to answer based on my experience
11 raising a transgender child. He said that he had done some
12 research, but that -- he had done some reading, but it wasn't
13 exhaustive, and he just felt like he could learn some things
14 from me. He said that he wouldn't try to debate me or change
15 my views.

16 But there were a couple of terms in the email that
17 caused me concern. He talked about morals and spiritual needs
18 of the children, and I thought that was interesting because I
19 didn't know how that really impacted the medical care that my
20 child needed. But I made the meeting and we had lunch I think
21 the same week of the presentation.

22 And when I got there, I sat down and I started to
23 talk about my son, telling him about my son, and I was going
24 to go on to tell him about my family's experience, but he
25 stopped me pretty quick. And he said, I looked at the

1 transparent brochure, and I know that your goal is to
2 normalize the transgender experience. And he said, your child
3 is not normal, and they will never be normal. And he said,
4 surgeries -- surgeries that attempt to change a person's
5 gender are, like, against God's will or God's plan.

6 And I listened. There were other things that were
7 said during this period of time. And I said, you know, men
8 have top surgeries. If they develop breasts, men have top
9 surgeries. He goes, well, that doesn't matter because men's
10 breasts serve no purpose. Women's breasts lactate and provide
11 nourishment for babies, so they could not have top surgeries.

12 And he went on to say, if you would read Pope John
13 Paul's writings on gender, I would understand God's plan for
14 gender. And I said, well, you know -- because he kept coming
15 to this -- to religious, like, he even said the thing about
16 reading Pope John Paul's writings probably five or six times
17 in our conversations.

18 So because he kept going down that vein, I said, you
19 know, the Bible also says that God created women from the
20 man's rib, and I go, you know, maybe this whole transgender
21 thing started right then, like mixing man's DNA over into
22 women, and like maybe the transgender experience is actually
23 God's design.

24 And he snapped at me and said, not all of the stories
25 in the Bible are true or accurate. And I said, how do you --

1 MR. PERKO: Can I have a standing objection to --

2 THE COURT: You can have a standing objection to
3 whatever Mr. Hruz said.

4 MR. PERKO: Thank you.

5 THE WITNESS: He said, not all the stories in the
6 Bible are true or accurate. And I said, well, how do you
7 decide what to believe and what to follow? And he said, your
8 child is a girl, and they will never be a boy. And I said, do
9 you know that children like mine have a 40 percent risk of
10 suicide if they don't have the love and support of their
11 parents? And he said, some children are born into this world
12 to suffer and die.

13 And then he said, you think I don't ask myself why
14 people die of cancer? And I said, well, people with cancer,
15 you will give them every known medical treatment available to
16 save their lives, and he said -- he stood up at that point and
17 he said, there will never be a transgender center at St. Louis
18 Children's Hospital. I will never allow it, but I'll pray for
19 you, and I'll pray for your family. And I said, and I'll pray
20 that you change your mind.

21 BY MR. LITTLE:

22 Q. Was there ever a transgender center opened at the
23 children's hospital?

24 A. There was.

25 Q. When did that open?

1 A. 2017.

2 Q. You mentioned a few aspects of the meeting. At any point
3 did Dr. Hruz express to you an interest in discussing the
4 science behind gender-affirming care?

5 A. No.

6 Q. Did it seem to you that his mind was already made up on
7 that topic?

8 A. Oh, yeah. Yes.

9 Q. What do you think his purpose was in meeting with you?

10 A. I think he wanted me to stop asking about a transgender
11 center. I think he wanted to make it clear. He had his --

12 THE COURT: Let me just say, if you have particular
13 objections -- this testimony is obviously objectionable. If
14 you have objections other than the 610 objection you made
15 earlier, then you need to make it.

16 MR. PERKO: Yes, Your Honor.

17 THE COURT: But, otherwise, I'm just going to listen.
18 I can tell all of you, I really don't care what Ms. Hutton
19 thinks Mr. Hruz' purpose was. It is admissible what Mr. Hruz
20 said. The rest of this, we can just give her an open mike and
21 let her talk, but --

22 MR. PERKO: Yes, Your Honor. Objection as to
23 speculation.

24 THE COURT: Sustained.

25 MR. LITTLE: It's the last question on that line of

1 inquiry.

2 BY MR. LITTLE:

3 Q. Okay. That was all I had to ask regarding Dr. Hruz. I
4 just have one final question for you.

5 Oh, right. Did Dr. Hruz ever examine your son or your
6 son's medical records?

7 A. Never. No.

8 Q. Was it your impression that Dr. Hruz was uninterested in
9 learning about your family's experience?

10 MR. PERKO: Objection, speculation.

11 THE COURT: Sustained.

12 BY MR. LITTLE:

13 Q. Okay. One final question unrelated to the meeting with
14 Dr. Hruz.

15 You already talked about the benefits you've observed
16 from your son receiving gender-affirming care. Is there
17 anything else you want to add for the record about your
18 experience as a mother raising a transgender child and what
19 you've observed through that experience?

20 A. Just, I would say that the fact that my son expressed
21 that at the age of two and a half for -- and across his
22 entire life, he has never once ever identified as female, it
23 makes me believe that he was absolutely born this way.

24 I think it's a -- his brain is wired in this way. It's
25 who he is. He's never once identified as female ever. And

1 he's living an incredibly successful life. He's productive,
2 he's happy, he's funny, he's smart. It's -- for our family,
3 it was absolutely the right decision to make, and even my son
4 is confirming that, like, continues to confirm that as he
5 continues to grow.

6 MR. LITTLE: Thank you so much for sharing with us
7 today.

8 THE COURT: Cross-examine?

9 MR. PERKO: Thank you, Your Honor.

10 CROSS-EXAMINATION

11 BY MR. PERKO:

12 Q. Just briefly, Ms. Hutton. You mentioned that a gender
13 clinic did open at Washington University in 2017; is that
14 correct?

15 A. Yes.

16 Q. Now, that clinic --

17 THE COURT: I'm sorry. I thought it was at the
18 children's hospital, and maybe that's associated with WashU.
19 So before you ask your question, let me just straighten it
20 out.

21 Are those affiliated entities?

22 THE WITNESS: They are affiliated. St. Louis
23 Children's Hospital is affiliated with Washington University
24 School of Medicine.

25 THE COURT: Got it.

1 BY MR. PERKO:

2 Q. That's the clinic I'm speaking of.

3 That clinic is currently under investigation by the
4 Missouri Attorney General's Office based on allegations of
5 improper treatment practices; isn't that correct?

6 A. That's correct.

7 Q. And those allegations were made by a case manager who
8 worked at the clinic?

9 A. Yes.

10 MR. PERKO: That's all I have, Your Honor.

11 THE COURT: Redirect?

12 REDIRECT EXAMINATION

13 BY MR. LITTLE:

14 Q. Ms. Hutton, are you familiar with the findings of the
15 investigation at the children's hospital?

16 A. I did read a report that they were all unfounded and
17 unsubstantiated. I did read something about that.

18 Q. Are you aware, a rule promulgated in the state that was
19 recently going to be enforced by the Attorney General in the
20 state? Are you aware of that rule?

21 A. I'm aware of that rule, and I heard yesterday that that
22 has been dropped.

23 MR. LITTLE: No further questions.

24 THE COURT: Thank you, Ms. Hutton. You may step
25 down.

1 Tell me where we stand. We'll probably take the
2 morning break. Give me the lineup for the day.

3 MR. GONZALEZ-PAGAN: We have our next witness
4 prepared. He would be the one joining the Zoom. So if we can
5 take our morning break now.

6 THE COURT: That's good. We will start back at 10:50
7 by that clock. And you can have the connection made by then,
8 that will be good. Thank you. We're in recess.

9 *(A recess was taken at 10:32 a.m.)*

10 *(The proceedings resumed at 10:50 a.m.)*

11 THE COURT: Please be seated.

12 MR. GONZALEZ-PAGAN: Your Honor, the plaintiffs would
13 call Dr. Aron Janssen.

14 THE COURT: Dr. Janssen, let me start by asking you a
15 question about logistics. Are you there in a room by
16 yourself?

17 THE WITNESS: I am.

18 THE COURT: If anyone comes into the room, if you
19 would just let us know, we'll deal with it; but, otherwise, we
20 will assume for the whole time you are there by yourself.

21 Please raise your right hand.

22 **ARON CHRISTOPHER JANSSEN, PLAINTIFFS' WITNESS, DULY SWORN**

23 THE COURT: Please state your name for the record.

24 THE WITNESS: My full name is Aron Christopher
25 Janssen, J-a-n-s-s-e-n.

1 THE COURT: Thank you. You may proceed.

2 DIRECT EXAMINATION

3 BY MR. GONZALEZ-PAGAN:

4 Q. Dr. Janssen, what is your profession?

5 A. I'm a child adolescent and adult psychiatrist.

6 Q. Where are you currently employed?

7 A. I am currently the vice chair of clinical affairs of the
8 Ann & Robert H. Lurie Children's Hospital of Chicago and an
9 associate professor of psychiatry at Northwestern University.

10 THE COURT: We're not hearing you terribly well. If
11 you would speak up nice and loudly for us, you may be able to
12 get closer to your microphone. Thank you.

13 THE WITNESS: Got it. Will do.

14 THE COURT: Much better. Thank you.

15 BY MR. GONZALEZ-PAGAN:

16 Q. Dr. Janssen, in this capacities, what is your role within
17 Lurie Children's Hospital and Northwestern?

18 A. My job is comprised of clinical care, and the clinical
19 care I provide is primarily with youth and young adults with
20 gender dysphoria. In addition, I do administrative work,
21 research, teaching, systems-based advocacy.

22 THE COURT: Say the last thing again.

23 THE WITNESS: Systems-based advocacy, building
24 services for patients with mental health concerns.

25 BY MR. GONZALEZ-PAGAN:

1 Q. And prior to your role at Lurie Children's Hospital,
2 where did you work?

3 A. Prior to Lurie Children's, I was on faculty at New York
4 University.

5 Q. And what was your role there?

6 A. I was the founder and director of gender and sexuality
7 service and the co-director at the pediatric consultation
8 liaison service.

9 Q. Could you please describe your practice at present?

10 A. At present?

11 Q. Yes.

12 A. Presently, my clinical work is almost exclusively with
13 transgender and gender-diverse young people and young adults,
14 and I have a particular niche in the world of co-occurring
15 mental health issues among this population.

16 THE COURT: Dr. Janssen, I may have made this worse
17 rather than better when I told you to get closer to your
18 microphone. We're getting some echo. Let's start farther
19 away from the microphone but still speaking up loudly.

20 BY MR. GONZALEZ-PAGAN:

21 Q. Dr. Janssen, about how many gender-diverse children and
22 transgender adolescents and adults have you worked with
23 throughout your career?

24 A. I have worked with over 500 patients.

25 Q. And you mentioned that most of your practice deals with

1 gender-diverse children and gender adolescents.

2 About what percentage of your practice is dedicated to
3 that population?

4 A. Approximately 95 percent of my practice is dedicated to
5 that population.

6 Q. Is there any particular conditions that you treat in your
7 practice working with this population?

8 A. In working with this population, I treat the whole gamut
9 of co-occurring psychiatric disorders, and my area of focus
10 that I have published on is with co-occurring mental health
11 issues among transgender and gender-diverse youth and young
12 adults.

13 Q. Do you make any diagnoses or provide treatment for gender
14 dysphoria?

15 A. I routinely make diagnoses and provide treatment for
16 gender dysphoria.

17 Q. Are there any clinical guidance that you utilize in your
18 work?

19 A. I use the WPATH Standards of Care, the World Professional
20 Association of Transgender Health Standards of Care, as
21 guidelines for my practice, in addition to the standard
22 review of all updated scientific literature on the topic and
23 my previous history and training.

24 Q. How long have you been providing care to gender-diverse
25 children and transgender adolescents and adults?

1 A. For approximately 15 years.

2 Q. You said that you spent --

3 A. I began on faculty in 2011, so since that time. But I
4 did work with transgender and gender-diverse young people and
5 adults in my training a well.

6 Q. Thank you. You mentioned that you spend some of your
7 time doing also research.

8 What are the specific areas of study that you research?

9 A. The specific areas I study are transgender mental health,
10 so co-occurring mental health issues with gender dysphoria,
11 suicide prevention, and system development.

12 Q. Have you published any research or scholarly articles
13 related to the treatment of gender dysphoria?

14 A. Yes, I have.

15 Q. How many articles?

16 A. On last count I have published, I think it's about 24
17 peer-reviewed articles on gender dysphoria.

18 Q. And have those been in peer-reviewed journals?

19 A. Yes.

20 Q. And you mentioned that you utilize the Standards of Care
21 from the WPATH.

22 Did you have any role in the promulgation or development
23 of the Standards of Care, Version 8?

24 A. I was involved in writing two of the chapters, the
25 chapter on children and the chapter on adult mental health.

1 Q. Are you member of the WPATH?

2 A. I am.

3 Q. Are you on the board of WPATH?

4 A. No.

5 Q. Are you a member of any other medical organizations?

6 A. I'm a member of the American Academy of Child and
7 Adolescent Psychiatry.

8 Q. Did you submit a curriculum vitae as an attachment to
9 your report in this case?

10 A. I did.

11 Q. And does that curriculum vitae accurately reflect your
12 professional background and experience?

13 A. It does.

14 MR. GONZALEZ-PAGAN: Your Honor, Dr. Janssen's
15 curriculum vitae is one of the stipulated exhibits,
16 Plaintiffs' Exhibit 364.

17 THE COURT: Plaintiffs' 364 is admitted into
18 evidence.

19 (PLAINTIFFS' EXHIBIT NO. 364: Received in evidence.)

20 MR. GONZALEZ-PAGAN: Your Honor, at this time I will
21 ask that Dr. Janssen as a psychiatrist and researcher be
22 qualified as an expert on the study, assessment, diagnosis,
23 and treatment of gender dysphoria.

24 THE COURT: Questions at this time?

25 MR. PERKO: No questions, Your Honor.

1 THE COURT: You may continue.

2 BY MR. GONZALEZ-PAGAN:

3 Q. Dr. Janssen, there has been testimony in this case
4 already about the nature of gender dysphoria and gender
5 identity, but I want to ask specifically a little bit about
6 your clinic experience and understanding of the
7 recommendations and guidelines with regard to this diagnosis.

8 What is your understanding of the diagnosis or assessment
9 of children or adolescents with gender dysphoria?

10 A. Well, first, it's important to note that there are two
11 different diagnoses in the DSM-5. So there's gender
12 dysphoria in children and then gender dysphoria in
13 adolescents and adults.

14 For both, gender dysphoria refers to the incongruence
15 between the sex assigned at birth and one's gender identity
16 and significant distress in multiple areas of functioning
17 that result from that incongruence.

18 Q. And are the diagnostic criteria for children and
19 adolescents different?

20 A. The diagnostic criteria for children require more
21 elements in order to make the diagnosis.

22 Q. And you mentioned that these are diagnoses that are
23 contained within the DSM-5.

24 Is the DSM-5 the Diagnostic and Statistical Manual of
25 mental disorder published by the American Psychiatric

1 Association?

2 A. That is correct.

3 Q. And is it something that you routinely utilize in your
4 work?

5 A. It is.

6 Q. Are there any medical interventions associated with the
7 diagnosis of gender dysphoria in children prior to the onset
8 of puberty?

9 A. There are no medical interventions for gender dysphoria
10 in children.

11 Q. Some of the State's designated experts and even the State
12 suggest that allowing a child to socially transition puts
13 them on a path to needing interventions in the future or that
14 it makes them more likely to persist in their transgender
15 identity.

16 What is your response to that?

17 A. There's no evidence to support that claim. The best data
18 we have about persistence in social transition is that it is
19 likely the kids who have the most intense amount of gender
20 dysphoria who are both likeliest to socially transition as
21 well as likeliest to persist.

22 Q. And what is your understanding of what "gender identity"
23 is?

24 A. Gender identity is a complex construct, but that at the
25 end of the day it's about how one identifies their own sense

1 of gender.

2 Q. Is gender identity a sex-related characteristic?

3 A. It is one of the multiple sex-related characteristics.

4 Q. And once an adolescent hits the onset of puberty, is it
5 likely that they would desist from their gender identity?

6 A. The data on persistence and desistance is specific to a
7 diagnosis of gender dysphoria. The best data we have
8 suggests that children who meet criteria for the diagnosis of
9 gender dysphoria in childhood, when heading to Tanner Stage 2
10 of puberty, so the initial stages of puberty. For those
11 children who persist in that diagnosis, that diagnosis is
12 highly likely, more than 95 to 99 percent likely, to persist
13 through adulthood.

14 Q. We have been discussing young people that have
15 experienced gender dysphoria or were diagnosed with gender
16 dysphoria in childhood and then go on to receive medical care
17 after the onset of puberty.

18 What about young people who present for treatment after
19 they have initiated puberty? Is that a different phenomenon
20 or is their gender identity more likely to persist?

21 A. There are multiple developmental processes, and when we
22 talk to transgender adults and ask them about their early
23 experiences, we hear a myriad of trajectories in terms of
24 when folks recognize their identity.

25 By and large, even the people who are presenting

1 postpuberty had some sense of differentness around gender
2 identity prior to that period. And there is no indication
3 that we have from the scientific literature that those
4 individuals are any less likely to persist after that.

5 THE COURT: Let me make sure I understood the answer
6 clearly. You said "prior to that period." I want to make
7 sure I know what "that period" was that you were describing.
8 That may require you to go back and remember exactly how you
9 said.

10 THE WITNESS: Yeah.

11 THE COURT: That may be asking a lot. Tell me again
12 what you said about people who first present after -- I take
13 it, it was after puberty, when they're telling you when they
14 first recognized this, tell me again.

15 THE WITNESS: Sure. Most individuals can point to a
16 period of time in childhood in which they recognized there was
17 a difference in their gender identity, but it was not
18 something disclosed at the time or clearly articulated. It is
19 not any less likely that those individuals are going to not
20 persist or persist, like they are just as likely to persist as
21 those individuals who clearly articulated in early childhood.

22 Does that answer the question?

23 THE COURT: It does, and now I have one more question
24 about that. You said people recognize something in childhood.
25 And when you say "childhood" there, are you referring to

1 prepuberty?

2 THE WITNESS: Correct. There are many people who
3 present for initial care postpuberty or even in adulthood or
4 later adulthood who nevertheless have some recognition of
5 difference prior to puberty. There are others who present
6 with distress related to puberty. So that is another common
7 trajectory that is not atypical in this population.

8 BY MR. GONZALEZ-PAGAN:

9 Q. Thank you, Dr. Janssen.

10 You've been discussing some this multiple or different
11 pathways by which a person comes to understand their gender
12 identity and present for care for gender dysphoria.

13 Can a person develop gender dysphoria based on social
14 influences?

15 A. Social influences cannot create gender dysphoria just
16 like they do not create other medical diagnoses or
17 psychiatric diagnoses.

18 Q. Some of the State's designated experts have spent a great
19 deal of time discussing a theory that an increase in the
20 number of transgender boys in late adolescence presenting to
21 gender clinics for treatment for gender dysphoria is a result
22 of peer pressure or social contagion.

23 What is your response to that?

24 A. I have a few different responses to that.

25 First, it is a normal developmental process for

1 adolescents to seek out peers with shared experiences. This
2 is not unique to transgender and gender-diverse young people.
3 We see this with all types of minoritized youth where they
4 seek out affinity groups with those that share their
5 experiences.

6 So it is my experience working in this population that
7 transgender youth seek out those social connections. It's
8 not the social connections that leads to the identity, but
9 it's the experience of the incongruence and the identity that
10 leads to seeking out these social groups.

11 Q. Dr. Janssen, you've worked at two major institutions in
12 two large states in different parts of the country.

13 Do you have an awareness of or keep up with the practices
14 of other child and adolescent psychiatrists or other mental
15 health professionals outside these institutions?

16 A. I've had the privilege of presenting and participating in
17 conferences and events all over the country and the world,
18 and in every event that I have been in, I have had
19 opportunities to speak with practitioners and colleagues.
20 And I've also had the opportunity to collaborate with a
21 number of national and international colleagues in the work
22 that I have done.

23 Q. One of the State's designated experts asserts that
24 psychiatrists believe that social media has influenced the
25 rise in gender dysphoria.

1 What is your response to that?

2 A. Well, first, there is no evidence to suggest that social
3 media has led to an increase in identification as transgender
4 among our youth.

5 The second is that there is no evidence to suggest that
6 this is a widely-held belief of most child psychiatrists. In
7 fact, in the spaces that I've worked in where I have a lot of
8 opportunity to engage with and collaborate with child
9 psychiatrists, I always have a robust discussion with folks
10 after I've given a talk, and there's never been this
11 significant groundswell of concern that this etiology that
12 folks express concern.

13 Q. The State's designated expert also references
14 conversations that he has had to argue that most
15 psychiatrists admit that they not only believe that social
16 media has contributed to a rise in gender dysphoria, but also
17 that they will not speak in public on the subject because of
18 how sensitive it is.

19 How does that accord with your experience?

20 A. I have had the pleasure of working in ACAP in a number of
21 different committees including the sexual orientation and
22 gender identity committee. As I mentioned, I have had
23 opportunities to present on gender dysphoria in multiple
24 fora. I have never had any concern about people raising
25 opinions that differ from prevailing opinions of the time,

1 and we welcome robust debate and discussion about best
2 practices and improvements and evidence-based care for these
3 youth.

4 Q. You mentioned ACAP. By this, do you refer to the
5 American Academy for Child and Adolescent Psychiatrists?

6 A. That's correct.

7 Q. Dr. Janssen, what is your understanding of what causes
8 gender dysphoria?

9 A. Gender dysphoria is likely to be caused by a
10 multifactorial etiology. We have some data that suggests
11 there's a genetic component to this, and that monozygotic
12 twins are more likely to share the diagnosis of gender
13 dysphoria than dizygotic twins versus siblings. There is
14 some data on structural changes that we see within the brain,
15 but we don't have a single entity that causes gender
16 dysphoria, and like many psychiatric illnesses, it is likely
17 to be quite multifactorial.

18 Q. Does the fact that someone's understanding of their
19 gender identity change over time mean that their gender
20 identity has changed?

21 A. It does not. It is a common process for individuals to
22 evolve, and how they understand, how they label and how they
23 express their gender identity does not mean that gender
24 identity has changed.

25 Q. Some of the State's designated experts point to a shift

1 in the ratios of the patients that have been presenting for
2 care as evidence that gender dysphoria is socially influenced
3 or that we're dealing with a different phenomenon.

4 What is your response to that?

5 A. If we look at prevalence data, what we see in adulthood
6 is that there's generally a 1-to-1 ratio of individuals
7 assigned male at birth and assigned female at birth who
8 identify as transgender or who have a diagnosis of gender
9 dysphoria. Throughout the time in this field, we have seen
10 wide variations in differences of sex ratio in childhood.
11 When years ago it was a 5-to-1 ratio in some clinics of
12 assigned males at birth presenting comparatively to assigned
13 females at birth, we would anticipate that there would be
14 some changes --

15 Q. Dr. Janssen, you sort of -- we lost you a little bit.
16 You sort of disappeared a little bit in the last sentence.
17 If you can just speak loudly and restate what you were
18 stating.

19 A. Sure. What we saw is 20 years ago the sex ratios were
20 quite different with significantly more assigned males at
21 birth presenting for care than assigned females. That rate
22 of adults who identify as transgender has not changed. So
23 while social influence may impact who is seeking out care or
24 how that distress is experience, it's not an influence in
25 defining how people are identified.

1 And the other important note is that care was widely
2 unavailable prior to the last 10 to 15 years, and so we would
3 anticipate an increase in rates of seeking care in the
4 context of that care being available.

5 THE COURT: Before you move on to something else.

6 Doctor, I'm not sure I heard properly or followed the
7 description of 20 years ago, the 5-to-1 ratio, and what the
8 ratio is now, and what point you were making with all of that.
9 Back up and walk me through it again.

10 THE WITNESS: Sure. So, if we look at just who is
11 showing up to clinics, it's going to be a sample of kids
12 that's not always representative of the national population of
13 individuals who are transgender, and that there are factors
14 that are going to influence which kids present to which kind
15 of care at what time. It doesn't mean that that is creating
16 gender dysphoria more for boys than it was for girls 20 years
17 ago or more recently now, that it's creating gender dysphoria
18 more for assigned females at birth than assigned males at
19 birth. It just means there's a lot of variability and that
20 social context influences who is seeking care.

21 THE COURT: So what was the situation 20 years ago?
22 Tell me what you know about the ratio of trans boys and trans
23 girls. And I guess I should get you to tell me whether we are
24 talking about the whole trans population or just boys and
25 girls or all males and females.

1 Tell me what the ratio was between those presenting
2 for care 20 years ago, and those who had the condition 20
3 years ago, if that's something you know, and then bring that
4 forward to today and tell me what the same situation is today.

5 THE WITNESS: Sure. So 20 years ago, what we saw in
6 the major pediatric gender clinics was that it was a much
7 significantly more likely scenario for a kid assigned male at
8 birth, so somebody who identifies as female but was born with
9 assigned male gender, to present for care in the opposite.

10 What we are seeing now is that it is more likely to
11 see folks who are assigned female at birth than folks assigned
12 male at birth.

13 The challenge is the structure of those clinics, who
14 had access to care and what was the social context of the
15 time. Throughout that period, 20 years ago and today, we
16 haven't seen changes in the sex ratio difference in
17 transgender adults, and so what we're looking at is really a
18 difference in who is presenting for care as opposed to a
19 difference in the characteristics of the population.

20 THE COURT: How do you know that difference isn't
21 related to fluidity in identification?

22 THE WITNESS: My answer for that would be on an
23 individual level. A part of our assessment is recognizing
24 what is and isn't fluid, how symptoms persist over time, the
25 amount of distress that that leads in the social context in

1 which that assessment occurs. It's inherent to the practice
2 of mental health that we are assessing social context as a
3 part of a diagnostic evaluation, and that's not the experience
4 that I've had or that my colleagues who do this work has had
5 that there is a difference in etiology or a difference in
6 mechanism or fluidity that's leading to these changes.

7 THE COURT: So when you referred a minute ago to the
8 adult trans population by gender, was that based on people
9 presenting for treatment or some kind of study in the
10 population at large?

11 THE WITNESS: Those are population-based studies.

12 THE COURT: So if I understand what you told me, the
13 population-based studies showed the same results 20 years ago
14 as today, but the treatment patterns for children were
15 different 20 years ago than today.

16 THE WITNESS: Correct. And treatment availability
17 was different 20 years ago from today. And so there were a
18 number of folks who would not present for care because there
19 was no treatment available. As treatment becomes available,
20 you have people presenting for care.

21 THE COURT: So the conclusion you draw from all of
22 that is that what I would call social factors including the
23 availability of treatment is what explains the difference in
24 the ratio of children presenting for treatment, but not that
25 there was any change in the 20 years in the number of trans

1 individuals. Is that --

2 THE WITNESS: Correct.

3 THE COURT: Got it. All right. You may continue.

4 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

5 BY MR. GONZALEZ-PAGAN:

6 Q. Thank you, Dr. Janssen.

7 One argument that has been made is that providing medical
8 care for adolescents diagnosed with gender dysphoria
9 essentially ensures that they will persist in their
10 transgender identity.

11 What is your response to that?

12 A. There's no evidence to support that assertion. We are
13 not making recommendations for individuals to pursue medical
14 treatment until they have met very clear criteria and there
15 has been a thorough assessment of appropriateness and medical
16 necessity of that intervention.

17 Q. Similarly, an argument has been made that allowing a
18 minor, whether a child or adolescent, to socially transition
19 ensures that they will persist in their transgender identity.

20 What is your response to that?

21 A. That is a claim that there is no evidence to support, and
22 the preponderance of the evidence actually says the opposite.
23 When we followed kids that socially transitioned, those that
24 accessed care versus those that did not access care, have no
25 difference in the persistence rates among those groups.

1 So it's not that your medical care leads to persistence.
2 That persistence is going to persist. If you have a
3 transgender gender identity that will persist regardless
4 whether or not you have access to care.

5 Q. You've talked a little bit about the assessment done of
6 adolescents before obtaining medical treatment.

7 What does the assessment for an adolescent for gender
8 dysphoria entail?

9 A. Sure. The primary components of an assessment are, one,
10 a full diagnostic evaluation. What we want to understand is
11 that the presence, the diagnosis of gender dysphoria has been
12 persistent, and that the diagnostic criteria are met.

13 This diagnosis is made not just with an interview with
14 the patient themselves but also looking at other criteria,
15 other informants.

16 The second is any co-occurring mental health and
17 psychiatric disorders, how they may or may not influence the
18 diagnosis of gender dysphoria.

19 The third is making sure we have a very clear
20 understanding, both the patient themselves and whoever the
21 caregiver or the parents may be of the specific risks,
22 benefits, and alternatives, which include both the known and
23 unknown risks of whatever that intervention is.

24 The fourth is recognizing the social context in which the
25 treatment happens.

1 So that's all the components of an evaluation in this
2 context.

3 Q. Dr. Janssen, the State's designated expert point to the
4 rates of other psychiatric diagnoses among people presenting
5 with gender dysphoria as a reason to not provide
6 gender-affirming medical treatment because presumably this
7 diagnoses make identifying someone who is really transgender
8 more difficult.

9 What is your response to that?

10 A. A child who presents to a psychiatric clinic with a
11 diagnosis of ADHD is more likely to have a co-occurring
12 mental health diagnosis than somebody presenting with gender
13 dysphoria. And yet we are able to make a diagnosis of ADHD
14 plus any other co-occurring diagnoses and make treatment
15 plans that are based upon the diagnoses -- all of the
16 diagnoses that an individual presents with.

17 So if an adolescent presents with gender dysphoria and
18 co-occurring mental health conditions, we are making all of
19 those diagnoses and coming up with a comprehensive treatment
20 plan to address each of those individually.

21 Q. Do the clinical practice guidelines and standards of care
22 make any recommendations of how to deal with the presence of
23 co-occurring conditions?

24 A. They do. It's important that co-occurring conditions are
25 treated. And if co-occurring conditions impair the

1 individual's capacity to understand the interventions in
2 question, we have to treat those conditions before any
3 medical care for gender dysphoria would be initiated.

4 Q. Is there any evidence that addressing a co-occurring
5 condition on its own leads to the resolution of a person's
6 gender dysphoria?

7 A. No. There is no evidence that treating co-occurring
8 mental health conditions resolves gender dysphoria.

9 Q. And why not?

10 A. It's a different diagnosis. In the same way that we
11 wouldn't expect that treating anxiety is going to get rid of
12 ADHD. Treating anxiety is not going to get rid of gender
13 dysphoria.

14 It is a separate diagnostic entity with different
15 etiologic factors. We would hope that as you treat
16 co-occurring mental health conditions that quality of life
17 improves, but we would not anticipate any impact on the
18 gender dysphoria that is present.

19 Q. We talked a little bit about the assessment of the
20 diagnosis of gender dysphoria. Backing up, I'm sorry.

21 Does the presence of co-occurring conditions among
22 transgender people with gender dysphoria surprise you?

23 A. It's in no way surprising. There are a number of
24 reasons:

25 Number 1, one out of five individuals are going to have a

1 diagnosable mental illness that requires care prior to
2 graduating from high school. Transgender folks aren't
3 different from the population --

4 Q. Dr. Janssen, if you can restart and just enunciate and be
5 a little bit louder.

6 A. Of course. Sorry about that.

7 In the general population in the United States, one out
8 of five individuals will have a diagnosable mental illness by
9 the time they graduate high school that requires care.

10 So we would anticipate transgender folks in addition to
11 that are also subjected to what we call minority stress.

12 There is a theory that says that the daily stigma and
13 experiences of bias influence mental health outcomes and lead
14 to increased rates of things such as depression and anxiety.

15 So many kids are struggling with mental health right now.
16 Transgender kids have the additional burden of managing
17 stigma and bias and often family rejection.

18 Q. Can you tell me a little bit about the role of the
19 medical health professional in deciding whether to -- whether
20 a patient should undergo gender-affirming medical care?

21 A. Of course. The process of the mental health professional
22 is to do that evaluation that I articulated the components of
23 earlier to assess the readiness and appropriateness of an
24 individual to proceed with medical care or surgical care.

25 Q. In your practice, have you provided letters of assessment

1 in support of medical interventions?

2 A. Yes, I have.

3 Q. Have these letters been for deprivation of
4 puberty-delaying medications?

5 A. Yes.

6 Q. What about hormones?

7 A. Yes.

8 Q. And surgery?

9 A. Yes.

10 Q. Specifically, with regards to puberty-delaying
11 medications, when discussing the risks and benefits of the
12 medical intervention with the patient and their parent or
13 guardian, as part of deciding whether to provide an
14 assessment letter recommending that medical intervention,
15 what is the process that you undergo with the patient and
16 their parent or guardian?

17 A. The process involves a comprehensive assessment or
18 evaluation. Again, we want to understand:

19 Is there a diagnosis of gender dysphoria that is present
20 that has been persistent over time.

21 Does it lead to distress in multiple areas of
22 functioning?

23 Are there any co-occurring mental health conditions that
24 would cloud that diagnosis or make it inappropriate to
25 proceed with medical care?

1 And is that medical care necessary?

2 And if it is, can the child understand and articulate to
3 the best of their ability the risks, benefits, alternatives
4 of that intervention, and can the parents provide consent for
5 that intervention?

6 So it's a very comprehensive evaluation that involves
7 discussions with multiple components and multiple individuals
8 to look at how these symptoms present across multiple social
9 contexts.

10 Q. And that would be similar with regards to hormones and
11 surgery?

12 A. Presumably, the adolescents and young adults who are
13 seeking out hormones and surgery are older, so the process by
14 which you elicit that information will be different, but it
15 is analogous in terms of the components of that assessment.

16 Q. In your experience, is this a process that mental health
17 providers qualified to do assessment and diagnosis for gender
18 dysphoria follow as well?

19 A. It is the standard of care, and it is my experience that
20 practitioners follow this, yes.

21 Q. You mentioned that as part of the informed consent
22 process that you engage in with your patients that you have
23 to be aware of the risk and benefits of the treatment and
24 that you also do some research in this arena.

25 Are you familiar with the body of research with regards

1 to the efficacy of gender-affirming medical intervention to
2 treat gender dysphoria?

3 A. Yes, I am.

4 Q. In your opinion, what does the body of research tell us
5 about the efficacy of the puberty-delaying medications to
6 treat gender dysphoria?

7 A. Well, what we see is an improvement in the quality of
8 life, mental health outcomes, and some relief of symptoms
9 related to gender dysphoria.

10 Q. How does this accord with your clinical experience?

11 A. It's a little drier when talking about it from the data
12 perspective comparatively to the profound positive impact we
13 see when kids get access to this care.

14 One thing that is frequently not discussed in the
15 delivery of gender-affirming care is the risks of not
16 intervening and how terrifying pubertal development is for
17 transgender youth with gender dysphoria.

18 And the relief that kids and young people experience when
19 they are able to have puberty-blocking medications initiative
20 initiated is quite profound.

21 Q. In your opinion what does the body of research tell us
22 about the efficacy of hormones to treat gender dysphoria?

23 A. We see improved body congruence, improved quality of
24 life, improvement in mental health symptoms, and improvement
25 in gender dysphoria symptoms.

1 Q. And how does that accord with your clinical experience?

2 A. Again, I see a tremendous benefit from these
3 interventions. You have individuals who blossom and are able
4 to express and live their lives according to their
5 experienced gender, and you see so much joy and improvement
6 in functioning when kids get access to this care.

7 Q. In your opinion, what does the body of research tell us
8 about the efficacy of surgery to treat gender dysphoria?

9 A. The preponderance of evidence that it is safe, it's
10 effective, improves quality of life, improves mental health
11 outcomes. And for some people, it's actually curative of the
12 gender dysphoria. We see significant improvements in gender
13 dysphoria symptoms.

14 Q. And how does this accord with your clinical experience?

15 A. Similarly, I see patients who are able to live their
16 lives more freely, more openly, and with more satisfaction
17 and significant improved mental health.

18 Q. And you stated that you work with the spectrum both from
19 children, adolescents, young adults and adults in providing
20 care.

21 When we're talking about adolescents, what are the
22 surgeries we are talking about?

23 A. Primarily, we're talking about top surgery. "Chest
24 masculinization" is another name to describe it.

25 Q. When we're talking about adults, people over 18, do you

1 have experience with patients who have obtained surgery as
2 well?

3 A. I do, yes.

4 Q. And can you tell us a little bit about that experience?

5 A. Sure. So, in addition to the chest masculinization,
6 patients can opt for vaginoplasty, phalloplasty, facial
7 feminization surgery, et cetera, and I work with patients who
8 have had all of those procedures.

9 Q. And what have you observed in your patients that have had
10 those procedures?

11 A. The patients for whom those procedures are medically
12 indicated and medically necessary see tremendous benefit,
13 both in their symptoms as well as their quality of life and
14 functioning.

15 Q. Let me ask you this:

16 Is there any evidence that psychotherapy alone is
17 sufficient to resolve a person's gender dysphoria?

18 A. There is no evidence to suggest that. In individuals for
19 whom medical care is necessary, there's no substitute for
20 that medical care, and there is no role for psychotherapy in
21 eliminating those gender dysphoria symptoms in those
22 patients.

23 Q. The State's designated experts have testified about how
24 the provision of puberty-delaying medications is purportedly
25 a one-way road to further medical interventions.

1 I think you've covered some of this ground, but what is
2 your response to that assertion?

3 A. That assertion is not backed up by the evidence. When we
4 look at children who have socially transitioned, their rates
5 of persistence of that identity are independent of whether or
6 not they have access to puberty-blocking medications.

7 Q. Is there any evidence that puberty-delaying medications
8 access some type of switch by which children go on to persist
9 in a transgender identity?

10 A. No.

11 Q. Some of the State's experts argue that mental health
12 professionals believe that a patient who suffers gender
13 dysphoria based -- let me restart that.

14 Some of the State's experts argue that mental health
15 professionals believe that a patient suffers gender dysphoria
16 simply by relying on the patient's self-report and taking it
17 at face value without any scrutiny.

18 What is your response to that?

19 A. I think that opinion belies what mental health care is
20 and how we provide that care. In our training of all mental
21 health professionals, we recognize that the patient's
22 individual history in psychiatry just like in other aspects
23 of medicine is but one component of the diagnostic
24 evaluation. We are looking at exam findings. We are looking
25 at other historical elements. We are looking at other

1 informants to describe experiences across multiple contexts
2 to get the most accurate diagnosis that we can make.

3 Q. One of the State's experts criticizes the American
4 Academy of Child and Adolescent Psychiatry for taking, what
5 is according to him, inconsistent positions regarding the
6 capacity of minors. Specifically, he points to an *amicus*
7 brief filed by the Academy arguing that an adolescent's
8 mental capacity should be taken into account when the
9 adolescent is being adjudicated for criminal sentencing, but
10 then supporting the provision of gender-affirming medical
11 interventions for adolescents in the same age range.

12 What is your response to that?

13 A. This is a bit of an apples-to-oranges comparison. In one
14 case, we are talking about an individual being exposed to
15 legal consequences that will follow that patient throughout
16 their life in an incident that happens in the moment;
17 whereas, with gender-affirming care, a part of our assessment
18 is understanding the maturity level, a cognitive step -- of
19 these actions. And these are not --

20 THE COURT: You froze on us there, so --

21 THE WITNESS: Sorry.

22 THE COURT: Back up.

23 THE WITNESS: I saw my connection was unstable for a
24 moment. I apologize. I can restart, if that works.

25 BY MR. GONZALEZ-PAGAN:

1 Q. If you don't mind restarting, that would be great.

2 A. Sure. So, as I was saying, it's a bit of an
3 apples-to-oranges comparison. In the one case we have
4 individuals who are participating in an alleged act that is
5 going to have lifelong legal consequences for them.

6 For gender dysphoria care, it is inherent to our
7 assessment that we are evaluating an individual's cognitive
8 capacity, capacity to understand, ability to think through
9 potential consequences. And these are discussions and
10 assessments that occur longitudinally over time, and that
11 these are decisions that children and family are making over
12 a long period and not in a moment. So it's a very different
13 process.

14 Q. Dr. Janssen, does the presence of clinical depression or
15 other psychiatric co-occurring conditions affect the capacity
16 of an individual to providing informed consent or assent to
17 medical care?

18 A. Capacity is a time- and decision-specific evaluation.
19 And so there is no one blanket to say yes or no. However, it
20 would be highly unlikely, very, very rare for depression or
21 most psychiatric diagnoses to lead to an incapacity to
22 consent to this care. Even among our most severely mentally
23 ill patients with chronic psychotic disorders, a vast
24 majority of those individuals retain capacity to consent to
25 specific medical care.

1 Q. Dr. Janssen, some of the State's designated experts
2 criticize medical organizations for taking positions in
3 support of gender-affirming medical care and state that the
4 taking of these positions delegitimizes and politicizes
5 medical care.

6 What is your response to that?

7 A. It is common for medical and professional organizations
8 to make statements in support of what is the best and most
9 evidence-based interventions for any particular condition.
10 It would be not atypical and very appropriate for an academy
11 to support this evidence-based care.

12 Q. Some of the State's designated experts say that these
13 organizations' positions lack legitimacy because they have
14 been discouraging or silencing diverse or opposing
15 viewpoints.

16 What is your response to that?

17 A. In all of the organizational meetings and conferences
18 that I have been present for, I have never seen a stifling of
19 academic debate about best practices in this population.

20 Q. One of the State's designated experts opines that, even
21 transgender adults and the parents and caregivers of
22 transgender adolescents are unable to provide informed
23 consent because there is no full accounting of all the
24 potential risks associated with gender-affirming medical
25 interventions.

1 What is your response to that?

2 A. One of the things that I value most about my profession
3 of medicine is that we are constantly learning new
4 information. There is not a single medicine, not a single
5 procedure, not a single surgery, not a single intervention
6 for which every risk or potential risk is known. It is a
7 part of our informed consent process that we talk about what
8 is known but also what is not known. If we were to hold up
9 this standard that unless we knew every single potential
10 risk, there would not be a single medicine, a single
11 procedure or a single surgery we would ever be able to get
12 consent.

13 Q. Dr. Janssen, I would like to talk about the harms that
14 people may experience for not having access to care.

15 Can you tell me a little bit about what effect the lack
16 of access to gender-affirming medical interventions has on
17 transgender people with gender dysphoria?

18 A. Sure. I would put this in two different buckets.

19 The first is the lack of access to care itself. And so
20 we have treatments that are effective and safe for gender
21 dysphoria; and if you don't treat the gender dysphoria, the
22 gender dysphoria will get worse, and that will lead to
23 increasing, to health consequences; and, unfortunately, we
24 see things such as increased rates of suicidal ideation and
25 attempted suicide.

1 The second bucket is the changes in the physical habitus.
2 As individuals who are transgender and have gender dysphoria
3 do not have access to care, their bodies are going to proceed
4 through puberty in a way that's unaligned with their
5 identity. That creates a tremendous amount of distress.

6 And finally, lacking access to care in and of itself
7 creates like a pathology among youth. Kids who have
8 experienced and young adults who have experienced
9 discrimination or in states in which laws have been passed
10 that bar access to care, we see increased rates of suicide
11 attempts, we see increased searches for suicide online. So
12 there is a number of consequences that are quite profound
13 when kids lack access and young adults lack access to this
14 care.

15 Q. Dr. Janssen, did you have an opportunity to review the
16 regulation at issue in this case?

17 A. I did.

18 Q. And did you have an opportunity to review the GAPMS
19 report in support of that regulation?

20 A. Yes.

21 Q. Did the GAPMS report take into account any of those harms
22 you just discussed?

23 A. It did not.

24 Q. Dr. Janssen, in your opinion is the provision of
25 gender-affirming medical intervention to treat gender

1 dysphoria experimental?

2 A. It is not experimental. It has a robust evidence base
3 and is safe and effective.

4 MR. GONZALEZ-PAGAN: Thank you, Dr. Janssen.

5 No further questions, Your Honor.

6 THE COURT: Cross-examine?

7 MR. PERKO: Yes, Your Honor.

8 CROSS-EXAMINATION

9 BY MR. PERKO:

10 Q. I guess it's still morning, Dr. Janssen. Good morning.
11 I just have a few questions.

12 A. Good morning to you.

13 Q. I just have a few questions for you.

14 Dr. Janssen, you're a psychiatrist, correct?

15 A. That is correct.

16 Q. You're not an endocrinologist?

17 A. Correct.

18 Q. And you're not a surgeon?

19 A. Not a surgeon.

20 Q. And the opinions you just expressed are based at least in
21 part on your experience as a clinician. Is that fair to say?

22 A. In part, yes.

23 Q. And that would include personal observations?

24 A. Correct.

25 Q. It also include discussions with colleagues?

1 A. Correct.

2 Q. Moving on: You have been a member of WPATH since 2011;
3 is that correct?

4 A. That's correct.

5 Q. And you served on the revision committees for the child
6 and adult mental health chapters of Version 8 of the WPATH
7 Standards of Care?

8 A. I did.

9 Q. And the adult chapter is Chapter Number 5; is that
10 correct?

11 A. I believe the adult chapter is actually 18, but I don't
12 have it in front of me, so I don't know the specific number.
13 But it's the last chapter.

14 Q. And the chapter on children is Number 7?

15 A. Number 7 is correct.

16 Q. For those two chapters, did the authors include any
17 individual who is not a medical profession?

18 A. In the child chapter, yes.

19 Q. And what was that author's field?

20 A. She was the parent of a transgender child and also ran a
21 charity in the United Kingdom supporting transgender youth.

22 Q. To your knowledge, do all the individuals who assisted in
23 drafting Chapter 18 approve of gender transition treatments
24 to treat gender dysphoria?

25 A. Yes.

1 Q. Would the same be true for all the individuals who
2 assisted in drafting Chapter Number 7?

3 A. Medical transition and surgical transition is not an
4 indicated treatment for gender dysphoria in children, so it
5 was not relevant to that specific chapter.

6 Q. Fair enough.

7 Now, these both chapters had to be ultimately approved by
8 the board of directors of WPATH; is that correct?

9 A. It was approved through a Delphi process of all of the
10 co-authors and involved the board, yes.

11 Q. Now, moving on. Doctor, you diagnose people with gender
12 dysphoria, correct?

13 A. I do.

14 Q. And you counsel people before they are prescribed puberty
15 blockers?

16 A. It depends upon the context in which we are engaging in
17 care, but counseling is an important part of any informed
18 consent decision. So if I'm involved in any way in the
19 process of assessing readiness for a puberty-blocking
20 medication or any other medical or surgical intervention,
21 counseling is inherent to that process.

22 Q. And so you engage in counseling for patients if they are
23 prescribed cross-sex hormones?

24 A. Yes.

25 Q. And surgeries also?

1 A. Yes.

2 Q. Now, your conversations on these issues, you discuss the
3 risk and benefits of the treatments?

4 A. We do.

5 Q. And that conversation usually lasts more than 20 minutes,
6 doesn't it?

7 A. It does. I think for many of the youth that I work with,
8 I have been lucky enough to have a longitudinal relationship
9 with many of the patients that I work with, so these
10 discussions are happening over months to years as opposed to
11 in a single session or two.

12 Q. And you said that you write letters in support of a
13 person's decision to have surgery for gender dysphoria.

14 Did I understand that correctly?

15 A. You did.

16 Q. Now, Doctor, you've had years of training and experience
17 to recommend surgeries, right?

18 A. Yes.

19 Q. And more than ten hours?

20 A. Yes.

21 MR. PERKO: I have nothing further, Your Honor.

22 THE COURT: Redirect?

23 MR. GONZALEZ-PAGAN: Just one question, Your Honor.

24 REDIRECT EXAMINATION

25 BY MR. GONZALEZ-PAGAN:

1 Q. Dr. Janssen, you were asked if there was a non-health
2 professional involved in the drafting of the chapters that
3 you were a co-author for with regard to Standards of Care 8.

4 Do you recall that line of questioning?

5 A. Yes.

6 Q. Is it inappropriate for a non-health stakeholder to be
7 involved in the drafting of practiced guidelines?

8 A. No. It's actually a tremendous value. We want to have
9 stakeholder experiences as a part of these processes to
10 understand the real-world impact of the recommendations that
11 are made and the insights from people who are actually
12 experiencing the disorder around which we are making
13 guidelines. And this, again, is not atypical to transgender
14 health. This is relative standard of practice among many
15 medical illnesses.

16 MR. GONZALEZ-PAGAN: No further questions,
17 Your Honor.

18 THE COURT: Dr. Janssen, I have a question just to
19 make sure I understand correctly what you are saying.

20 You said, I think when Mr. Perko was asking you
21 questions, that medical and surgical intervention isn't
22 indicated for children. This goes back to what you and I were
23 talking about earlier. By "children" there you mean
24 prepuberty.

25 THE WITNESS: Correct. And that is the -- the child

1 chapter was specific to prepubertal.

2 THE COURT: Questions just to follow up on mine?

3 MR. PERKO: No questions.

4 MR. GONZALEZ-PAGAN: No questions, Your Honor.

5 THE COURT: Thank you, Dr. Janssen. We are going to
6 disconnect your transmission at this point. Thank you.

7 THE WITNESS: Thank you. I'm sorry I couldn't be in
8 person.

9 THE COURT: Tell me where we stand on the plaintiffs'
10 side.

11 MR. GONZALEZ-PAGAN: Apologies, Your Honor.

12 Your Honor, we are primarily done with witnesses.
13 There is an open question about records custodian from the
14 defendants -- from the agency. We're in conversations about
15 that. I know that they are trying to get one for today. It's
16 been on our list. We alerted them yesterday about it, but --

17 THE COURT: What do we need a records custodian for?
18 If it's just to authenticate things, let's find out whether
19 there's an authentication objection.

20 MR. GONZALEZ-PAGAN: My understanding is there is no
21 authentication objections to the exhibits.

22 THE COURT: So why do we need -- if you just got
23 exhibits to offer, offer the exhibits, and I'll find out if
24 there is an objection.

25 MR. GONZALEZ-PAGAN: Your Honor, our understanding

1 was there was no authenticity objections to the exhibits, but
2 then when we were going through the list, they included a lack
3 of foundation for them. Based on our understanding, covers
4 authenticity, and so we are still working on that. That said,
5 we also do have a number of exhibits that we are going to
6 moving to admit into evidence.

7 THE COURT: Okay. Move them.

8 MR. GONZALEZ-PAGAN: But I don't know if my friend
9 would like to address this point about the records custodian.

10 MR. JAZIL: Your Honor, we were asked to provide a
11 records custodian after 5:00 p.m. yesterday. I haven't been
12 able to locate one for today.

13 THE COURT: Well, offer the exhibits. I'll hear any
14 objections, and then we'll deal with what the objections are.

15 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

16 Your Honor, there are a couple of buckets, if you
17 will. I will be handling some, and some of my colleagues will
18 be handling others.

19 THE COURT: All right.

20 MR. GONZALEZ-PAGAN: Specifically, Your Honor, I
21 first wanted to clear up -- I wanted to clear up one
22 particular admission of an exhibit. The GAPMS report, the
23 Court admitted both the GAPMS report as plaintiffs' exhibit
24 which didn't contain the attachments last week, as well as the
25 a defendants' version which included the attachments --

1 THE COURT: Got it.

2 MR. GONZALEZ-PAGAN: -- for the purposes of
3 completeness as I understand it. We just wanted to clear up
4 that the attachments were not being admitted for the truth of
5 the matter asserted. We consider them to be hearsay within
6 hearsay, and none of those experts have been called to
7 testify, nor are they published peer-reviewed articles. They
8 were just unpublished reports attached to the GAPMS report.

9 THE COURT: Well, they're certainly admissible to
10 show what was done and the contemporaneous explanation of what
11 was done. That's correct, isn't it?

12 MR. GONZALEZ-PAGAN: The fact that they were done,
13 yes, Your Honor. I wouldn't consider these to enter -- we
14 would posit that they shouldn't be entered to the truth of
15 what the report states. I don't see how they are any
16 different from any scholarly article that is actually
17 peer-reviewed and cited within the GAPMS report for that
18 matter.

19 THE COURT: Mr. Jazil?

20 MR. JAZIL: Your Honor, number one, it is a
21 reflection of what the agency did.

22 THE COURT: I'll admit them for that purpose, surely.

23 MR. JAZIL: And if the point is that they are not
24 expert opinions in and of themselves because no one has
25 testified to that, Your Honor, we will be putting experts on

1 our own, to the extent that they rely on the particular GAPMS
2 report and an attachment to the GAPMS report.

3 THE COURT: All true. If you put on witnesses, then
4 they will testify. And if there is an objection to their
5 testimony, we will deal with it when they testify. But I
6 certainly anticipate that you will have experts who are
7 allowed to testify and will give opinions that will be
8 admitted into evidence.

9 If they issued a report and it said in an attachment
10 the average height of individuals from England is 6 feet
11 5 inches, I would admit it to show what the agency did and
12 what explanation was provided at the time. That may be a
13 nonhearsay purpose; and, in any event, that would probably
14 come in under 803(8) as a report of what the agency did, the
15 report of its activities.

16 I would not admit that as substantive evidence that
17 the average height of people in England is 6 feet 5 inches.
18 It's just not. And the fact that the agency attaches some
19 report where somebody makes an untrue, uncorroborated
20 statement that would not itself be admissible doesn't make it
21 admissible to show the truth of the matter. For that purpose,
22 it seems to me it's inadmissible hearsay.

23 Is that analysis correct?

24 MR. JAZIL: Agree, Your Honor.

25 MR. GONZALEZ-PAGAN: That's --

1 THE COURT: The attachments are admitted as evidence
2 of the office's activity under 803(8), and as relevant for a
3 nonhearsay purpose; that is, to show what the agency did and
4 the explanation it provided at the time.

5 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

6 My colleagues, Ms. DeBriere and Ms. Dunn will handle
7 the next update and admission of exhibits.

8 THE COURT: All right.

9 MS. DeBRIERE: Good morning, Your Honor, and thank
10 you.

11 So I'll just be handling the exhibits that defendants
12 have objected to, going line by line through each. Starting
13 with Plaintiffs' Trial Exhibit 24, and I believe Ms. Gonzalez
14 will help me by pulling them up for Your Honor.

15 THE COURT: All right.

16 MS. DeBRIERE: Plaintiffs' Trial Exhibit 24 is AHCA's
17 automated prior authorization and bypass list. My
18 understanding, Your Honor, is that defendants object to this
19 exhibit on the basis of the lack of foundation, which speaks
20 to my co-counsel's earlier reference to the authenticity and
21 potential need for a records custodian as well as relevance.

22 I'm happy, Your Honor, to argue relevance, and then
23 we can address the need for the records custodian.

24 So relevance, Your Honor, is related to AHCA's
25 automated prior authorization and bypass list, speaks to those

1 drugs that AHCA covers without any demonstration of the need
2 for medical necessity, and this is going to speak to our
3 comparability argument, showing that certain drugs, they don't
4 require any criteria in order to authorize.

5 THE COURT: What's wrong with that?

6 MR. JAZIL: Nothing, Your Honor. I just wanted
7 someone to explain the relevance to me.

8 THE COURT: All right. Plaintiffs' Exhibit 24 is
9 admitted.

10 (PLAINTIFFS' EXHIBIT NO. 24: Received in evidence.)

11 MS. DeBRIERE: The next exhibit is Plaintiffs' Trial
12 Exhibit 21, which is Florida Administrative Code
13 Rule 59G-1.010.

14 THE COURT: You can admit that, but you don't need
15 to. It's like putting a statute or a rule in evidence. I
16 would -- that's something I look at every day, so if you want
17 to put it in evidence, that's fine. Plaintiffs' 21.

18 (PLAINTIFFS' EXHIBIT NO. 21: Received in evidence.)

19 MS. DeBRIERE: Thank you, Your Honor. Related to
20 that is Florida Medicaid definitions policy at Plaintiffs'
21 Trial Exhibit 22. That is incorporated by reference by
22 59G-1.010.

23 THE COURT: Same thing, I think, it doesn't hurt to
24 have it handy. If it's incorporated by reference, I'm sure I
25 could find it, but sometimes those things are better admitted

1 into evidence so that I don't have to search for it and make
2 sure I have the right one. The problem with Googling things
3 is, of course, you can sometimes 15-year old documents that
4 aren't what you were looking for.

5 Is there a problem with Plaintiffs' Exhibit 22?

6 MR. JAZIL: No, Your Honor.

7 THE COURT: Plaintiffs' Exhibit 22 is admitted.

8 (PLAINTIFFS' EXHIBIT NO. 22: Received in evidence.)

9 MS. DeBRIERE: Your Honor, the next exhibit is
10 Plaintiffs' Trial Exhibit 74. Objections include lack of
11 foundation, relevance, and hearsay.

12 And, Your Honor, this is a public record produced by
13 the Office of Substance Abuse and Mental Health, which is a
14 division of the Health and Human Services; and, of course,
15 that division regularly engages in the activity of releasing
16 publications related to advancing behavioral health in the
17 U.S., which would include this document.

18 Relevance speaks, of course, Your Honor, to the title
19 of the document, and that's HHS's position on the actions to
20 support LGBTQ, plus youth, including of course supporting
21 individuals who are transgender.

22 THE COURT: Give me just a minute.

23 Mr. Jazil, do you object?

24 MR. JAZIL: Yes, I do. It's a 111-page report. I
25 don't know what sections of are or aren't relevant to this

1 case. The report includes a section on the state of the
2 evidence, et cetera. So, first, Your Honor, I'm not entirely
3 clear what it is we are admitting this for, what sections of
4 it we believe are relevant, and whether or not the materials
5 in it would be --

6 THE COURT: Well, how about this -- it's a question.
7 If I understand it correctly, there is evidence that the way
8 the State got involved in this at all is something like this:

9 The State paid for this care under its Medicaid plan
10 for years. There is a GAPMS report back when the State
11 started doing this that approved it. Then the federal
12 government issued some guidance that apparently -- I guess the
13 plaintiffs would say raised the hackles of the people in the
14 state, and in reaction to that, they triggered a new GAPMS
15 report and we came up with a new rule.

16 I don't know the timing. So the answer may be this
17 wasn't it. Why isn't this admissible at least to show the
18 activities of the federal government to which the State
19 reacted?

20 MR. JAZIL: Your Honor, I don't know if that's the
21 reason why it's going to be introduced into evidence. I
22 believe this report is from 2023. I also don't know whether
23 or not the state of the evidence cited in it is being offered
24 for the truth of the matter asserted.

25 THE COURT: Well, that's a different question. Then

1 my next question about it is:

2 You have made a big deal out of the alleged position
3 of European countries. In fact, I just got in your memo in
4 the related case where you continue to say that Florida is
5 just like the European countries.

6 And just parenthetically I'll tell you, I scratch my
7 head every time because I think it's just not. So you seem to
8 adopt the theory that anything you say three times or 300
9 times is true, and it's not.

10 But part of what you have hammered again and again
11 and again is the position taken by European countries.

12 This is the position taken by the United States. If
13 you can continue to push what the European countries say, why
14 can't they show what the United States said?

15 MR. JAZIL: Understood, Your Honor. If this is being
16 admitted to show the United States' position, that is one
17 thing. If it's being used to show the state of the evidence,
18 that's another thing. So perhaps the caveat that your
19 Your Honor has the GAPMS report.

20 THE COURT: I think that's exactly right. Part of
21 the discussion here is what's the standard in the profession,
22 and so we have had witnesses talking about all of the
23 literature and dealing with things. So the peer-reviewed
24 literature is certainly, to me, a lot more reliable than the
25 position that some government has taken.

1 But the positions that governments have taken are
2 part of assessing the overall lay of the land and what's going
3 on out there. And so we had a witness -- and you may have
4 witnesses, I take it, there have been changes not only in
5 Europe, there have been changes in the United States among
6 various states, and I think this admissible to show the
7 activities of the federal government. I'll admit it for that
8 purpose.

9 I'm certainly not going to make a finding on a
10 medical issue, for example, based on some statement that is
11 made in a government publication without backup that is not
12 supported by experts or other testimony in the record. I do
13 think for that purpose this is hearsay.

14 If it was actually a finding, that would be
15 different. I take it, just having looked briefly at this,
16 these are not findings you are admitting for that purpose, but
17 just to show the activity of the office. You are nodding
18 "yes."

19 MS. DeBRIERE: That's correct, Your Honor.

20 THE COURT: So it's admitted for that purpose.

21 What's next?

22 (PLAINTIFFS' EXHIBIT NO. 74: Received in evidence.)

23 MS. DeBRIERE: The next exhibit is Plaintiffs' Trial
24 Exhibit 27, which is AHCA's prior authorization criteria for
25 coverage of testosterone. The objections are authentication,

1 lack of foundation, and relevance. So, Your Honor, I can
2 argue relevance which is --

3 THE COURT: Mr. Jazil, isn't that admissible?
4 Agencies can change positions. That's certainly okay. There
5 has been development through the decades on what has to be
6 shown to support an agency's change of position. But at least
7 they should be able to show that the agency has changed
8 position.

9 MR. JAZIL: Your Honor, this isn't a document that we
10 produced. It has a plaintiffs' Bates label.

11 MS. DeBRIERE: I can help clarify, Mr. Jazil. So on
12 our stipulated exhibits list, we provided two online links,
13 one to AHCA's preferred drug -- PDL, preferred drug list, as
14 well as AHCA's drug criteria. AHCA's drug criteria has a list
15 of drugs. This testosterone document is one of those
16 criteria. It is the documents that live on your website, and
17 since you've stipulated to the admissibility of any documents
18 that are on that website, you know --

19 THE COURT: All right. This goes back to something I
20 said a minute ago. Not everything you find on the internet is
21 actually authentic. But if it's on your website, it probably
22 is. You just -- if you need to check out and find out if it
23 really is; although, I would have hoped that got done during
24 the pretrial process, but --

25 MR. JAZIL: Your Honor, I will take my friend's word

1 at face value. If she says it's off our website, it's off our
2 website, I'll withdraw the authentication objection.

3 THE COURT: All right. Look, you have folks there
4 that are really good at checking on this kind of thing. So if
5 you go back and find out this isn't really it, then you bring
6 it back up and we'll straighten it out.

7 MR. JAZIL: Yes, Your Honor. As I understand it
8 before, my friend is objecting that the relevant issues are
9 overcome because they go to the comparability claims, and
10 so --

11 THE COURT: Relevance is the low standard.
12 Plaintiffs' 27 is admitted.

13 (PLAINTIFFS' EXHIBIT NO. 27: Received in evidence.)

14 MS. DeBRIERE: Your Honor, the next exhibit is
15 Plaintiffs' Trial Exhibit 28. These are the agency's
16 responses to plaintiffs' questions dated March 1, 2023.
17 These -- the objection, Your Honor, is relevance. These were
18 responses provided to us after the first round of the 30(b)(6)
19 deposition in which the designee could not answer all of the
20 questions for the topics which we noticed him for.

21 MR. JAZIL: Your Honor, I had the relevance objection
22 based off of my perspective that under *Rush*, the process
23 doesn't matter, but --

24 THE COURT: That's overruled. And what makes bench
25 trials easier than jury trials is, if it's irrelevant, it

1 won't matter. Plaintiff's 28 is admitted.

2 (PLAINTIFFS' EXHIBIT NO. 28: Received in evidence.)

3 MS. DeBRIERE: Your Honor, the next exhibit is
4 Plaintiffs' Trial Exhibit 67. This is a document from the
5 Food and Drug Administration entitled "Understanding
6 unapproved use of approved drugs off label."

7 Your Honor, the objections are lack of foundation,
8 relevance, and hearsay. I can speak to hearsay inasmuch as
9 this is a public document taken off of the FDA's website. I'm
10 happy to provide the Court the URL.

11 As to relevance, there has been a lot of reliance in
12 the GAPMS memo on the drugs not being FDA approved for
13 indications, which is off-label a short form for not having a
14 FDA-approved use for a particular indication of a drug. So
15 this is just further description of what and when it's
16 appropriate to authorize drugs for an off-label use.

17 MR. JAZIL: Your Honor, this is, as I understand, a
18 Q&A off an FDA website. It's not the same as an FDA rule. It
19 is not the same as an FDA guidance document. To the extent
20 it's being used to establish that off-label use is appropriate
21 under certain circumstances, I don't think that is an
22 appropriate use of this.

23 THE COURT: I will admit this under 803(8). This is
24 another one of those. I mean, no matter how many times you
25 and your experts say it, the fact that a use is not the use

1 that was approved by the FDA at the outset when the drug came
2 to market does not indicate that use of the drug is unsafe.
3 It just doesn't. It's the kind of thing that advocates take
4 to a legislative hearing I think in hoping that the
5 legislators just won't understand, or that you take to a rule
6 hearing in the hope that, well, it's just something you can
7 put on the scale so that you can explain some decision made on
8 some other basis.

9 However that might be -- and from that comment, you
10 can tell that when you put your experts on to hammer on this
11 not approved by the FDA, they are going to have some
12 explaining to do, and I'll listen carefully to the
13 explanation.

14 But aside from that, I do think that this is at least
15 what the FDA says about this, and it's admissible.

16 Plaintiffs' 67 is admitted.

17 (PLAINTIFFS' EXHIBIT NO. 67: Received in evidence.)

18 MS. DeBRIERE: Your Honor, the next exhibit is
19 Plaintiffs' Trial Exhibit 62. This is the CMS EPSDT, a guide
20 for states regarding the coverage of the EPSDT Medicaid
21 benefit. It's a public document. I would -- also add from
22 the Centers for Medicaid and Medicare Services. I would also
23 point out that this document has been previously cited in
24 other courts within the Eleventh Circuit, including *CR v.*
25 *Noggle*, which is at 559 F.Supp.3d 1323.

1 THE COURT: Mr. Jazil, anything different about this?
2 This is the government's activities and what CMS says about
3 how this works?

4 MR. JAZIL: No, Your Honor. Your previous rulings
5 are clear to me.

6 THE COURT: This is admitted under 803(8).

7 (PLAINTIFFS' EXHIBIT NO. 62: Received in evidence.)

8 MS. DeBRIERE: Your Honor, the next exhibit is
9 Plaintiffs' Trial Exhibit 63. This is a CMS informational
10 bulletin regarding beneficiary protections and Medicaid drug
11 coverage. This again is a public document, and as to
12 relevance --

13 THE COURT: Same thing. Plaintiffs' 63 is admitted.

14 (PLAINTIFFS' EXHIBIT NO. 63: Received in evidence.)

15 MS. DeBRIERE: Thank you, Your Honor.

16 Plaintiffs' Trial Exhibit 295, the objection here,
17 Your Honor, is lack of foundation. This was a document
18 produced to plaintiffs in response to a subpoena to the
19 Executive Office of the Governor. And so there is no
20 relevance objection, so I will just speak to the lack of
21 foundation.

22 THE COURT: Is there a foundation problem?

23 MR. JAZIL: Your Honor, I'll confess this does come
24 from the Executive Office of the Governor, but to me
25 foundation is more than just authenticity. And I don't know

1 what we are doing with this document. Is it just going to be
2 introduced into evidence and --

3 THE COURT: Well, look, here's an important issue in
4 the case: motivation, animus. I think it matters whether
5 this rule started and was adopted by medical professionals
6 exercising their medical judgment, or whether it started in
7 the governor's office with nonmedical personnel who basically
8 sent word down to the doctors, here's what you're to decide.

9 Now, I don't know what the answer to that is. And,
10 of course, it could start with the governor's office and get
11 pushed down to doctors who then make a good medical decision.
12 So where it started doesn't tell you how the decision was
13 made, but it's certainly relevant how this works. And I have
14 seen this before, although I can't read it on the screen.

15 The chance that this document is going to affect the
16 decision is pretty remote. It doesn't concern me that
17 somebody in the governor's office is keeping up with how this
18 process works. I probably would be surprised if they weren't.
19 They probably ought to be keeping up with everything that goes
20 on in the state, and I think they probably do. So I don't
21 think this is going to make much difference. But the fact
22 that it's there and they are keeping up with it is at least
23 relevant.

24 As I said before, relevance is a very low standard.
25 Is the chance that the governor initiated this greater than it

1 would be without this evidence, that's the 401 test, yeah, it
2 does show that at least somebody in his office was paying
3 attention.

4 MR. JAZIL: Your Honor, my objections are borne in
5 part from, are we going to have a witness talk about these
6 things or am I going to be, you know, confronted with these in
7 summation, where there is a story told with some of this?

8 THE COURT: Well, you may be confronted with it in
9 summation, but if they don't know who did it or what they did
10 with it or when it came up, they are going to better spend
11 their time on something else.

12 MR. JAZIL: Understood, Your Honor.

13 THE COURT: Because this isn't going to tell me much.
14 On the other hand, you're probably going to have a witness
15 from AHCA.

16 MR. JAZIL: Yes, Your Honor.

17 THE COURT: They might even ask that witness
18 questions about it or maybe you will. This is admissible.

19 MR. JAZIL: Understood, Your Honor.

20 THE COURT: Plaintiffs' 295 is admitted.

21 (PLAINTIFFS' EXHIBIT NO. 295: Received in evidence.)

22 MS. DeBRIERE: Your Honor, the next exhibit is
23 Plaintiffs' Trial Exhibit 296. This is similar, Your Honor,
24 to 295. The objection is lack of foundation.

25 THE COURT: Same thing, same ruling. 296 is

1 admitted.

2 (PLAINTIFFS' EXHIBIT NO. 296: Received in evidence.)

3 MS. DeBRIERE: The next exhibit is Plaintiffs' Trial
4 Exhibit 330. The objections are lack of foundation,
5 relevance, and hearsay.

6 Your Honor, this is a draft memo of a GAPMS for
7 specially-modified foods. This came from AHCA. It was
8 produced to us in discovery. The relevance, Your Honor, is
9 showing what information was previously relied on in the GAPMS
10 process to determine whether the service was experimental.

11 MR. JAZIL: Your Honor, there is also a hearsay
12 objection. I don't know if this was ever finalized or not.
13 As I understood the exception for public records, it's an
14 agency position. This is a draft that's unsigned.

15 THE COURT: Is this just a draft?

16 MS. DeBRIERE: It is just a draft, Your Honor, and it
17 is unsigned.

18 THE COURT: How does it show what they relied on if
19 we don't know they relied on it?

20 MS. DeBRIERE: As much as it's not finalized, I think
21 the collection and organization of the information in the
22 GAPMS memo shows that the agency uses that type of information
23 to eventually reach a conclusion.

24 THE COURT: Only if they used it. I mean, if this is
25 somebody internally there that wrote some memo and it got

1 tossed to the curb, it doesn't show that that's the kind of
2 thing they used. It may indicate the kind of thing that they
3 don't use, right?

4 MS. DeBRIERE: Yes, Your Honor.

5 THE COURT: That one is excluded, unless you can show
6 that this actually corresponds with something that was done
7 or --

8 MS. DeBRIERE: Your Honor, the next exhibit is
9 Plaintiffs' Trial Exhibit 331. This is a final signed version
10 of a GAPMS related to scleral contact lenses. Same argument,
11 Your Honor. This is the type of information that the agency
12 relies on in determining whether a service is experimental.

13 MR. JAZIL: Your Honor, my only objection was
14 relevance.

15 THE COURT: Overruled. Plaintiffs' 331 is admitted.

16 (PLAINTIFFS' EXHIBIT NO. 331: Received in evidence.)

17 MS. DeBRIERE: Your Honor, Plaintiffs' Trial
18 Exhibit 332. This is another GAPMS memo.

19 THE COURT: Same thing?

20 MS. DeBRIERE: Signed and finalized.

21 MR. JAZIL: Yes, Your Honor, same objection,
22 relevance.

23 THE COURT: 332 is admitted.

24 (PLAINTIFFS' EXHIBIT NO. 332: Received in evidence.)

25 MS. DeBRIERE: And Plaintiffs' Trial Exhibit 333.

1 Same arguments, Your Honor.

2 MR. JAZIL: Yes, Your Honor.

3 THE COURT: Same ruling, Plaintiffs' 333 is admitted.

4 (PLAINTIFFS' EXHIBIT NO. 333: Received in evidence.)

5 MS. DeBRIERE: Next exhibit, Your Honor is
6 Plaintiffs' Trial Exhibit 291. Your Honor, the objection to
7 this is relevance. This is an email from Jason Weida,
8 Secretary Weida, to Devona Pickle and Andre Van Mol regarding
9 the payment to Dr. Van Mol by AHCA for participating in the
10 GAPMS process. And so it goes to show, Your Honor, the
11 process that was used in drafting the GAPMS and adopting the
12 final Challenged Exclusion.

13 THE COURT: I don't see the attachment. Was there an
14 attached itemized charge?

15 MS. DeBRIERE: It should be there now, Your Honor.

16 THE COURT: Why isn't this admissible to show who
17 drafted the document?

18 MR. JAZIL: Your Honor, I just had a relevance
19 objection to it, but --

20 THE COURT: Isn't that -- Dr. Van Mol wrote the
21 document. Isn't that relevant? Is that what the background
22 document is, master background document? Do we know what that
23 is?

24 MR. JAZIL: Your Honor, I believe this is referring
25 to just the invoices.

1 THE COURT: Well, the hours he's charging for, the
2 first item on the list is *Research and drafting of master*
3 *background document*.

4 MR. JAZIL: I believe that's a bibliography he
5 provided. I had a relevance objection to this, Your Honor.
6 As I understand my friend's point, this goes to the process,
7 and we've just been consistently making objections to the
8 process.

9 THE COURT: All right. So that objection is
10 overruled in any event. So Plaintiffs' 291 is admitted.

11 (PLAINTIFFS' EXHIBIT NO. 291: Received in evidence.)

12 MS. DeBRIERE: Your Honor, the next exhibit is
13 Plaintiffs' Trial Exhibit 292. This is a very similar
14 document to the one we just reviewed. It's regarding invoices
15 from Romina Brignardello-Petersen to AHCA regarding payment
16 for her participation and adoption --

17 THE COURT: Scroll that down. What does the list
18 say? Nothing. Look, here's what happens with these kind of
19 invoices, you are welcome to ask any expert how much they have
20 been paid.

21 MR. JAZIL: Your Honor, 292(a) is the accompanying
22 document which is the attachment.

23 THE COURT: Is this the same thing?

24 MR. JAZIL: We have a relevance objection.

25 MS. DeBRIERE: I'm sorry. It did not make it on my

1 list. I apologize.

2 MR. JAZIL: 292 and 292(a), we have the relevance
3 objections.

4 THE COURT: But same, based on process?

5 MR. JAZIL: Yes, Your Honor.

6 THE COURT: Overruled. So 292 and 292(a) are
7 admitted.

8 (PLAINTIFFS' EXHIBIT NOS. 292 and 292(a): Received in
9 evidence.)

10 MS. DeBRIERE: Plaintiffs' Trial Exhibit 313 is our
11 next one. The objection here is relevance. This is a
12 discussion, Your Honor, between AHCA employees regarding a
13 policy transmittal and later a provider alert, speaking to
14 continuity of coverage once the Challenged Exclusion was put
15 into place as to whether they should notify individuals that
16 they would be entitled to a continuity of care protections
17 until the final implementation of the exclusion. And that,
18 Your Honor, demonstrates that they were previously providing
19 care.

20 MR. JAZIL: Your Honor, I had a relevance objection.
21 I didn't understand what it was being used for.

22 THE COURT: Plaintiffs' 313 is admitted.

23 (PLAINTIFFS' EXHIBIT NO. 313: Received in evidence.)

24 MS. DeBRIERE: Next exhibit is Plaintiffs' Trial
25 Exhibit 313(a). I probably should have spoken to these

1 together.

2 THE COURT: Same ruling, 313(a) is admitted.

3 (PLAINTIFFS' EXHIBIT NO. 313(a): Received in evidence.)

4 MS. DeBRIERE: Plaintiffs' Trial Exhibit 314, which
5 is -- the objection is based on relevance, and, again, is just
6 further email conversation between AHCA employees about the
7 provider alert.

8 THE COURT: Same issue?

9 MR. JAZIL: Yes, Your Honor.

10 THE COURT: Same ruling, 314 is admitted.

11 (PLAINTIFFS' EXHIBIT NO. 314: Received in evidence.)

12 MS. DeBRIERE: Plaintiffs' Trial Exhibit 315, this is
13 the draft policy transmittal, Your Honor, that the emails are
14 discussing, and the objection is relevance.

15 THE COURT: 315 is admitted.

16 (PLAINTIFFS' EXHIBIT NO. 315: Received in evidence.)

17 MS. DeBRIERE: And then Plaintiffs' Trial
18 Exhibit 316, objection is relevance. It's a sign-off form
19 regarding the provider alert.

20 THE COURT: Same issue, same ruling, 316 is admitted.

21 (PLAINTIFFS' EXHIBIT NO. 316: Received in evidence.)

22 MS. DeBRIERE: Next exhibit is Plaintiffs' Trial
23 Exhibit 254. The objections are foundation and hearsay. Your
24 Honor, because these are statements made by employees of
25 defendant, they are party admissions and not hearsay under

1 801(d)(2). We do have the foundation issue which is why we
2 raised the records custodian.

3 THE COURT: This is 254?

4 MS. DeBRIERE: Yes, Your Honor.

5 THE COURT: And what's the objection?

6 MR. JAZIL: I don't know what the role these people
7 play to the agency and whether or not they had authority to
8 talk about these issues in the manner they are talking about.

9 THE COURT: So when somebody sends a memo and says,
10 "Please work on creating criteria for approval of agents used
11 to suppress puberty and transgender children," you think
12 that's not within the scope of their work?

13 MR. JAZIL: Your Honor, I can't tell from the emails.
14 I apologize, Your Honor. I read these a while ago, but I
15 can't tell readily whether that is, in fact, the case.

16 MS. DeBRIERE: Your Honor, I will note that we have
17 deposition testimony identifying Arlene Elliott as a program
18 administrator in the pharmacy section for AHCA.

19 THE COURT: Well, is it in evidence? Maybe you don't
20 need it. Just authenticate the document. But the hearsay
21 objection is overruled. If that's all we are dealing with,
22 254 is admitted.

23 (PLAINTIFFS' EXHIBIT NO. 254: Received in evidence.)

24 MS. DeBRIERE: Your Honor, there are going to be
25 similar arguments for the remaining exhibits, beginning with

1 Plaintiffs' Trial Exhibit 255.

2 THE COURT: You have a series that are all internal
3 memos?

4 MS. DeBRIERE: Emails, yes, Your Honor. And the
5 objections are the same for all of them, foundation and
6 hearsay. So we would state that it's not hearsay because it's
7 a party admission.

8 THE COURT: Read the numbers out.

9 MS. DeBRIERE: 255, 263, 276, and 346.

10 THE COURT: The ruling is going to follow the same
11 pattern. If you get to those and one of those, you have
12 reason to assert that it's not within the course and scope and
13 that I can't find it within the course and scope based on the
14 document itself, if there is a specific issue, you can bring
15 it back.

16 MR. JAZIL: Yes, Your Honor.

17 THE COURT: But those are the admitted --

18 (PLAINTIFFS' EXHIBIT NOS. 255, 263, 276, 346: Received in
19 evidence.)

20 THE COURT: -- subject to any reconsideration you
21 bring back to me based on the specific document.

22 MR. JAZIL: Yes, Your Honor.

23 THE COURT: If we don't speak to it further, they are
24 part of the record, they are admitted.

25 MS. DeBRIERE: Your Honor, that concludes my portion.

1 THE COURT: All right. Are there some with no
2 objection?

3 MS. DUNN: There's one other outstanding issue with
4 regard to the exhibits. During the testimony of Jeff English,
5 Plaintiffs' Exhibit 302 was discussed extensively, and I
6 believe that the Court indicated that it would be admitted as
7 a party admission, but the transcript for that day does not
8 reflect that it was, in fact, admitted into evidence.

9 THE COURT: Long experience teaches me to believe
10 that, when I remember what happened and the transcript says
11 something different, the transcript is always right.

12 MS. DUNN: It was probably an oversight on our part.

13 THE COURT: That's the email chain.

14 MS. DUNN: Yes.

15 THE COURT: 302 is admitted.

16 (PLAINTIFFS' EXHIBIT NO. 302: Received in evidence.)

17 THE COURT: Other exhibits? What else?

18 MS. DUNN: Yes, Your Honor, in our pretrial
19 disclosures that were filed we indicated a number of a
20 deposition disclosures that we would be moving into evidence.
21 I have those copies of the depositions with those designations
22 highlighted. I have a copy for defendants as well. If I can
23 approach --

24 THE COURT: Yes.

25 MS. DUNN: -- the Court?

1 THE COURT: I look forward to reading them.

2 MS. DUNN: I'd ask the Court to move those into
3 evidence as well.

4 MR. JAZIL: Your Honor, I believe there is a caveat
5 with Mr. Brackett and Ms. Dalton that these designations would
6 come in if they did not testify live. They will be
7 testifying.

8 THE COURT: They both work for the department?

9 MR. JAZIL: Yes, Your Honor.

10 MS. DUNN: Ms. Dalton is the bureau chief for the
11 Bureau of Medicaid Policy, and Mr. Brackett was the agency's
12 30(b)(6) representative.

13 THE COURT: Well, you can admit the 30(b)(6) and
14 probably Ms. Dalton's deposition. Let me tell you my
15 experience, frankly, I learned the hard way as a young lawyer.
16 When there is a witness testifying live, the chance that the
17 deposition testimony is going to make any difference or be
18 credited differently from the live testimony is pretty slim.

19 Probably when the witness testifies live and you
20 cross-examine, including with anything inconsistent in the
21 deposition, I'll have what I need. If you nonetheless want to
22 admit these, I think you are entitled to it. Under the
23 deposition rule, a deposition of an opposing party, you can
24 always put in the substantive evidence.

25 So I will expect to admit these and treat them as

1 part of the record. These are people who are equivalent of
2 the defendant within the meaning of that rule, are they not,
3 Mr. Jazil?

4 MR. JAZIL: They are. I never made a
5 cross-designations because of the caveat that they were
6 being --

7 THE COURT: And as long as what you want to say gets
8 said from the witness stand, it won't matter whether it was
9 cross-designated in the deposition as well, and this -- what's
10 said in here, I'll admit it. These are parts of the
11 depositions of Mr. Brackett, Ms. Dalton, and Mr. Donovan. And
12 the actual notebooks, I'll keep with the record.

13 MS. DUNN: We can also file those transcripts on the
14 electronic case record.

15 THE COURT: That would be good. Do that as well, and
16 then I will --

17 MS. DUNN: Those are full copies of the transcripts.
18 Just the designated portions are highlighted.

19 THE COURT: Figure out whether you can file those
20 electronically and the highlighting works. Figure out how to
21 do that. It makes it much easier if we don't have to mail
22 the -- or ship the hard-copy transcripts to the Circuit. It's
23 harder for them to find it.

24 Frankly, when it gets to the Circuit, there will be
25 three judges and three sets of law clerks, and if all of them

1 can get to this electronically, it's much better than trying
2 to find the one set of pretty white notebooks that are
3 somewhere in Atlanta.

4 MS. DUNN: Absolutely, Your Honor.

5 THE COURT: What else?

6 MR. GONZALEZ-PAGAN: Thank you, Your Honor. That
7 would conclude the presentation of evidence from the
8 plaintiffs.

9 THE COURT: The plaintiffs rest?

10 MR. GONZALEZ-PAGAN: Yes, Your Honor, with the caveat
11 that -- I believe, it is my understanding that 254 has been
12 signed this morning. So there will be a motion to amend that
13 will be filed in short order to include Section 3 of --

14 THE COURT: 254 is the bill that we talked about last
15 week.

16 MR. GONZALEZ-PAGAN: Correct, Your Honor.

17 THE COURT: It has been signed this morning?

18 MR. GONZALEZ-PAGAN: That is my understanding,
19 Your Honor.

20 THE COURT: All right. I'll give thought to it over
21 lunch to what that means or doesn't mean. I don't think it
22 affects the substance. At least the core substance of the
23 case is the not affected, right?

24 MR. JAZIL: My perspective, I still need to get some
25 guidance from my client. I heard it being signed by

1 Ms. Chriss during the break. From my perspective, if my
2 friends for the plaintiffs in this case are challenging
3 Section 3 that deals with the Medicaid provision, it should
4 not affect the core issue as framed by *Rush*. Section 3 would
5 still have to pass the, as I understand it, the *Rush* test as
6 the Court laid out.

7 There are separate claims on the equal protection,
8 et cetera. And, again, I understood my friend's colloquy with
9 the Court earlier, they will be moving to amend to include a
10 challenge to the Section 3, they rested their case, there is
11 no new discovery, and that would be the motion.

12 Your Honor, I'm asking the Court and my friends for
13 some guidance, because during the break I will go out and try
14 to figure things out.

15 THE COURT: You want to amend the challenge of the
16 statute.

17 MR. GONZALEZ-PAGAN: That is correct.

18 THE COURT: It does seem to me that that -- before I
19 finish that sentence, I should say this:

20 Sometimes when I reach a conclusion in 10 or 15
21 seconds, it turns out not to be correct. Sometimes when I
22 reach a conclusion after 15 months, it turns out not to be
23 correct, but it's better than in 10 or 15 seconds.

24 Just having heard it, it does seem to me that this
25 renders moot the challenge to the rule. The adoption of the

1 rule may still be relevant on the question of animus,
2 motivation, and whatever in an attenuated way, a different
3 decision-maker, different process. So it could be relevant.
4 But a challenge to the rule itself now is probably moot; is it
5 not?

6 MR. GONZALEZ-PAGAN: Your Honor, if I may. We would
7 argue that the Affordable Care Act claim for which we have
8 asserted nominal damages, and there have been instances that
9 have come out in testimony about past discrimination,
10 including the rejection of prior authorization to Plaintiff
11 Brit Rothstein. The passage and enactment of 254 would not
12 render that part of the case moot in any way.

13 Out of an abundance of caution either way, I think
14 our intent is to proceed to amend to include only Section 3 of
15 254. It is my understanding that my colleagues and friends
16 working on the *Doe v. Ladapo* case are asserting claims as to
17 the rest of the aspects of 254.

18 THE COURT: And Section 3 is just the --

19 MR. GONZALEZ-PAGAN: State funding and specifically
20 as to Medicaid, Your Honor.

21 THE COURT: Well, it may be right. If there is a
22 nominal damages claim, the defendant is just the --

23 MR. GONZALEZ-PAGAN: In this case, it would be the
24 same parties. And we would argue, I believe which is what my
25 friend was asking about, that the presentation of the evidence

1 in terms of substance is truly the same, and so that would be
2 how we would be proceeding to the Court.

3 THE COURT: You don't plan to have any evidence about
4 the legislative process?

5 MR. GONZALEZ-PAGAN: Your Honor, from our position,
6 we -- I think we can discuss that. But many of the aspects
7 that have to do with the legislative process, we would argue
8 the Court is empowered to make findings as to those aspects
9 without the need for trial testimony, they're judicial
10 legislative fact-finding.

11 I would just argue that we need -- our case is not
12 completely moot. It just means we need to challenge both 254
13 and the rule. The judgment needs to apply to both.

14 THE COURT: You think you can get nominal damages
15 against a state official in his official capacity?

16 MR. GONZALEZ-PAGAN: Yes, Your Honor. In fact, I
17 argued that before the Fourth Circuit, and I can confirm that
18 sovereign immunity has been waived at least as to the Fourth
19 Circuit and cert was denied.

20 THE COURT: If you were in the Eighth Circuit, you
21 would have an easier case. But you are in the Eleventh
22 Circuit, so I get it. If I have dealt with a nominal damages
23 claim against a state official, I have forgotten it, so I will
24 go back and give it some thought.

25 But, in any event, do you have a written amended

1 complaint?

2 MR. GONZALEZ-PAGAN: We will be filing it probably
3 later this evening, Your Honor. We are working on it.

4 THE COURT: But it's not going to surprise Mr. Jazil?

5 MR. GONZALEZ-PAGAN: I do not intend it to do so, and
6 we are happy to share it with our friends before filing it as
7 well.

8 MR. JAZIL: Your Honor, just a couple of other points
9 of clarification. Because the state statute is being
10 challenged, perhaps my friends can also notify the Attorney
11 General's Office.

12 Second, Your Honor, again, as I understand it, the
13 Section 3 deals with public post-secondary institutions, group
14 healthcare plans and the managed care plans, and it's under
15 Chapter 49. My understanding is this is still challenged, the
16 managed care plans, AHCA. My friend is nodding in the
17 affirmative.

18 MR. GONZALEZ-PAGAN: That is correct.

19 THE COURT: And notice to the Attorney General, at
20 least in the local rule -- and I looked back -- isn't that
21 required when there is not an official capacity state official
22 as a defendant?

23 MR. JAZIL: Your Honor, I think as I'm coming up to
24 speed with the signing of the legislation, I can't remember
25 whether it's in the local rule or whether it's a Florida

1 statute that requires the Attorney General to be notified. I
2 apologize, Your Honor.

3 THE COURT: All right. We can deal with those.
4 You are ready to go ahead with the presentation of
5 evidence?

6 MR. JAZIL: Yes, Your Honor.

7 THE COURT: All right. Let's take an hour for lunch.
8 That makes it 1:45 we'll start back. Good luck with the
9 weather and the lunch break. I will see you back here in an
10 hour and two minutes.

11 *(A luncheon recess was taken at 12:44 p.m.)*

12

AFTERNOON SESSION

13

(1:45 P.M.)

14 THE COURT: Please be seated. Mr. Perko, please call
15 your first witness.

16 MR. PERKO: Your Honor, the defendants call Dr. Paul
17 Hruz.

18 DEPUTY CLERK: Please raise your right hand.

19 **PAUL WILLIAM HRUZ, DEFENSE WITNESS, DULY SWORN**

20 DEPUTY CLERK: Be seated.

21 Please, state your full name and spell your last
22 name for the record.

23 THE WITNESS: Paul William Hruz, H-r-u-z.

24

DIRECT EXAMINATION

25 BY MR. PERKO:

1 Q. Dr. Hruz, what positions do you currently hold?

2 A. I am currently an associate professor of pediatrics and
3 associate professor of cellular biology and physiology at
4 Washington University in St. Louis.

5 Q. Do you also hold any clinical positions?

6 A. I am also serving as the associate fellowship program
7 director, a position that I previously held as the director.

8 Q. Could you please summarize your educational background?

9 A. I received a Bachelor of Science degree in chemistry at
10 Marquette University. I then received my Ph.D. in
11 biochemistry and my M.D. at the Medical College of Wisconsin.
12 I completed my residency training in general pediatrics at
13 the University of Washington in Seattle, and my fellowship
14 training in pediatric endocrinology at Washington University.

15 Q. Are you a member of any medical organizations?

16 A. Yes. I am currently a member of the American Diabetes
17 Association, the Pediatric Endocrine Society, and the
18 Endocrine Society.

19 Q. Do you hold any professional certifications?

20 A. I am board certified in pediatrics and pediatric
21 endocrinology, and I also have a certification in healthcare
22 ethics.

23 Q. Have you ever served as a peer reviewer for any journal
24 or grant-funding agency?

25 A. Throughout my 25-year career, I have routinely served as

1 a peer reviewer for a variety of journals, the same top-tier
2 journals that I submit my own papers for publication, and I
3 have also served as a reviewer on several grant review study
4 sections including for the American Diabetes Association and
5 for the National Institute of Health.

6 Q. Can you please summarize your professional experience
7 since obtaining your degrees?

8 A. In my role as a pediatric endocrinologist and physician
9 scientist, I devote my time to several different areas. This
10 includes direct patient care, research, and the education of
11 residents, medical students and clinical fellows.

12 Throughout my career, I have also taken on roles in
13 leadership as I served as the chief of our division of
14 pediatric endocrinology and diabetes at Washington
15 University.

16 Q. Could you please explain what role research plays in your
17 work?

18 A. In my research roles, for two decades, I have run a basic
19 science research laboratory that for over a decade focused on
20 questions related to adverse metabolic effects of various
21 drug exposures and have transitioned into investigation of
22 new drug discovery.

23 Within that context, I became very much involved in
24 understanding the regulatory process, what is necessitated in
25 evaluating the safety and efficacy of various medications

1 that are used in the treatment of various diseases.

2 Q. And is gender dysphoria one of those disorders?

3 A. I began investigating gender dysphoria about a decade
4 ago, as the proposition was made at my institution to begin a
5 gender center there. That necessitated me in my role as
6 chief of our division to systematically look at the quality
7 and nature of the evidence that was being put forward to
8 justify the creation of that center.

9 Q. Dr. Hruz, what are some of the pediatric endocrine
10 disorders that you treat?

11 A. As a pediatric endocrinologist, I treat a variety of
12 hormone diseases, diseases that are caused either by a
13 deficiency in the production or action of hormones. And by
14 that, I mean substances that are made and secreted from one
15 part of the body that act in a different part of the body.
16 This includes treatment of disorders of metabolism, like
17 diabetes mellitus, pituitary abnormalities, disorders of
18 thyroid function, disorders of growth and development,
19 disorders of sexual development, and puberty disorders, also
20 includes diseases relating to abnormal menstrual function.

21 Q. And what's your understanding of gender dysphoria?

22 A. Gender dysphoria is a diagnostic term that refers to a
23 condition in which one experiences a sense of their gender
24 identity that is discordant with their biological sex. This
25 diagnostic category became in use with the publication of the

1 Fifth Edition of the Diagnostic and Statistical Manual that
2 is used in the field of psychiatry superseding the previous
3 diagnosis of gender identity disorder.

4 Q. How does the diagnosis of gender dysphoria differ from
5 the diagnoses for the other pediatric endocrine disorders
6 that you treat?

7 A. In all of the endocrine disorders that I encounter in my
8 practice, with the exception of gender dysphoria, there are
9 objective, biological, radiologic or clinical features that
10 allow for an objective diagnosis assessment of a response to
11 treatment. This is in contrast with gender dysphoria where,
12 to my knowledge, there is not a single biological or
13 radiologic or objective test that can be used in the way that
14 endocrinologists use to treat other diseases.

15 Q. Thank you, Doctor. I need to back up. I forgot one
16 question.

17 Did you submit a curriculum vitae attached to your expert
18 report in this case?

19 A. Yes, I did.

20 Q. And does it accurately summarize your professional
21 experience and education?

22 A. Yes, it does.

23 Q. Does it contain a list of your publications?

24 A. It does.

25 MR. PERKO: Your Honor, I believe it's on the

1 stipulated exhibit list as Exhibit DX29. Ask it to be
2 admitted.

3 THE COURT: DX29 is admitted.

4 (DEFENDANTS' EXHIBIT NO. 29: Received in evidence.)

5 BY MR. PERKO:

6 Q. Now, Dr. Hruz, I would like to talk to you a little bit
7 now about treatments for gender dysphoria.

8 What are the various treatment approaches for gender
9 dysphoria?

10 A. Well, there have been various terms that have been used,
11 but they can generally be categorized into three different
12 approaches to alleviate the suffering that people experience
13 from this sex-discordant gender identity.

14 MS. RIVAUX: I'd like to object. I don't know if he
15 is being qualified on all of these topics.

16 THE COURT: What are you tendering him as an expert
17 in?

18 MR. PERKO: I will tender him as an expert in
19 endocrinology, pediatric endocrinology.

20 THE COURT: Do you have questions at this time?

21 MS. RIVAUX: If the topic is solely pediatric
22 endocrinology, I don't have any questions. If it goes beyond
23 the scope of that qualification, then, yes, I would have some
24 questions.

25 THE COURT: This is your time to voir dire if you

1 wish to voir dire on credentials. Otherwise, you can object
2 to questions as they come up and you can cross-examine.

3 Do you wish to ask questions now?

4 MS. RIVAUX: I'll object as they come along.

5 THE COURT: All right.

6 BY MR. PERKO:

7 Q. Let me ask that question again, Doctor.

8 What are the various treatment approaches for gender
9 dysphoria?

10 A. As I had begun to explain, there are three categories of
11 intervention to alleviate the suffering that individuals
12 experience because of sex-discordant gender identity. They
13 can be grouped into a reparative approach, a watch-and-wait
14 or expectant approach, or the affirmative approach.

15 Q. What is the reparative approach?

16 A. All of the three approaches all differ with respect to
17 the scientific premise and the goal of the intervention. The
18 reparative approach is based upon the premise --

19 THE COURT: Wait just a minute.

20 MS. RIVAUX: I'm going to object, Your Honor. This
21 is outside the scope of pediatric endocrinology.

22 MR. PERKO: I don't believe it is, Your Honor. It
23 talks about hormonal treatments.

24 THE COURT: Doctor, how many patients have you
25 treated for gender dysphoria?

1 THE WITNESS: As will be stated in my testimony, in
2 my review of the literature, I have concluded that the risk
3 versus relative benefit --

4 THE COURT: Let me stop you. If you can just answer
5 my question: How many patients have you treated for gender
6 dysphoria?

7 THE WITNESS: I have not because of ethical concerns
8 about the safety and efficacy of that treatment.

9 THE COURT: How is he going to testify about treating
10 patients when he's never treated one?

11 MR. PERKO: He's familiar with the literature,
12 Your Honor.

13 THE COURT: If he read about cardiology, could he
14 come and testify about cardiology?

15 MR. PERKO: Well, Your Honor, this is specifically
16 related to the subject of endocrinology.

17 THE COURT: If you want to ask him questions about
18 his expertise on pediatric endocrinology, you may certainly do
19 it. But if all he's going to testify about is something
20 unrelated to endocrinology, that he's never done, I'm not sure
21 I understand the basis on which you think he can testify.

22 MR. PERKO: He's going to be testifying about puberty
23 blockers and cross-sex hormones, Your Honor. It's
24 established, he has got experience in prescribing those
25 treatments. He has kept up with literature to determine

1 whether it's appropriate to prescribe those treatments for
2 gender dysphoria.

3 THE COURT: I'm going to hear the testimony, because,
4 frankly, it would be appropriate to have a proffer, in any
5 event. It's probably more useful to have the proffer in
6 question-and-answer form and to hear the cross-examination.
7 And we can discuss ultimately whether the testimony is
8 inadmissible, admissible, and entitled to very little weight,
9 or admissible and entitled to great deal of weight and
10 persuasive.

11 So at this point I will overrule the objection, and
12 we can address those subjects later as part of argument.

13 MS. RIVAUX: Your Honor, if I can ask for one
14 clarification. Some of the topics that he started testifying
15 about are outside even the scope of pediatric endocrinology.
16 For example, he was just mentioning the reparative model of
17 treatment. That is outside the scope of pediatric
18 endocrinology.

19 So while I understand -- I just want to make sure and
20 whether you want me to object as the questions come up or how
21 to handle it.

22 THE COURT: I don't need objections as it comes up.
23 You can have a standing objection to his testimony about
24 treatment of patients of the kind he has never provided.

25 MS. RIVAUX: Thank you, Your Honor.

1 BY MR. PERKO:

2 Q. Dr. Hruz, you mentioned the affirmative approach. Can
3 you explain what that is?

4 A. The affirmative approach is the approach that actually
5 involves the participation of the pediatric endocrinologist.
6 That is based on a vastly different scientific premise than
7 the other two approaches and necessitates or involves the use
8 of puberty blockers and cross-sex hormones, which are
9 medications that are used to treat pediatric endocrine
10 disorders.

11 Q. Let's talk about the type of hormonal treatment you
12 provide in your practice.

13 Have you ever prescribed puberty blockers in your
14 practice?

15 A. Yes, I do.

16 Q. What conditions do you prescribe them for?

17 A. As a pediatric endocrinologist, this class of medication
18 is routinely used in the treatment of central precocious
19 puberty.

20 Q. Any other conditions that you've prescribed it for?

21 A. Other than its new use now in gender dysphoria, not in
22 the setting of pediatric endocrinology, no.

23 Q. One of the medical treatments or interventions for gender
24 dysphoria is cross-sex hormones.

25 Could you explain what cross-sex hormones are?

1 A. The term "cross-sex hormones" refers to the
2 administration of androgens, namely testosterone, to
3 biological females to allow them to appear masculinized, or
4 estrogen to a biological male to lead to feminization, so the
5 appearance of secondary sexual characteristics corresponding
6 to the desired sexual identity.

7 Q. Backing up to puberty blockers. What are the risks
8 associated with using puberty blockers to treat gender
9 dysphoria?

10 A. There are significant risks that are unique to the
11 application of the use of puberty blockers, the GnRH
12 agonists, in somebody that is going through normally-timed
13 puberty.

14 As opposed to the use in central precocious puberty,
15 where one is intending to suppress the signals from the
16 pituitary gland to the gonad at a time where it's occurring
17 abnormally, the intention of using this in the treatment of
18 gender dysphoria is to disrupt that signaling at a time when
19 it would normally be occurring.

20 The consequences of this are severalfold. The
21 well-documented concern is the effect of preventing somebody
22 going through puberty at a time when maximal bone density is
23 being accrued. This occurs during the teenage years in
24 response to the sex steroid hormones that are produced by
25 puberty; that the maximal bone density that one achieves by

1 the early 20s is going to be all that one has to carry them
2 out through the rest of their life. So one of the concerns
3 of giving this class of drugs to block normally-timed puberty
4 is to prevent one from accruing maximal bone density.

5 There are unknowns about the -- it is very well
6 established in the endocrinologic literature that sex steroid
7 hormones are important in brain maturation. There are both
8 organizational and activational effects of sex steroid
9 hormones. By that I mean, differences in structure and
10 neuronal signaling within the brain.

11 It is an unexplored -- virtually unexplored area as what
12 the consequences are of disrupting that process. Only some
13 of the questions related to that have even been asked in a
14 formal way in scientific investigation.

15 And lastly -- not lastly, but in addition to that, there
16 are other concerns as well. But the most important is the
17 question as to whether this intervention itself influences
18 the trajectory for the individual; meaning, that it's often
19 presented by the endocrinologist that this is merely a pause
20 button that allows one time to more explore their gender
21 identity.

22 There are many who question that premise based upon the
23 observation that nearly 100 percent -- the published studies
24 show anywhere from 97 to 100 percent of the individuals who
25 receive puberty blockers will proceed on to get cross-sex

1 hormones. So, objectively looking at that, one can question
2 whether that really is serving that purpose as a pause
3 button.

4 Another concern I will add is that, when it is stated
5 that it is safe and fully reversible, the reversibility
6 refers specifically to the reengagement of the signals from
7 the pituitary gland to the gonad when you remove the drug,
8 and that does occur.

9 What is very frequently missed is that in the process of
10 interrupting normally-timed puberty, which is a temporally
11 dependant process that occurs at the same time as the cycle
12 social component known at adolescence, is disassociated;
13 meaning that, when one allows -- if one were to withdrawal
14 the puberty blocker and allow that gonadal access to
15 reactivate, one cannot buy back the time that -- where that
16 puberty was blocked.

17 And there are many questions that are not answered as to
18 whether that disruption has any lasting effects on that
19 individual that went through that intervention.

20 Q. We talked a little bit about cross-sex hormones.

21 Do you prescribe -- first of all, is testosterone an
22 estrogen?

23 A. Testosterone is an androgen.

24 Q. But that is considered a cross-sex hormone?

25 A. If testosterone is given to a biological female, that

1 would be a cross-sex hormone use.

2 Q. Do you ever prescribe testosterone to adolescents in your
3 clinical practice?

4 A. Yes, I do prescribe testosterone to males that have
5 disorders in pubertal maturation, that have hypogonadism,
6 which means inability for the testes to function normally,
7 either by a primary defect in the development or functioning
8 of the testes or by having an abnormality at the level of the
9 pituitary gland signaling to that testicle.

10 Q. And do you monitor the testosterone levels of patients
11 that you treat?

12 A. It is essential in the treatment of testosterone for
13 gonadal disorders to be very vigilant in assessing hormone
14 levels, recognizing that, one, that you have the response
15 that is expected in producing the levels of that androgen,
16 and also to make sure that you're not achieving toxic levels
17 because of the significant risks of adverse effects related
18 to that.

19 Q. What are the risks associated with using testosterone to
20 treat gender dysphoria?

21 A. Well, in addition to the general risks of using
22 testosterone where it could be administered to in excess even
23 to male, which can lead to elevations in blood pressure,
24 changes in lipid levels, causing -- inducing abnormal
25 metabolism that increases the risk of cardiovascular disease.

1 It can also lead to elevations in red blood cell counts, a
2 condition known as polycythemia.

3 But giving testosterone to a female is not equivalent to
4 giving that same hormone to a male. And the reason for that
5 is that there are clear biological differences in every
6 nuclear cell of the body between males and females.

7 These are due to programmed epigenetic effects,
8 modifications to the DNA that lead to differential expression
9 of various genes. In fact, it is known that there are over
10 6,500 sex differentially expressed genes throughout the body.
11 This is recognized by our National Institute of Health and
12 requiring that when one is developing a new drug, that one
13 studies both males and females, recognizing that the response
14 to treatment and adverse effects may be different depending
15 on the sex of that individual.

16 So also it's recognized by the Endocrine Society in a
17 position statement that they published several years ago,
18 where talking about sex as a biological variable,
19 acknowledging the essential importance of recognizing that
20 there are program differences between males and females.

21 So, therefore, there are greater attendant risks when you
22 give testosterone to a female above and beyond that which you
23 would see in giving that same hormone to a male.

24 Q. And, Dr. Hruz, we've heard some testimony about use of
25 estrogen for treatment for gender dysphoria.

1 What are the potential risks of using estrogen for the
2 treatment of gender dysphoria?

3 A. So, again, the same point that applies to the treatment
4 of estrogen when given to a biological male; meaning, that
5 you are giving a hormone at levels that are not native to the
6 biological sex of that individual. The risk factors
7 associated with giving estrogen, even to a female, include
8 increased risk of clotting, changes in blood pressure.

9 The effects that actually have been shown to occur in
10 males that are given estrogen as part of a gender affirmation
11 can increase risk of a thromboembolic stroke three to
12 fivefold.

13 And just to be clear about that, meaning a stroke that
14 can lead to permanent neurologic damage or even death.

15 Q. Dr. Hruz, you said you are a member of the Endocrine
16 Society. Did I get that right?

17 A. That's correct.

18 Q. And are you familiar with the Endocrine Society's
19 clinical guidelines?

20 A. I am very familiar with a series of guidelines that have
21 been produced by the Endocrine Society, yes.

22 Q. Do you utilize any of those guidelines?

23 A. Clinical practice guidelines like those that are
24 published by the Endocrine Society are quite valuable to
25 clinicians that are involved in the care of patients. And as

1 I teach all of my residents and fellows, clinical practice
2 guidelines are only as good as the evidence by which they are
3 based upon.

4 They cannot be interpreted as definitive. There is a
5 very longstanding history of clinical practice guidelines not
6 only for the Endocrine Society but in other fields as well,
7 that I'm required to be up to date on, where the guidelines
8 themselves change.

9 So they need to be utilized as they are intended to be
10 able to synthesize a relatively large amount of data to be
11 able to make tentative recommendations about the approach to
12 care in the context of -- by which a patient is being
13 encountered in the clinic with all of the variables
14 associated with that, with recognition of the quality of
15 evidence that is present in the production of those
16 guidelines.

17 Q. Are you familiar with the Endocrine Society's guidelines
18 for the treatment of gender dysphoria?

19 A. I am very familiar with the Endocrine Society guidelines
20 for the treatment of gender dysphoria, the first guidelines
21 that came out in 2009 and the revision that came out in 2017.

22 Q. Are you familiar with the grading or recommendations
23 assessment development and evaluation or GRADE?

24 A. Yes, I'm very familiar with that.

25 Q. Could you briefly describe that?

1 A. The GRADE system is a systematic way of rating the
2 quality of evidence that is present within clinical practice
3 guidelines. They rate the quality of evidence from very low,
4 low, moderate, or high levels of evidence. And the weight
5 that one puts upon those recommendations and the predictive
6 value by which those recommendations may or may not change
7 over time, depending on the production of new evidence, is
8 reflected in that grading system. By definition, studies
9 that are of very low quality mean that it is very likely that
10 the recommendations will change as new information becomes
11 available.

12 Q. Does the Endocrine Society use the GRADE system in
13 developing its clinic guidelines?

14 A. Yes. The Endocrine Society does make use of the GRADE
15 system, yes.

16 Q. What is the quality of evidence supporting the Endocrine
17 Society's guidelines for the treatment of gender dysphoria?

18 A. It's important to recognize that nearly all of the
19 recommendations that are made in the Endocrine Society
20 guidelines for the treatment of gender dysphoria are based
21 upon low and very low quality evidence.

22 Q. Are you familiar with the World Professional Association
23 for Transgender Health, or WPATH?

24 A. Yes, I am.

25 Q. What is it?

1 A. It is an organization that began as a scientific
2 organization to help establish effective interventions for
3 those that have this experience of sex-discordant gender
4 identity. This organization has put forward their own set of
5 clinical practice recommendations or guidelines that they
6 currently have referred to as, quote, Standards of Care
7 unquote. They are currently in the eighth iteration of those
8 practice guidelines.

9 Q. And are you familiar with the WPATH Standards of Care,
10 Version 8?

11 A. Yes, I am very familiar.

12 Q. What the evidence base for those standards?

13 A. So new to the SOC 8 document was an attempt to be able to
14 incorporate a review of the literature that was present in
15 making their recommendations for the care, which had been
16 notably absent in prior iterations of that document.

17 With respect to my area of endocrinology and where it's
18 very important in the treatment using the affirmative
19 approach, in that document they acknowledge that there's very
20 little evidence that helps guide the decisions that are being
21 made. In fact, they claimed they were not able to do a
22 systematic review based upon the level of evidence.

23 Q. Let's turn to treatment of gender dysphoria
24 internationally.

25 Do clinicians and academics like yourself keep up with

1 developments in other countries?

2 A. It's very important for us as clinicians to be aware of
3 what is going on around in other countries. Many times the
4 introduction of new medications or new treatment approaches
5 come from other countries, and changes in care, we need to be
6 aware of that as we continue to evolve our practice.

7 THE COURT: Why would you keep up with the treatment
8 of gender dysphoria in other countries if you don't treat
9 anybody for gender dysphoria?

10 THE WITNESS: Because I'm a physician scientist, and
11 I approach this with the goal of being able to achieve the
12 best benefit for the patients. When I began and I made my
13 decision, my conclusion that the available scientific evidence
14 regarding risk and purported benefit did not justify
15 engagement of myself as a pediatric endocrinologist in that
16 condition, it did not mean that I was not willing to continue
17 to look for the emergence of new evidence that would change
18 that opinion.

19 Therefore, it's essential for me to maintain that
20 perspective of being aware of what new research is being
21 produced and the discussion that is going on nationally and
22 internationally to be able to maintain that goal of
23 providing -- or assessing whether there is a role for a
24 pediatric endocrinologist in this condition.

25 THE COURT: So you're open to being persuaded and to

1 beginning to treat gender dysphoria with medicines.

2 THE WITNESS: In fact, I'm not only willing, I have
3 actually openly had conversations with many of my colleagues
4 about the need for conducting high-quality research trials and
5 am very much in support of that being done.

6 THE COURT: I understand that you personally would
7 treat gender dysphoria patients including with medications to
8 affirm their gender identity if you were satisfied that the
9 evidence was sufficient?

10 THE WITNESS: That's my role as a physician.

11 THE COURT: So that's yes or no.

12 THE WITNESS: Yes.

13 THE COURT: That answer is yes?

14 THE WITNESS: Yes.

15 THE COURT: You may continue.

16 MR. PERKO: Thank you, Your Honor.

17 BY MR. PERKO:

18 Q. Have there been any developments with regard to gender
19 dysphoria care in Sweden?

20 A. So, yes, there have been significant developments. I'm
21 aware of dating back to about May of 2021 when the Karolinska
22 Hospital reversed course and decided that they would not
23 offer puberty blockers and cross-sex hormone therapy to
24 gender dysphoric youth outside of a clinical trial. This was
25 followed up by a more formal policy statement in December of

1 2022, acknowledging the basis by which that decision was
2 made. And that was essentially the same conclusion that I
3 had made in my review of the literature, that there was not
4 sufficient evidence that was present to justify the use of
5 those medications for that condition, but acknowledged that
6 there was a need to obtain more information.

7 Q. Was that analysis performed by the Swedish National Board
8 of Health and Welfare for the care of --

9 A. Yes.

10 Q. And did the Swedish National Board of Health and Welfare
11 prepare a summary of its conclusions?

12 A. Yes. I'm aware I think it was in December of 2022.

13 MR. PERKO: If I can pull up Exhibit DX8, please.

14 BY MR. PERKO:

15 Q. And you have a copy with you, Doctor. Ask if you
16 recognize this document?

17 A. Yes, I do.

18 Q. Is this a fair and accurate copy of the summary issued by
19 the Swedish National Board of Health?

20 A. Yes. This is the summary that I referred to that I've
21 previously read, yes.

22 MS. RIVAUX: I object, Your Honor, on hearsay
23 grounds.

24 THE COURT: The ruling here would be the same as what
25 we talked about before lunch when you were objecting to their

1 documents, would it not, Mr. Perko?

2 MR. PERKO: Yes, Your Honor.

3 THE COURT: Same ruling. You can put this in to show
4 the activity but not to show the truth of the assertions in
5 it.

6 MS. RIVAUX: Your Honor, two more points. I believe
7 this is document is a translation, and there is no
8 certification of translation as well as proper authentication
9 of where this document came from.

10 THE COURT: Well, he can --

11 MS. RIVAUX: And it's incomplete.

12 THE COURT: That's three things.

13 First, I much prefer English to Swedish, or whatever
14 the original is in, but somebody needs to tell us where it
15 came from and that it's accurate.

16 Then what was your last point?

17 MS. RIVAUX: That it was incomplete.

18 THE COURT: That's another problem, I guess. If it's
19 not complete, you can certainly put in the rest of it under
20 Rule 106.

21 MS. RIVAUX: The exhibit itself doesn't even have the
22 attachments to it.

23 MR. PERKO: Excuse me, Your Honor. Your Honor, I
24 don't believe there are any attachments to this document that
25 I'm aware of.

1 THE COURT: Well, let's do this:

2 First, let's find out if Dr. Hruz knows where this
3 came from and what the translation is and so forth. I don't
4 know if he gave this to you or you got it somewhere else.
5 It's like the one we had in the plaintiffs' case where the
6 witness first said, oh, yes, I know what this is, and then
7 started looking at it and said, no, that's not what I thought
8 it was. Let's find out.

9 BY MR. PERKO:

10 Q. Dr. Hruz, where did you get this document?

11 A. This is searchable on the internet. You are able to find
12 it from the --

13 THE COURT: That won't do it.

14 THE WITNESS: -- government website.

15 THE COURT: Do you know where this one came from?

16 THE WITNESS: Yes. This is the published policy
17 statement that is available that, at least in my effort to
18 stay abreast of the developments that are happening
19 internationally, this is what I was able to find.

20 BY MR. PERKO:

21 Q. And was it on the website for the Swedish National Board
22 of Health and Welfare?

23 A. I'm pretty sure it was.

24 Q. Has this been translated or was this originally released
25 in English?

1 A. I did not translate this. This is the document as I read
2 it.

3 MR. PERKO: Your Honor, I move the exhibit into
4 evidence.

5 THE COURT: So he's pretty sure he got it off the
6 internet.

7 MR. PERKO: I believe he said --

8 THE COURT: He's pretty sure it's their website.

9 Let me ask this to the plaintiffs:

10 Do you have any reason to believe this is not what it
11 purports to be?

12 MS. RIVAUX: I just don't know, Your Honor, what
13 website it came from or where it came from, so it's hard to
14 tell.

15 THE COURT: This is going to be a ruling similar to
16 one I mentioned before the lunch break when you were
17 introducing documents.

18 I'm going to admit this. The standard to
19 authenticate a document in the circuit is pretty low. The
20 case I always cite is the *Siddiqui* case, S-i-d-d-i-q-u-i.
21 There are others. It just needs to be evidence sufficient to
22 support a finding that it is what it purports to be. It's
23 probably not a precise articulation of the rule, but that's
24 the gist of it.

25 When all you have so far is a witness saying he's

1 pretty sure this is where this came from, that is about as
2 thin a showing as you could make. On the other hand, this is
3 the kind of thing that shouldn't generate a lot of
4 controversy, especially with lawyers this good on both sides
5 with information that's publicly available.

6 I'm going to admit this, but just like I told
7 Mr. Jazil about the other documents, you look into it. You've
8 got a dozen or so people sitting there at your counsel table
9 on that side. If this isn't what they say it is, then I'll
10 change the ruling.

11 MR. PERKO: Thank you, Your Honor.

12 MS. RIVAUX: Your Honor, if I can just clarify if
13 your ruling is also only to admit it for the position of the
14 government as opposed to any of the hearsay statements?

15 THE COURT: Yes. This is to show the activity of
16 that organization and the activity -- and what gets done is
17 itself relevant just because, in part, the analysis of whether
18 to pay for this kind of care deals with the consensus in the
19 community or the standard in the community. And so what
20 different folks are doing, how this is being treated in
21 different places, is itself relevant.

22 So right or wrong, if, for example, it turned out
23 that a hundred percent of the cardiologists in the
24 United States were treating blockages with stents, it would be
25 relevant that a hundred percent were treating blockages with

1 stints even if it was a bad decision. And so that wouldn't be
2 proof that stints are the best way to treat it, but it would
3 be some proof of the standard of care. So exactly the same
4 ruling as I made on the plaintiffs' documents before the
5 break.

6 MS. RIVAUX: Thank you, Your Honor.

7 MR. PERKO: Thank you, Your Honor.

8 (DEFENDANTS' EXHIBIT NO. 8: Received in evidence.)

9 BY MR. PERKO:

10 Q. Dr. Hruz, are you familiar with a recent article
11 published out of Sweden by Ludvigsson, et al., entitled, "A
12 systematic review of hormone treatment for children with
13 gender dysphoria and recommendations for research"?

14 A. Yes. That was the systematic review that was published
15 in the journal "Acta Paediatrica," a peer-reviewed journal
16 which essentially has the same -- it was a systematic review
17 that came to identical conclusions as presented in this
18 government document that the relative risk versus benefit
19 does not currently justify the use of hormones and puberty
20 blockers in these children, and documents generally the low
21 quality of evidence that is present in this field.

22 Q. Can you explain what a systematic review is?

23 A. A systematic review is a formal way of looking at the
24 literature using very strict criteria to be able to include
25 studies that fit the goals of that assessment.

1 It is considered one of the highest levels of information
2 that can be used as we try to synthesize the available
3 literature on a particular question or topic, and it is very
4 important to be able to consider when a systematic review has
5 been done, the conclusions that have been reached from that.

6 Q. Dr. Hruz, have there been any developments with regard to
7 gender dysphoria treatment in Finland?

8 A. Similar to what has happened in Sweden, Finland also did
9 their own review of the literature and they published the
10 PALKO/COHERE report, and essentially came to the same
11 conclusion about the low quality of evidence and led to
12 policy changes in that country, prioritizing psychological
13 interventions in the treatment of gender dysphoria and
14 recognizing that, when affirmative interventions, including
15 puberty blockers and cross-sex hormones are offered, that it
16 needed to be done within the setting of a research trial.

17 Q. I would like to pull up Exhibit DX9 and have you take a
18 look at it, Doctor.

19 MS. RIVAUX: Your Honor, I have the same objection to
20 this document as I did to the prior document.

21 THE COURT: What is DX9, Mr. Perko?

22 MR. PERKO: DX9.

23 THE COURT: What is it? It's the same thing out of
24 Finland, comparable --

25 MR. PERKO: I'll have the witness clarify if you

1 want.

2 THE COURT: Well, you can tell me. If it's the same
3 objection and the same kind of document, it's going to be the
4 same ruling.

5 MR. PERKO: It's the same type of document.

6 THE COURT: Same ruling.

7 (DEFENDANTS' EXHIBIT NO. 9: Received in evidence.)

8 MS. RIVAUX: Thank you, Your Honor.

9 BY MR. PERKO:

10 Q. Can I get you to identify what this document is?

11 A. These are the specific recommendations of the council for
12 choices in healthcare that was put forward by Finland.

13 Q. Is that a complete and accurate copy of those
14 recommendations?

15 A. It appears to be a complete document, yes.

16 Q. Have there been any developments in the United Kingdom
17 with respect to treatment of gender dysphoria?

18 A. Yes. There have been several developments within the
19 United Kingdom and specifically related to my area of
20 pediatric endocrinology in the United Kingdom.

21 They did systematic reviews of the literature. As I
22 mentioned for the other countries, these were contained
23 relative to puberty blockers and cross-sex hormones in two
24 separate reviews by the National Institute of Clinical
25 Excellence, NICE, trials that formed the basis for the

1 National Health Service to appoint an individual by the name
2 of Hilary Cass to perform an independent review of the
3 services that were provided in that country at the Tavistock
4 Center, which until recently was the only place in that
5 country where gender-affirming services were offered to youth
6 that had sex discordant gender identity.

7 On the basis of the interim report from the Cass review,
8 major changes had been made to delivery of healthcare within
9 that country. Within that Cass review, they acknowledged the
10 same concerns about the low quality of evidence and many
11 other concerns related to the presentation of children and
12 the care that is being -- that had been delivered in their
13 previous model.

14 Q. Let me show you what has been marked as Exhibit DX10.

15 Can you identify that document?

16 A. This is a the copy of the Cass review that I was
17 referring to.

18 MS. RIVAUX: Your Honor, I have the same objection to
19 this one, but in addition this one is an interim report. It's
20 not a final report.

21 MR. PERKO: It is an interim report, Your Honor, but
22 it's what Hilary Cass put out.

23 THE COURT: Doctor, I'm not sure I fully understood.
24 Who is Dr. Cass?

25 THE WITNESS: She is a pediatrician who was appointed

1 by the National Health Service to conduct this review of
2 gender services in the United Kingdom.

3 THE COURT: So she was working for the government?

4 THE WITNESS: National Health Center, yes.

5 THE COURT: Same ruling.

6 (DEFENDANTS' EXHIBIT NO. 10: Received in evidence.)

7 MS. RIVAUX: Thank you, Your Honor.

8 MR. PERKO: Thank you, Your Honor.

9 BY MR. PERKO:

10 Q. If I can show you Exhibit DX11 and ask what that document
11 is.

12 A. This is a copy of that NICE review, and the one
13 specifically relating to use of puberty blockers.

14 Q. And is this a complete and accurate copy of the NICE
15 review?

16 A. Yes, it appears to be.

17 MS. RIVAUX: Your Honor, the same objection. I hate
18 to stand up, I'm just preserving the record.

19 THE COURT: Same ruling.

20 (DEFENDANTS' EXHIBIT NO. 11: Received in evidence.)

21 BY MR. PERKO:

22 Q. And if you can look at DX12, and what is that?

23 A. This is the second systematic review by NICE, and this is
24 the one specifically referring to cross-sex hormones.

25 MS. RIVAUX: Again, same objection.

1 THE COURT: Same ruling.

2 (DEFENDANTS' EXHIBIT NO. 12: Received in evidence.)

3 BY MR. PERKO:

4 Q. This is a complete and accurate copy of the NICE review,
5 second NICE review?

6 A. Yes.

7 Q. Have there been any developments in France with respect
8 to the treatment for gender dysphoria?

9 A. So France has come out with a -- their academy -- the
10 French Academy of Sciences came out with a statement that was
11 somewhat more nuanced than the other three countries that
12 we've already discussed.

13 Yet, in their assessment of the current state of
14 knowledge related to the care of individuals with sex
15 discordant gender identity with affirming hormones, they
16 specifically acknowledged the conclusions made by Sweden.
17 They recognize that the utmost of caution needs to be made in
18 the care of these individuals. And like these other
19 countries have concluded, that there needs to be a
20 prioritization of psychological care as we recognize the low
21 quality of evidence present.

22 Q. Let me show you what has been marked as Exhibit DX13, and
23 ask if you recognize it.

24 MS. RIVAUX: Your Honor, this one I have a bit of a
25 different objection. This one is a press release. It's not a

1 government report of any kind.

2 MR. PERKO: It's reflective of what the government
3 did, Your Honor. It's a statement of the government.

4 MS. RIVAUX: Your Honor, this is not the government.

5 THE COURT: Dr. Hruz, what is the French National
6 Academy of Medicine?

7 THE WITNESS: It's a medical organization that makes
8 decisions about the healthcare that is delivered in that
9 country or makes guidelines and recommendations for them.

10 THE COURT: That's two different things. Is it --

11 THE WITNESS: So it's -- -

12 THE COURT: Wait until I through talking. The court
13 reporter has to take us down, and it's much easier if we speak
14 one at a time.

15 Does this organization make rulings that have the
16 force of law, or is it an organization that does analysis and
17 makes recommendations?

18 THE WITNESS: It would be equivalent to the American
19 Academy of Pediatrics here in the United States.

20 THE COURT: So we put in the WPATH statement. What's
21 wrong with this one?

22 MS. RIVAUX: Well, if they'll agree to all of the
23 position statements that we've submitted, then we would agree
24 to this one.

25 THE COURT: I'll admit it for the same purpose as the

1 other statements. This is a position taken by a medical
2 organization. It's a little further removed because it's in
3 France, and it's not the United States, but it's part of the
4 body of evidence that could inform analysis of the Standard of
5 Care.

6 MR. PERKO: Thank you, Your Honor.

7 (DEFENDANTS' EXHIBIT NO. 13: Received in evidence.)

8 BY MR. PERKO:

9 Q. Is this a complete and accurate copy of the document that
10 it purports to be?

11 A. This is the same document that I read, yes.

12 Q. Have there been any developments in New Zealand and
13 Austria with respect to the treatment of gender dysphoria?

14 A. Yes. The Royal Australian and New Zealand College of
15 Psychiatry did come out with a statement very similar to
16 these other documents that we've already discussed.

17 What's notable in this document is that the statement by
18 that College of Psychiatry deviated sharply with their
19 earlier recommendations that were made in 2015, acknowledging
20 in this document that there are conflicting viewpoints on the
21 best way to treat gender dysphoria, and when I first read
22 this document, it reinforced my conclusion that what the
23 WPATH claims as Standards of Care, which means that there are
24 a universal consensus about treatment, does not exist.

25 This clearly highlights the fact that this is an area

1 that remains highly contentious and with differing viewpoints
2 and recognition of the low quality of evidence that currently
3 exist with respect to using the affirmative model.

4 Q. If I can show you Exhibit DX14 and ask if you recognize
5 this document?

6 A. This is indeed the document that I read.

7 Q. What is it?

8 A. This is the statement by the Royal Australian and New
9 Zealand College of Psychiatrists.

10 MS. RIVAUX: Same objections, Your Honor.

11 THE COURT: Same ruling.

12 BY MR. PERKO:

13 Q. And is it a fair and accurate copy of that statement?

14 A. Yes.

15 Q. Switching gears a little bit, Doctor, I would like to
16 talk a little bit about the scientific literature in the area
17 of gender dysphoria.

18 First, can you please explain the types of studies that
19 are used in medical research?

20 A. Well, it's very important to this question of quality of
21 evidence to recognize why there is a gradation of quality of
22 evidence.

23 The lowest tier is generally anecdotal in case reports.
24 And then moving on to observational types of studies and with
25 higher quality of evidence, the standard of randomized

1 controlled trials, and then the metaanalysis or the
2 symptomatic synthesizing of various randomized controlled
3 trials.

4 Each of those levels differ with the confidence with
5 which one can have in making conclusions based upon the
6 evidence. In relevance to the assessment of the affirmative
7 model using cross-sex hormones and puberty blockers for
8 gender dysphoria, it's very important to recognize that
9 observational cross-sectional retrospective studies that do
10 not include a controlled groups are not capable of
11 establishing a causal relationship between intervention and
12 response.

13 At best, these types of studies, the case reports at best
14 can usually lead to hypotheses generation, the recognition
15 that further research needs to be done, and the design and
16 conduct of subsequent research studies. An observational
17 study, a cross-sectional study can establish an association,
18 but it cannot establish the causal relationship between the
19 intervention and response.

20 Q. Now, the plaintiffs' experts so far in this trial have
21 quoted a few papers from the literature. I would like to go
22 through them with you.

23 First of all, what is a "longitudinal study"?

24 A. A longitudinal study is where you follow patients over
25 time. So you have a period of time, and then you look at a

1 follow up. And that is in contrast to a cross-sectional
2 study where you gather data at one particular point in time.

3 Q. Are you familiar with the 2011 longitudinal study that
4 was conducted by de Vries et al., that measured mental health
5 outcomes after receiving puberty blockers?

6 A. Yes, I'm very familiar with that. This is really the
7 basis for what is referred to as "the Dutch protocol."

8 Q. What is your assessment of that study?

9 A. So this study recruited 70 patients consecutively that
10 entered into the gender clinic in that country and followed
11 them at two time points: one at the beginning of receiving
12 puberty blockers, and the second follow point was just before
13 receiving cross-sex hormones.

14 The study itself did not have a control group. Again,
15 very, very important in trying to assess whether any study
16 outcomes are due to the intervention itself or another
17 factor.

18 It stated very clearly in that report that all of the
19 patients in that study received psychological support.
20 Therefore, it's not possible to conclude that any differences
21 were not due to that psychological support that was received.

22 The patients were patients that are vastly different to
23 the ones being referred in large numbers to clinics here in
24 the United States; meaning, that they were predominantly
25 males identifying as females, and nearly -- I believe all of

1 them with the prepubertal onset of their sex discordant
2 gender identity.

3 In that 2011 study they found at the intervention time
4 point, the follow-up time point, their gender dysphoria did
5 not change. They had persistent elevated rates of anxiety.
6 They showed some differences in some of their psychological
7 outcomes. Again, because of the nature of the trial design,
8 it is not possible to conclude whether that was due to them
9 receiving puberty blockers.

10 Q. Are you familiar with the follow-up 2014 study published
11 by de Vries, et al., entitled "Young adult psychological
12 outcome after puberty suppression and gender reassignment"?

13 A. Yes, I'm very familiar with that study.

14 Q. What's your assessment of that study?

15 A. That was a follow-up study of that same initial cohort of
16 70 individuals; however, only 55 of them were entered into
17 the follow-up study. There were several patients that were
18 lost to follow up. It's important to note that one of the
19 patients died as a result of the surgical intervention that
20 was performed on that individual.

21 There are many -- the similar questions and concerns
22 about the limitations of the 2011 study apply also to the
23 2014 follow-up study in that it did not include a control
24 group. All of the patients received psychological care; and,
25 in fact, those that had severe psychiatric conditions would

1 not have been eligible to enter into the study.

2 Another major concern about that study is that their very
3 assessment of gender dysphoria, which was a primary outcome,
4 involved the use of a scale that was given -- a different
5 scale that was given whether one was a biological male or
6 female. And then at the follow-up time point after the
7 cross -- after the gender-affirming surgery, those same
8 subjects were given the other scale; meaning, that they
9 changed the outcome tool and made the claim that their gender
10 dysphoria was reduced.

11 But by the very way that they conducted the study, it
12 actually proves the opposite of what they intended to show in
13 that questions were asked that were not appropriate for
14 somebody, depending on the sex that they had, which really
15 was not able to capture that outcome.

16 Q. Can you explain why the questions were not appropriate?

17 A. So, for example, to ask a male subject that identifies as
18 female whether they are bothered by menstruating would have
19 no utility. Yet, that was the -- it also changes midstream
20 the assessment tool. So essentially you are asking questions
21 that are going to influence the outcome just by the basis of
22 the questions that are being asked.

23 Q. So, if I understand it correctly, the question was asked
24 before you started the treatment, if you were satisfied with
25 your gender identity or what have you, and then when the

1 patient was transitioned, then the question was the opposite?

2 A. So in the study, in the 2011 study, they used the same
3 scale at the start of pubertal blockade and just before
4 cross-sex hormones, and then they switched after the surgical
5 gender-affirming surgery to the other scale, making the
6 decision that, for whatever reason that they used, that is
7 what the studies showed.

8 Q. Okay. I just have a few more -- three more studies,
9 Dr. Hruz.

10 Are you familiar with the 2018 paper by Dr. Olson-Kennedy
11 entitled, "Chest reconstruction and chest dysphoria in trans
12 masculine minors and young adults: Comparisons of
13 nonsurgical and post surgical cohorts"?

14 A. Yes, I'm aware of that study.

15 Q. And what is your assessment of that study?

16 A. I think it's important to acknowledge this as another
17 example of the serious limitations of the studies that are
18 being presented to establish the efficacy of this particular
19 affirmation approach.

20 There are many limitations in that study. As far as the
21 control group itself was a convenient sample, and the study
22 tool that they used in that study was not validated at the
23 time they conducted the study. The author of the study
24 devised on her own this novel scale for assessing what they
25 refer to as "chest dysphoria."

1 Another concern is that the follow-up period was far too
2 short to be able to assess that outcome. On average, it was
3 about two or two and a half years of follow-up after the
4 surgery intervention. When, in other studies like the Dutch
5 cohort study published in 2018 by Wiepjes, the data shows
6 that much of the regret from surgery can occur as much as ten
7 years after the intervention.

8 Q. Are you familiar with the 2022 study by Kristina Olson,
9 et al., entitled, "Gender Identity Five Years After Social
10 Transition"?

11 A. Yes, I'm familiar with that study.

12 Q. What's your assessment of that study?

13 A. So that study actually borrows data from what's called
14 the "Trevor Project." They are trying to look long-term
15 about the trajectory of individuals that are with
16 sex-discordant identity over time. The conclusion of that
17 study, looking five years after social affirmation, was that
18 there was, I believe, 7 percent that had undergone transition
19 that ended up -- they call it retransition, I would say
20 detransitioned -- over that interval.

21 There are -- the data themselves, which are in stark
22 contrast to other data that show that the experience in that
23 population in the time interval, that the desistance rate is
24 much higher. They concluded that they had diagnostic
25 accuracy to assert that there was an alleviation of the

1 concern that patients would be put on a path that was not
2 correct for them.

3 An alternate way to look at the data that seems much more
4 plausible as a hypothesis is that the intervention itself,
5 social affirmation is not a neutral intervention, and that
6 the process of socially-affirming somebody can change the
7 trajectory for which one goes forward with that. Because it
8 did not contain a control group, one cannot assess which of
9 those hypotheses is correct.

10 Q. One final study, Dr. Hruz. Are you familiar with the
11 2022 paper by Chen, et al., entitled, "Psychosocial
12 functioning in transgender youth after two years of
13 hormones"?

14 A. Yes, I am familiar with that study.

15 Q. What's your assessment of that study?

16 A. This was a longitudinal study done at four different
17 centers where they recruited approximately 300 patients to
18 follow them over time. The two-year, follow-up data is
19 contained within that Chen study, and many, many questions
20 about that.

21 First off, this, as a longitudinal study, there is no
22 control group so similar to the other studies that I
23 mentioned. Because of that, there is no way to establish
24 whether there is a causal relationship between intervention
25 and outcome.

1 They claim it's a two-year follow-up, but a very large
2 number of subjects in that study did not have a full set of
3 two-year data, so the follow-up period was even shorter than
4 that.

5 They did not use robust measures of psychological
6 well-being. The ones that they do report where they
7 have -- many of them where they have claimed that there is
8 significance, they maybe statistically significant but
9 clinically insignificant. There is no way to be able to
10 follow up individual patients longitudinally from the data
11 that they showed.

12 And probably most concerning in this two-year, follow-up
13 study, that out of those patients that were enrolled in that
14 study, two of the patients died by a completed suicide. In
15 any other clinical study that I'm aware of, if you had two
16 deaths during a longitudinal study, it would lead to a
17 halting of the study and critical assessment as far as the
18 nature of what was going on before proceeding onward.

19 So there are many features of that study that limit what
20 one can conclude and raise serious questions about the
21 outcome that has been reported by that study.

22 Q. Dr. Hruz, how would you characterize the evidence used to
23 support the use of puberty blockers and cross-sex hormones to
24 treat gender dysphoria?

25 A. I would say, in general, the evidence that does exist is

1 sparse, of very low or very low quality, and there are many
2 questions that remain to allow one to assess both the safety
3 and the efficacy of these interventions.

4 MR. PERKO: Thank you, Your Honor.

5 Oh, one more line of questions.

6 BY MR. PERKO:

7 Q. Dr. Hruz, were you here for the testimony of Ms. Hutton
8 earlier today?

9 A. Yes, I was.

10 Q. And she mentioned a meeting that you had ten or so years
11 ago. In your mind what was the purpose of that meeting?

12 A. I specifically called or contacted Ms. Hutton as I was in
13 the phase of investigating the evidence related to this new
14 affirmative model for the treatment of gender dysphoria, as I
15 noted in my role as division chief.

16 I called the meeting to specifically gain better
17 understanding of the experience that Ms. Hutton had in
18 encountering her child that had sex-discordant gender
19 identity. I'm very grateful for that. Much of what she
20 shared with me during that meeting helped me to understand
21 again the context of her experience.

22 That was the reason -- when I invited her for that
23 meeting, I made it very clear that I was not convinced at
24 that point in time by the scientific evidence, and that I had
25 several questions related to the scientific premises that

1 were being put forward, and I had intended to be able to use
2 the information from her story to help me assess some of
3 those questions that I had.

4 I did recognize at that time that she was an advocate
5 parent and not a physician scientist, and I made it very
6 clear to her that I was not intending to debate. I was
7 merely intending to listen to her story.

8 Q. Dr. Hruz, at any time did you tell Ms. Hutton that
9 sometimes children were just born to suffer?

10 A. I heard that comment this morning, and I'm lost to
11 understand how she could make that statement. I do not hold
12 and have never held to that belief.

13 MR. PERKO: Thank you, Your Honor. I have nothing
14 further.

15 THE COURT: Well, while he's asking that, they may
16 want to cross.

17 Did you tell her any of the treatment of
18 transgendered individuals was against God's plan?

19 THE WITNESS: I would not have said that, no.

20 THE COURT: Did you say anything about reading
21 Pope John?

22 THE WITNESS: In that course of that conversation, we
23 asked Ms. Hutton -- my intention was to learn about her
24 experience. Her goal, as I surmised from her questioning me,
25 was to convince me to open up the gender center at my

1 institution. And as she continued to become more agitated by
2 the fact that what she was asking me was not something I could
3 accept based upon what I had learned up to that point in time,
4 the conversation entered into many areas of her personal life
5 journey with details that I'm sure she would not want to make
6 public, and really that was tangential to the purpose of that
7 conversation.

8 THE COURT: Do you recall my question?

9 THE WITNESS: Yes, I do.

10 THE COURT: What's the answer to my question?

11 THE WITNESS: We got into questions related to
12 anthropology, the understanding of the human individual,
13 addressing the question of whether one could possibly be born
14 in the wrong body. And to illustrate the understanding of
15 that, I did include a reference to that document.

16 THE COURT: Cross-examine?

17 CROSS-EXAMINATION

18 BY MS. RIVAUX:

19 Q. Good afternoon, Dr. Hruz.

20 A. Good afternoon.

21 Q. So I understand you told the Court here that you have
22 never treated a patient for gender dysphoria, correct?

23 A. It would be unethical for me to engage in a form of
24 treatment that I have deemed not justified by an assessment
25 of the relative risk and benefit.

1 Q. I understand your explanation, but the question was:
2 Have you ever treated anybody for gender dysphoria?

3 A. Because of my ethical concerns, no.

4 Q. And you have no training in diagnosing anyone in gender
5 dysphoria?

6 A. I have the same type of training of reading the DSM-5
7 that my colleagues that do make that diagnosis as
8 endocrinologists.

9 Q. Now, but you have never had any specific training for
10 diagnosing gender dysphoria, correct?

11 A. Neither I nor my colleagues that are in the pediatric
12 endocrine division at St. Louis Children's Hospital have done
13 anything different other than read the DSM criteria for that
14 diagnosis.

15 Q. You are not a mental health professional?

16 A. Correct.

17 Q. And you haven't relied on the DSM to diagnose a patient?

18 A. That's correct.

19 Q. And you don't determine patient treatment in reliance on
20 the DSM ever, correct?

21 A. That is not correct. In my practice of pediatric
22 endocrinology, many of the conditions that I treat are
23 heavily influenced by comorbidities that include psychiatric
24 disease, and it is my duty as a physician to recognize that
25 and be able to tailor my care in light of those diagnoses.

1 Q. You have no experience treating gender dysphoria?

2 A. As I said, the role of an endocrinologist is in the
3 administration of puberty blockers and cross-sex hormones,
4 and I have deemed that not justified by the available
5 evidence.

6 Q. And you mentioned different approaches like the
7 reparative model; is that correct?

8 A. That is correct.

9 Q. You've never used that model as a treatment with any
10 patient for gender dysphoria, correct?

11 A. The only -- of those three models that I presented, the
12 only one that involves the pediatric endocrinologist is the
13 affirmative model.

14 Q. But my question was: Have you ever used that methodology
15 for the treatment of gender dysphoria?

16 A. I don't know of any endocrinologist, myself or any other
17 endocrinologist that has used that model, no.

18 Q. So fair to say then, you've never used the
19 watchful-waiting methodology for the treatment of care for
20 gender dysphoria, correct?

21 A. To the extent that I cared for patients for other
22 conditions that express sex-discordant gender identity, I
23 accompany them in the care of their other conditions. That's
24 not fully in line with the expectant model, but it certainly
25 does align with that.

1 Q. That's not gender dysphoria, right?

2 A. I don't know that I understand your question.

3 Q. I'll move on.

4 You've never conducted any formal research relating to
5 gender dysphoria, correct?

6 A. I have not personally been able to conduct the studies
7 that I think need to be done, though I have proposed them to
8 my colleagues at Washington University. I am involved in the
9 supervision of our clinical fellows, two of whom are
10 currently conducting research studies in the area of gender
11 dysphoria. Both of them are doing studies related to adverse
12 drug effects related to that.

13 My role is as an advisor, not as a primary mentor, in
14 helping them to generate their hypotheses, to critically
15 evaluate their data, to make appropriate preparations for
16 presentation at national conferences.

17 Q. And prior to this -- would you say that you're directly
18 participating in a clinical trial?

19 A. No, I'm not directly participating.

20 Q. Okay. And you've never published any peer-reviewed
21 literature on the cause of gender dysphoria in a scientific
22 journal, correct?

23 A. I have published a peer-reviewed article in an ethics
24 journal related to that question.

25 Q. But my question was in a scientific journal.

1 A. I think ethics is a field of science.

2 Q. Well, you published an article in the Linacre Quarterly,
3 correct?

4 A. That's correct.

5 Q. And that is not a scientific publication, correct?

6 A. Well, as I just said, it was published in an ethics
7 journal. In fact, the Linacre is the longest standing
8 peer-reviewed ethics journal in the United States.

9 Q. But not a scientific journal, right? You've made that
10 distinction.

11 A. I made my distinction there.

12 Q. And who is the publisher of Linacre Quarterly?

13 A. The Catholic Medical Association.

14 Q. Do you have any association with the Catholic Medical
15 Association?

16 A. Yes.

17 Q. And what's your involvement with the Catholic Medical
18 Association?

19 A. I have participated -- I'm a member of the Catholic
20 Medical Association.

21 Q. You are member of the Catholic Medical Association; is
22 that right?

23 A. Yes.

24 Q. Are you aware of their position on gender-affirming care?

25 A. Where would you be referring to where that is published?

1 Q. Well, I'm asking if you are aware -- let me ask it this
2 way:

3 Are you aware of whether the Catholic Medical Association
4 opposes gender-affirming care?

5 A. I'm aware of, amongst my colleagues, the same questions
6 that I have related to the safety and efficacy of
7 gender-affirming care.

8 THE COURT: Does the association oppose
9 gender-affirming care?

10 THE WITNESS: I would say "oppose" is not the word
11 that I would use. They have ethical objections and concerns
12 to many of the arguments for the gender-affirming model.

13 BY MS. RIVAUX:

14 Q. Did the Catholic Medical Association issue a position
15 statement on gender-affirming care?

16 A. I'm not certain.

17 Q. Okay. You haven't gotten any grants to study gender
18 dysphoria, correct?

19 A. That is correct.

20 Q. And you have applied for grants for other areas of study,
21 correct?

22 A. Throughout my career, I've not only applied but also
23 received a number of grants, yes.

24 Q. And the reparative mode or methodology that you mentioned
25 earlier, are you aware of what the American Psychiatric

1 Association says regarding the reparative model?

2 A. It depends on how you refer to that and how it is
3 conceived. But I am aware of many that use the term
4 "conversion therapy," and in general argue that it is harmful
5 and ethical -- unethical is the statement that they have made
6 repeatedly in relation to that approach.

7 Q. And you stated the reparative therapy is the explicit
8 goal of realigning one's gender with one's biological sex; is
9 that correct?

10 A. That is correct.

11 MS. RIVAUX: Can we pull up Exhibit 46, please?

12 BY MS. RIVAUX:

13 Q. Are you aware of the American Psychological Association's
14 position on reparative therapy?

15 A. Only to the extent that I have heard repeatedly from
16 nonscientific domains. So, again, I'm a pediatric
17 endocrinologist. But, yes, I am aware that they have made
18 that same conclusion.

19 Q. And do you recognize this document?

20 A. Either this or a similar type of document, correct.

21 Q. And this is the American Psychological Association
22 resolution on gender identity change efforts, correct?

23 A. Correct.

24 Q. And if we go to page 2, at the bottom, are you able to
25 read that, or no? It's kind of hard to read. We may have to

1 use the ELMO. You can zoom in. Page 2, bottom, third
2 paragraph from the bottom, there we go.

3 Do you see where it says: *Whereas, GICE --*

4 What does "GICE" stands for?

5 A. I believe it's "Gender Identity Conversion Efforts."

6 Q. -- *have not been shown to alleviate or resolve gender*
7 *dysphoria.*

8 Did I read that correctly?

9 A. Correct.

10 Q. Then going on to page 3 at the bottom, please, where it
11 says:

12 *Be it therefore resolved that consistent with the APA*
13 *definition of evidence-based practice, APA 2005, the APA*
14 *affirms the scientific evidence and clinical experience*
15 *indicate that GICE put individuals at significant risk of*
16 *harm.*

17 A. Yes, you read that correctly.

18 Q. And after that it says:

19 *Be it further resolved that the APA opposes GICE because*
20 *such efforts put individuals at significant risk of harm and*
21 *encourages individuals, families, health professionals and*
22 *organizations to avoid GICE.*

23 Did I read that correctly?

24 A. Yes, you did.

25 MS. RIVAUX: I would like to move this exhibit into

1 evidence, Your Honor.

2 MR. PERKO: Hearsay, Your Honor.

3 THE COURT: Same treatment as the other documents,
4 should it not be?

5 MR. PERKO: Yes, Your Honor.

6 THE COURT: Plaintiffs' 46 is admitted with the same
7 limitations.

8 (PLAINTIFFS' EXHIBIT NO. 46: Received in evidence.)

9 MS. RIVAUX: Thank you, Your Honor.

10 BY MS. RIVAUX:

11 Q. I was looking at your CV and looking at some of your
12 publications. One of the publications that you have is an
13 invited publication called, "Growing Pains: Problems With
14 Pubertal Suppression in Treating Gender Dysphoria," correct?

15 A. That is correct.

16 Q. And this an article that you published in 2017, correct?

17 A. Yes, I believe that was the year.

18 Q. And that was in the New Atlantis?

19 A. Correct.

20 Q. And that's not a scientific journal, correct?

21 A. Not by the standard definition, no.

22 Q. And the New Atlantis is not a peer-reviewed scientific
23 journal, correct?

24 A. It was editorially reviewed, not sent out to people
25 outside of the editorial board.

1 Q. So the answer to the question is, it's not peer-reviewed,
2 correct?

3 A. Correct.

4 Q. And you also published two articles in the National
5 Catholic Bioethics Quarterly, correct?

6 A. Yes.

7 Q. And the National Catholic Bioethics Quarterly, that's not
8 a peer-reviewed publication, correct?

9 A. Correct. Similar to the New Atlantis, it was an
10 editorially-reviewed, to the best of my knowledge.

11 Q. But when you say, "editorially-reviewed," that's
12 different than peer-reviewed, correct?

13 A. It means that the paper itself was critically evaluated,
14 and I had to make edits to the article to satisfy the
15 concerns by those. If you consider the editors themselves
16 are also ethicists that are peers in the field, it would be
17 peer-reviewed, but, to my understanding, it wasn't sent out
18 beyond the NCBQ.

19 Q. Because a peer review is when you send it out to other
20 experts in the field and have other experts opine and look
21 and review the particular article, correct?

22 A. Not necessarily true. There are some papers that are
23 reviewed by the editor as the primary peer reviewer for paper
24 if it fits within in their area of expertise.

25 Q. But you have said it's not a peer-reviewed journal,

1 correct?

2 A. In the definition that you stated, as far as sending it
3 out to external reviewers, correct.

4 Q. Do you remember testifying in the Katle deposition?

5 A. Yes, in deposition.

6 Q. And you were under oath in that deposition?

7 A. Correct.

8 Q. And you recall being asked: "Is the National Catholic
9 Bioethics Quarterly a peer-reviewed journal," and your answer
10 was "no"?

11 A. And, again, with the same caveats, the way the question
12 was asked was more along your definition, as far as being
13 sent out to external reviewers. At least that's how I
14 interpreted that question being asked.

15 Q. When you were asked the question, you didn't provide that
16 additional clarification, correct?

17 A. Correct.

18 Q. The National Catholic Bioethics Center also published a
19 book chapter that you wrote, correct?

20 A. Correct.

21 Q. And that was called -- what was that called?

22 A. I published a lot of things. I can't remember the exact
23 title.

24 Q. Does "Transgender Issues in Catholic Healthcare" ring a
25 bell?

1 A. Yes.

2 Q. And the National Catholic Bioethics Center, they have --
3 do they have a position on gender-affirming care?

4 A. Yes. I believe you can read it in their publications.

5 Q. And are you familiar with it?

6 A. Yes.

7 Q. And it states that "insisting on affirming a false
8 identity and in many cases mutilating the body in support of
9 that falsehood"?

10 A. I'm aware of that statement, yes.

11 Q. Are you involved with any organizations that publicly
12 oppose gender-affirming care?

13 A. What do you mean by "involved with"?

14 Q. Are you a member of any organizations that publicly
15 oppose gender-affirming care?

16 A. Not that I'm aware of, no.

17 Q. Are you a member of -- are you involved with the Alliance
18 for Defending Freedom?

19 A. Am I -- I'm sorry. Can you repeat the --

20 Q. Are you familiar with the Alliance for Defending Freedom?

21 A. Am I familiar with it? Yes, I'm familiar with that
22 organization.

23 Q. And you have been and traveled to their office in 2017
24 about a meeting regarding the types of healthcare at issue in
25 this case, correct?

1 A. That is correct.

2 Q. And you have been to their offices at two separate times,
3 correct?

4 A. That is correct.

5 Q. And both times relating to the treatment of gender
6 dysphoria in adolescents; is that correct?

7 A. That is correct.

8 Q. And you are familiar with an individual by the name of
9 Dr. Lambert?

10 A. Yes, I am.

11 Q. Was he with you at any of those meetings at the ADF?

12 A. He was present at one of those two meetings.

13 Q. And in 2017 you filed an *amicus* brief in the Supreme
14 Court in a case called *Gloucester County versus Grimm*,
15 correct?

16 A. Correct.

17 Q. And that was a case that related to whether a transgender
18 individual be permitted to use the restroom aligned with
19 their gender identity, correct?

20 A. That's correct.

21 Q. And on the brief that you signed on to that was filed
22 with the Supreme Court, it stated:

23 *Such treatments encourage a gender dysphoric child like*
24 *the respondent to adhere to his or her false belief that he*
25 *or she is the opposite sex. These treatments would help the*

1 child to maintain his or her delusion, but with less distress
2 by, among other aspects, requiring others in the child's life
3 to go along with the charade. Correct?

4 A. Correct.

5 Q. And also in that *amicus* brief that you signed on to, it
6 said that:

7 *Conditioning children into believing into a lifetime of*
8 *impersonating someone of the opposite sex achievable only*
9 *through chemical and surgical intervention is a form of child*
10 *abuse. Correct?*

11 A. If the statement said that, I recall -- I wouldn't
12 challenge that reading.

13 Q. And your name was on the brief, right?

14 A. Correct.

15 Q. And you signed on to other briefs as well, other *amicus*
16 briefs, correct?

17 A. Correct.

18 Q. For example, in *Doe v. Boyertown*, again, an *amicus* in the
19 Supreme Court opposing gender-affirming care, correct?

20 A. Yes.

21 Q. And, again there in that brief, it stated:

22 *Conditioning children into believing that a lifetime of*
23 *impersonating someone of the opposite sex achievable only*
24 *through chemical and surgery interventions is harmful to*
25 *youths. Correct?*

1 A. Yes, I did.

2 Q. And in 2020, you signed on to another brief called
3 *Meriwether versus Hardtop*, correct?

4 A. Yes.

5 Q. And that was a brief supporting -- in support of a
6 professor who objected to the state's requirement that
7 faculty and staff address students according to the student's
8 preferred form of address, including the use of the student's
9 preferred pronouns, correct?

10 A. I'm trying to remember that. You know, there's many
11 things I've done over the years.

12 Q. Would it help if I refreshed your recollection?

13 A. Yes, it would be helpful, yes. I believe you're saying
14 it accurately, but I just want to be sure.

15 MS. RIVAUX: May I approach, Your Honor?

16 THE COURT: You may.

17 BY MS. RIVAUX:

18 Q. Does this refresh your recollection?

19 A. The main author of this, I -- yes.

20 Q. I'm sorry. I think I have given you the wrong document.
21 I apologize. You can put it down.

22 A. Okay. Thank you.

23 Q. But you did testify in the Brandt trial, correct?

24 A. Yes.

25 Q. In the Brandt trial, you stated that you recalled signing

1 on to this brief, correct?

2 A. Yes. Often when I'm asked these questions, I accept what
3 is presented. I don't usually, as you just did, give me the
4 actual document, yes.

5 Q. But you had recalled it then?

6 A. I will accept that I signed on to that *amicus*, yes.

7 Q. Do you want to see a copy of your testimony from the
8 Brandt trial?

9 A. Oh, no, I don't need to see that, no.

10 Q. And in that brief, you said:

11 *The popular notion regarding, quote, gender identity that*
12 *says a person has a, quote, boy mind in a girl body is not*
13 *true. If it is supposed to be taken even more or less*
14 *literally, it is an idea that should be summarily dismissed.*

15 Correct?

16 A. I would be happy to explain the scientific justification
17 for that statement if you'd like.

18 Q. Okay. But my question was: Did you say that in the
19 *amicus* brief?

20 A. If you are reading it from there, I said it, yes.

21 Q. Did you sign on to an *amicus* brief that seeks to
22 criminalize providing gender-affirming care?

23 A. I need more specific information about that.

24 Q. In a case in Alabama, did you sign on --

25 MS. RIVAUX: Excuse me.

1 BY MS. RIVAUX:

2 Q. I'm sorry. Clarification. Are you an expert in a case
3 called *Boe v. Marshall*?

4 A. Yes.

5 Q. In that case, they are looking to criminalize the
6 gender-affirming care in minors, correct?

7 A. I am involved as an expert witness to talk about the
8 scientific evidence related to gender-affirming medical
9 interventions. I make no assessment of the actual
10 legislation that is being proposed on the merits of that.
11 I'm not a politician. I'm not a lawyer. I'm a physician
12 scientist.

13 Q. Understood. When you are asked to be an expert, you can
14 choose to be an expert or not in a case, correct?

15 A. Correct.

16 Q. And you understand that the goal in that case, right,
17 relating to that -- to the law that -- the Alabama law is to
18 make a felony providing gender-affirming care to minors,
19 correct?

20 A. The legislative initiative stands as of itself. My role
21 is to make sure that the proper science is discussed so that
22 the decision can be rendered accurately.

23 Q. I understand. But that was a choice to participate in
24 that case, correct?

25 A. That's correct.

1 Q. You mentioned -- you talked a little bit about WPATH,
2 correct?

3 A. Correct.

4 Q. You've never been a member of WPATH?

5 A. That is correct.

6 Q. You have no personal experience with WPATH, correct?

7 A. I actually have met with individuals with WPATH including
8 Eli Coleman, who I had an extended conversation about the
9 scientific evidence and challenged him about the research
10 that needed to be done. So I have interacted with members of
11 WPATH, but that is my extent.

12 Q. So your experience with WPATH is interacting with other
13 doctors that are also involved in WPATH and talking about the
14 science, correct?

15 A. Correct. I have not been a member, participating in
16 their meetings.

17 Q. And your opinions here today are contrary to the
18 recommendations of WPATH regarding the care for gender
19 dysphoria, correct?

20 A. That is correct.

21 MS. RIVAUX: Exhibit 37, please.

22 BY MS. RIVAUX:

23 Q. And you told us, Dr. Hruz, that it's very important for
24 you to keep up with the positions of not only what is
25 happening in the United States but internationally. So it's

1 very important for you to keep up with these positions. So
2 Exhibit 37, do you recognize -- let's scroll up.

3 And this is a document from the American Academy of
4 Family Physicians and their position statement on the care
5 for transgender and gender nonbinary patients, correct?

6 A. Correct.

7 Q. And the American Academy of Family Physicians, they
8 support access to gender-affirming care for gender-diverse
9 patients including children and adolescents, correct?

10 A. That is what they advocate for based upon the same
11 concerns of evidence that I presented in this case.

12 Q. And gender-affirming healthcare is part of a
13 comprehensive primary care for many gender-diverse patients,
14 correct? That's what it says?

15 A. That's what the document says.

16 Q. And their position is also that this care includes
17 gender-affirming hormones, puberty blockades, medical
18 procedures, and surgical interventions, correct?

19 A. Correct.

20 MS. RIVAUX: I would like to admit this document into
21 evidence, Your Honor, plaintiffs' 37.

22 MR. PERKO: Same objection subject to rulings.

23 THE COURT: Plaintiffs' 37 is admitted.

24 (PLAINTIFFS' EXHIBIT NO. 37: Received in evidence.)

25 MS. RIVAUX: Exhibit 38, please.

1 BY MS. RIVAUX:

2 Q. Exhibit 38, that is the policy statement, right, from the
3 American Academy of Pediatrics?

4 A. That is correct.

5 Q. And you understand that the American Academy of
6 Pediatrics support gender-affirming care, correct?

7 A. I would say that the committee that put forward this
8 statement does. It's never been put up to a vote of the
9 entire membership; therefore, it's inaccurate to say that the
10 entire society supports this.

11 Q. Well, the American Academy of Pediatrics has put out a
12 position statement supporting gender-affirming care, correct?

13 A. That statement is correct.

14 Q. Okay.

15 MS. RIVAUX: I would like to move this document into
16 evidence, Your Honor.

17 THE COURT: Give me the number again.

18 MS. RIVAUX: Exhibit 38.

19 THE COURT: Plaintiffs' 38 is admitted.

20 (PLAINTIFFS' EXHIBIT NO. 38: Received in evidence.)

21 MS. RIVAUX: Exhibit 36, please.

22 BY MS. RIVAUX:

23 Q. And this is a document, a position statement from the
24 American Academy of Child and Adolescent Psychiatry, correct?

25 A. Yes.

1 Q. And this is their position statement responding to
2 efforts to ban evidence-based care for transgender and
3 gender-diverse youth, correct?

4 A. The title threw me for a -- so, yes, at first it read
5 that they were against evidence-based care, but it's clear
6 from the reading of this that they are making a statement
7 that it's evidence-based and making a statement on it being
8 banned. So I think it's important to recognize what the
9 document is actually says.

10 Q. It says: *The American Academy of Child and Adolescent*
11 *Psychiatry. Statement responding to efforts to ban*
12 *evidence-based care for transgender and gender-diverse youth.*
13 Right?

14 A. The document states that. I challenge whether their
15 recommendations are actually -- when we talk about
16 evidence-based what that constitutes. But the document
17 itself does say that.

18 Q. Okay. And they oppose any ban on gender-affirming care,
19 correct?

20 A. Correct.

21 Q. Okay. And specifically, they state that they support the
22 youth -- and this is the third paragraph at the bottom -- it
23 says:

24 *The American Academy of Child and Adolescent Psychiatry*
25 *supports the use of current evidence-based clinical care with*

1 minors. Correct?

2 A. They are stating that they are satisfied with the
3 low-quality evidence, yes.

4 Q. Well, that's not what they say, right?

5 A. They say evidence-based, and I've shared what that
6 evidence is.

7 Q. I understand what your position is, but that's not their
8 position, correct?

9 A. As you read the statement, that's what it says in the
10 document.

11 Q. And *the AACAP strongly opposes any efforts, legal,*
12 *legislative and otherwise to block access to these recognized*
13 *interventions, correct?*

14 A. You read that correctly.

15 MS. RIVAUX: At this time, I would like to move in
16 Plaintiffs' Exhibit 36, Your Honor.

17 THE COURT: Plaintiffs' 36 is admitted.

18 (PLAINTIFFS' EXHIBIT NO. 36: Received in evidence.)

19 MS. RIVAUX: Exhibit 39, please.

20 BY MS. RIVAUX:

21 Q. And this is an opinion document from the American College
22 of Obstetricians and Gynecologists, correct?

23 A. Yes.

24 Q. And it gives their recommendations for the healthcare for
25 transgender and gender-diverse individuals, correct?

1 A. That's what it states, yes.

2 Q. And they, too, support the provision of gender-affirming
3 care, correct?

4 A. Based upon their acceptance of the evidence, the low
5 quality of evidence, yes.

6 Q. Well, that's not what they say, right? They don't say
7 because of their acceptance of the low quality of evidence.
8 Those are your words, correct?

9 A. Correct. They fail to recognize the low quality of the
10 evidence.

11 Q. That's your opinion, correct?

12 A. Correct.

13 Q. Okay. And the American -- at the bottom here, under
14 *Recommendations and Conclusions*, the second paragraph says:

15 *The American College of Obstetricians and Gynecologists*
16 *oppose discrimination on the basis of gender identity or*
17 *public and private health insurance claims to cover necessary*
18 *services for individuals with gender dysphoria and advocates*
19 *for inclusive, thoughtful and affirming care for the*
20 *transgender individuals.* Correct?

21 A. You read that correctly.

22 MS. RIVAUX: I would like to admit 39 please.

23 THE COURT: Plaintiffs' 39 is admitted.

24 (PLAINTIFFS' EXHIBIT NO. 39: Received in evidence.)

25 MS. RIVAUX: Moving on to Exhibit 40, please.

1 BY MS. RIVAUX:

2 Q. And this is a statement from the American College of
3 Physicians, and it is their position statement on the attacks
4 on the gender-affirming care and transgender healthcare,
5 correct?

6 A. You read that correctly.

7 Q. And they, too, oppose any efforts that seek to ban or
8 restrict access to the gender-affirming care, correct?

9 A. That is what the document states.

10 Q. Okay.

11 MS. RIVAUX: I would like to move to admit
12 Plaintiffs' 40, please.

13 THE COURT: Plaintiffs' 40 is admitted.

14 (PLAINTIFFS' EXHIBIT NO. 40: Received in evidence.)

15 MS. RIVAUX: Exhibit 41, please.

16 BY MS. RIVAUX:

17 Q. And here is another position paper from the American
18 College of Physicians, correct?

19 A. That is what the title says, yes.

20 Q. And here, again, they are reaffirming their position on
21 any bans on gender-affirming care, correct?

22 A. I would have to read through the whole paper, but by the
23 title, it looks to be that, yes.

24 Q. Well, if we turn to -- at the bottom, 1240, next page,
25 number two:

1 *The American College of Physicians recommends that public*
2 *and private health benefit plans include comprehensive*
3 *transgender healthcare services and provide all covered*
4 *services to transgender persons as they would all other*
5 *beneficiaries. Correct?*

6 A. You read that correctly.

7 MS. RIVAUX: I would like to move Plaintiffs'
8 Exhibit 41 into evidence.

9 THE COURT: Plaintiffs' 41 is admitted.

10 (PLAINTIFFS' EXHIBIT NO. 41: Received in evidence.)

11 MS. RIVAUX: Exhibit 42, please.

12 BY MS. RIVAUX:

13 Q. And this is a document from the American Medical
14 Association. It's a letter to the National Governor's
15 Association, correct?

16 A. That's who it is addressed to, yes.

17 Q. From the American Medical Association, correct?

18 A. By the letterhead, yes.

19 Q. And it states:

20 *On behalf of the American Medical Association and our*
21 *physician and medical student members, I write to urge the*
22 *National Governor's Association and its member governors to*
23 *oppose state legislation that would prohibit the provision of*
24 *medically necessary gender transition-related care to minor*
25 *patients. Correct?*

1 A. You've read that correctly. Their interpretation of what
2 is medically necessary, yes.

3 MS. RIVAUX: I move to admit Exhibit 42, please.

4 THE COURT: Plaintiffs' 42 is admitted.

5 (PLAINTIFFS' EXHIBIT NO. 42: Received in evidence.)

6 MS. RIVAUX: Exhibit 45, please.

7 BY MS. RIVAUX:

8 Q. This is the guidelines for psychological practice with
9 transgender and gender nonconforming people from the American
10 Psychological Association, correct?

11 A. You've read that correct.

12 Q. And it states --

13 MS. RIVAUX: If we can go to what is Bates-stamped
14 PLAINTIFFS1486, please.

15 BY MS. RIVAUX:

16 Q. At the bottom left-hand side, last paragraph:

17 *Because of the high level of societal ignorance and*
18 *stigma associated with transgender nonconforming people*
19 *ensuring that psychological education, training, and*
20 *supervision is affirmative and does not sensationalize,*
21 *exploit, or pathologize transgender and nonconforming people*
22 *will require care on the part of educators. Correct?*

23 A. You read that correctly.

24 MS. RIVAUX: I move Exhibit 45 into evidence.

25 THE COURT: Plaintiffs' 45 is admitted.

1 (PLAINTIFFS' EXHIBIT NO. 45: Received in evidence.)

2 MS. RIVAUX: I believe we already moved 46 into
3 evidence. Correct.

4 Exhibit 47, please.

5 BY MS. RIVAUX:

6 Q. This is the position statement from the American
7 Psychiatric Association, correct?

8 A. From April of 2020, correct.

9 Q. And it's a position statement on treatment of transgender
10 and gender-diverse youth, correct?

11 A. That's what it states, correct.

12 Q. And it states in the second paragraph, beginning:

13 *Gender-affirming treatment of trans and gender-diverse*
14 *youth who experience gender dysphoria due to physical changes*
15 *of puberty may include suppression of puberty development*
16 *with GnRHa, commonly referred to as puberty blockers, use of*
17 *GnRH agonist, despite potential side effects, hot flashes,*
18 *depression, can allow the adolescent a period of time, often*
19 *several years, in which to further explore their gender*
20 *identity and benefit from additional cognitive and emotional*
21 *development. Correct?*

22 A. I've already stated the error in that statement, but that
23 is what it says.

24 MS. RIVAUX: I would like to move Exhibit 47 into
25 evidence.

1 THE COURT: Plaintiffs' 47 is admitted.

2 (PLAINTIFFS' EXHIBIT NO. 47: Received in evidence.)

3 MS. RIVAUX: Exhibit 48.

4 BY MS. RIVAUX:

5 Q. And this is another position statement from the American
6 Psychiatric Association, correct?

7 A. That's what it appears to be, yes.

8 Q. Okay. And it states that they take the position that
9 the -- that the American Psychiatric Association, under
10 number one at the bottom, *recognizes that appropriately*
11 *evaluated transgender and gender-diverse individuals can*
12 *benefit greatly from medical and surgical gender-affirming*
13 *treatments.* Correct?

14 A. Without stating the evidence behind that statement, that
15 is correctly read.

16 Q. So you are saying that it is important for you to see the
17 evidence in making these position statements?

18 A. Absolutely.

19 MS. RIVAUX: Moving Exhibit 48.

20 THE COURT: Plaintiffs' 48 is admitted.

21 (PLAINTIFFS' EXHIBIT NO. 48: Received in evidence.)

22 MS. RIVAUX: Exhibit 49.

23 BY MS. RIVAUX:

24 Q. This is a statement from one of the associations that you
25 are involved in, the Pediatric Endocrine Society, correct?

1 A. That is correct.

2 Q. And you understand their position on transgender health
3 is here in Exhibit 49?

4 A. In this particular, because I am a member of that
5 organization, I can state directly that the entire membership
6 was not asked to approve this statement; and, therefore, it
7 does not represent the opinion of the members, merely the
8 committee that put this forward.

9 Q. With that understanding, this is the position statement
10 that has been put out by the Pediatric Endocrine Society,
11 correct?

12 A. Correct.

13 Q. And they, too, support gender-affirming care, correct?

14 A. "They," meaning the committee that put this together.

15 Q. Correct. And that's what they state here, correct?

16 A. That's correct.

17 MS. RIVAUX: Moving Exhibit 49 into evidence, please.

18 THE COURT: Plaintiffs' 49 is admitted.

19 (PLAINTIFFS' EXHIBIT NO. 49: Received in evidence.)

20 THE COURT: We're going to need to get to an
21 afternoon break at some point. Tell me how we -- we can
22 finish up with Dr. Hruz, if we can finish up.

23 MS. RIVAUX: I still have a little bit to go. If we
24 want to take a break now, that's totally fine with me.

25 THE COURT: Let's take the break. Let's take 15

1 minutes. We'll start back at five till 4:00.

2 (A recess was taken at 3:40 p.m.)

3 (The proceedings resumed at 3:55 p.m.)

4 THE COURT: Please be seated.

5 Dr. Hruz, you are still under oath. You may proceed.

6 MS. RIVAUX: Thank you, Your Honor.

7 One last of these position statements, while there
8 are so many more, I don't want to spend all of our time doing
9 this, but Exhibit 43, please, if we can go to the
10 second-to-last page, please, the first full paragraph at the
11 beginning of the page, starting with "Improving."

12 BY MS. RIVAUX:

13 Q. Before I start, this is the American Medical Association
14 and the health professionals advancing LGBTQ equality
15 position statements on health insurance coverage for
16 gender-affirming care of transgender patients, correct?

17 A. You zoomed in. So I can't see the --

18 Q. It's on the first page.

19 A. Yes.

20 Q. And if you scroll down, you'll see it's published by the
21 American Medical Association.

22 A. I don't see that.

23 Q. Scroll down a little bit. Right there.

24 A. The footer?

25 Q. Correct.

1 A. Correct.

2 Q. Do you see that?

3 A. I do.

4 Q. And the second-to-last page, the paragraph starts with:

5 *Improving access to gender-affirming care is an important*
6 *means of improving health outcomes for the transgender*
7 *population. Studies demonstrate dramatic reductions in rate*
8 *of suicide attempts with one metaanalysis finding that*
9 *suicidality rates dropped 30 percent pretreatment to*
10 *8 percent post-treatments. The studies have also*
11 *demonstrated a decrease in depression, anxiety, and that a*
12 *majority of patients reported improved mental health and*
13 *function after receipt of gender-affirming care. Correct?*

14 A. That is read correctly. They do have the references
15 here. It would be nice to go through the science in those
16 papers.

17 MS. RIVAUX: Right now I am looking to move this into
18 evidence, Your Honor.

19 THE COURT: Tell me again the number.

20 MS. RIVAUX: Exhibit 43.

21 THE COURT: Plaintiffs' Exhibit 43 is admitted.

22 (PLAINTIFFS' EXHIBIT NO. 43: Received in evidence.)

23 BY MS. RIVAUX:

24 Q. Dr. Hruz, you talked a little bit about keeping up with
25 the international positions of certain countries.

1 One of the positions that you looked at was the United
2 Kingdom, correct?

3 A. That is correct.

4 Q. And you referenced the Cass review, right?

5 A. That is correct.

6 Q. And it's an interim report, right?

7 A. That is correct.

8 Q. You don't have personal knowledge about healthcare
9 provided in the U.K., Correct?

10 A. I do not live in the U.K., but I do know what they have
11 stated explicitly as far as how they are reorganizing their
12 healthcare system based upon this interim report.

13 Q. But you don't treat patients in the U.K., correct?

14 A. That is correct.

15 Q. Not licensed in the U.K.?

16 A. That's correct.

17 Q. In this interim report, one of the things that Dr. Cass
18 states is that:

19 *It is important to note that the references cited herein*
20 *do not constitute a comprehensive literature review.*

21 Correct?

22 A. It is based upon the information in the NICE reviews that
23 we've already discussed, which is a systematic review of the
24 evidence related to -- at least from my analysis, cross-sex
25 hormones and puberty blockers.

1 MS. RIVAUX: Can you pull up Defendants' Exhibit 10,
2 please. If you go to page 7, please.

3 BY MS. RIVAUX:

4 Q. Right at the first paragraph, the last sentence, it
5 says -- this is a page about this report. It says it does
6 not set out final -- excuse me.

7 It's the bottom on the right-hand side, bottom paragraph:

8 *It is important to note that the references cited in this*
9 *report do not constitute a comprehensive literature review*
10 *and are only included to clarify why specific lines of*
11 *inquiry are being pursued. Correct?*

12 A. That is referring to the references in the report itself,
13 not to the systematic reviews conducted by the NICE studies.

14 Q. This says the references cited in this report. Did I
15 read that correctly?

16 A. "In this report," correct.

17 Q. And then the last sentence of that paragraph it says:

18 *A formal literature review is one strand of the review's*
19 *commissioned work, and this will be reported in full when*
20 *complete. Correct?*

21 A. That is correct.

22 Q. And that hasn't been reported yet, correct?

23 A. That is correct.

24 Q. And at the top of page 7, this report also says, the
25 first paragraph:

1 It does not set out final recommendations. These will be
2 developed over the coming months informed by our formal
3 research program. Correct?

4 A. Yes. And Dr. Cass has actually spoken more on the plan
5 to be able to incorporate that as far as what is being
6 proposed in the revision of the original Tavistock model.

7 Q. Right. Doctor, my question was if I read that correctly.

8 A. You read that correctly.

9 Q. On page 9 -- on page 9, Dr. Cass writes a letter to
10 children and young people, and what she states here is in the
11 second paragraph:

12 I have heard that young service users are particularly
13 worried that I will suggest that services should be reduced
14 or stopped. I want to assure you that this is absolutely not
15 the case -- the reverse is true.

16 Did I read that correctly?

17 A. You have read that as it is stated in the document.

18 Q. And if you can turn to page 23, and this page 23 is part
19 of the summary and interim advice, right?

20 A. Correct.

21 Q. And at the top of page 23, it says -- it refers to
22 hormone treatment, correct?

23 A. Correct.

24 Q. At the last sentence of paragraph 1.41, it states:

25 Standards for decision-making regarding endocrine

1 treatment should also be consistent with international best
2 practice. Correct?

3 A. That is what it states, correct.

4 Q. And they cite then three footnotes. The first footnote,
5 can you tell me what that is?

6 A. These are the 2017 Endocrine Society guidelines.

7 Q. And then on the right-hand side under paragraph 1.42,
8 then there is a 12, it says:

9 *Pediatric endocrinologists should become active partners*
10 *in the decision-making process leading up to referral for*
11 *hormone treatment by participating in the multidisciplinary*
12 *team meeting where children being considered for hormone*
13 *treatment are discussed.*

14 Correct, that's what it says?

15 A. That is what it states.

16 Q. And so they have not banned treatment in the
17 United Kingdom, correct?

18 A. No, and I don't think that I said that.

19 Q. You also mentioned France, correct?

20 A. Correct.

21 Q. And in France, you have no personal knowledge about how
22 healthcare is provided in France, correct?

23 A. I have general knowledge. I don't practice in France.

24 Q. Okay. And you -- you're aware that this is not a
25 certified translation of the document, correct?

1 A. No, but I did read the original French.

2 Q. But you did not translate it, right?

3 A. This document that is presented was not my translation,
4 no.

5 Q. And it's a press release, right?

6 A. I believe so.

7 Q. And it's not peer-reviewed?

8 A. Correct.

9 Q. Is it typical for you to rely on press releases in making
10 decisions?

11 A. I would not say that I rely entirely on this document. I
12 only include that with my other assessment of the other
13 information.

14 Q. And this press release doesn't actually include other
15 than five references, right? That's all it includes is five
16 references?

17 A. Correct, and a reference to the Swedish experience.

18 Q. Okay. But this press release is not a scientific review?

19 A. No, it is not.

20 Q. It's not a comprehensive literature review, correct?

21 A. That is correct.

22 Q. You don't know how they came to the decision in this
23 press release, correct?

24 A. Only from what they state in the document.

25 Q. Okay. And according to this translation of this press

1 release, France does not prohibit hormone blockers, correct?

2 A. They explicitly state that.

3 Q. Right. They explicitly say that they are available in
4 France, correct?

5 A. That is correct.

6 Q. And they also explicitly say that the French medical
7 system allows hormones at any age, correct?

8 A. I would have to read if they say "any age," but --

9 MS. RIVAUX: If we can pull up Exhibit 15, please.

10 THE WITNESS: I have it right in front of me here.

11 MS. RIVAUX: I'm sorry. Defense exhibit.

12 THE WITNESS: As stated by your experts, it is not
13 given when kids are prepubertal. So that's why I am
14 questioning your wording.

15 BY MS. RIVAUX:

16 Q. It does say:

17 *Although, in France the use of hormone blockers or*
18 *hormones of the opposite sex is possible with parental*
19 *authorization at any age. Correct?*

20 A. I'll accept it.

21 Q. That it says that, correct.

22 THE COURT: It should be on your screen.

23 THE WITNESS: Thank you.

24 BY MS. RIVAUX:

25 Q. And you, in fact, prescribe hormone suppressants to some

1 younger patients, correct, some adolescents for precocious
2 puberty?

3 A. That is correct.

4 Q. What is the youngest age that you prescribed it for?

5 A. Probably about three years old.

6 Q. Three years old?

7 A. Probably -- yeah, about three years old.

8 Q. You also mentioned a position statement from Australia
9 and New Zealand, right?

10 A. That is correct.

11 Q. And in this statement, do they ban the use of puberty
12 blockers for gender dysphoria?

13 A. They prioritize psychological intervention.

14 Q. My question was: Do they ban the use of puberty blockers
15 in adolescents?

16 A. That's not what the document says, no.

17 Q. Do they ban the use of cross-sex hormones in adolescents
18 with gender dysphoria?

19 A. No.

20 Q. And you have no personal knowledge of how healthcare is
21 provided in Australia, correct?

22 A. I don't practice medicine in Australia.

23 Q. You also mentioned Finland, correct?

24 A. That is correct.

25 Q. And the document you reviewed, did you read that in the

1 original Finnish?

2 A. No.

3 Q. Do you know how it was translated?

4 A. The copy that I have is an official translation from
5 Lingua Franca, and the person that translated, I recall a
6 name of like Arbelaez or something. I can't remember how I
7 was given that copy. It was a while ago.

8 Q. What is Lingua Franca?

9 A. It's a translation agency, and it's certified and signed.

10 Q. This translation is certified and signed?

11 A. It looks identical to the version that I have in my
12 files.

13 Q. But there is no certification on this exhibit, correct?

14 A. It was not given to me today.

15 Q. Okay. And where is the certification -- who makes the
16 certification for Lingua Franca? Who provides the
17 certification for those translators?

18 A. I'm not sure I understand your question. I don't know
19 who sought the official translation or not.

20 Q. Well, you said that Lingua Franca is a translation
21 service.

22 A. Correct.

23 Q. In what country?

24 A. I have no idea where they are based.

25 Q. Do you know the qualifications of the translator?

1 A. I can only state what I stated.

2 Q. So the answer is "no"?

3 A. I can only state that I saw a copy that was translated by
4 something called Lingua Franca that was signed by an
5 individual by the name of Arbelaez.

6 Q. And this copy does not have that certified translation?

7 A. What I have seen of that document is identical to what I
8 had seen in that translated document.

9 Q. You compared this document to the translation?

10 A. Not in its entirety, but what I have been able to see
11 today.

12 Q. Okay. And, again, in Finland you have no personal
13 knowledge of how they provide healthcare, correct?

14 A. Other than what I know from the United States, I do not
15 have a license to practice medicine in Finland.

16 Q. And this document is not peer-reviewed?

17 A. In the sense -- again, we're getting into this question
18 of what is meant by "peer review." But it was a systematic
19 review that you can say that the people putting it through
20 were the peers themselves. So it wasn't a single individual
21 submitting this for publication. It was a healthcare
22 organization where they are their own peers.

23 Q. But it would not be what we would consider a peer review
24 of a scientific journal in the United States, correct?

25 A. In the sense that we talked about earlier, as far as

1 sending it out to external reviewers, I don't believe it was.

2 Q. And the version that we have here doesn't have any of the
3 citations of any literature to it, correct?

4 A. I believe that there is. Let me make sure. This is the
5 summary. It does not.

6 Q. And the document also says that:

7 *Puberty suppression treatment may be initiated on a*
8 *case-by-case basis after careful consideration and*
9 *appropriate diagnostic examinations if the medical*
10 *indications for the treatment are present and there are no*
11 *contraindications. Correct?*

12 A. In the experimental setting.

13 Q. But does it say what I just read?

14 A. And the section that you are reading?

15 Q. Paragraph 2.

16 A. My recollection, when I read this document, is that they
17 specified the need for this to be done as part of a research
18 study.

19 Q. And there are two hospitals that are providing this
20 treatment in Finland, according to this document, correct?

21 A. Correct.

22 Q. And, again, they also provide for the provision of
23 cross-sex hormones, correct, for gender dysphoria?

24 A. Recognizing it as being experimental.

25 Q. And you also talked about a summary from Sweden, correct?

1 A. Correct.

2 Q. Did you review the translation of this document as well?

3 A. No, but I did read the systematic review that was used as
4 it was published in English.

5 Q. But that's not what we have in front of us, right?

6 A. This is the Swedish policy statement.

7 Q. Right. So it just says "Summary," right?

8 A. Which I believe uses the same language that's included in
9 that systematic review.

10 Q. But this one only references eight articles, correct?

11 A. I would have to look at the references, but it doesn't
12 have the full references in there, correct.

13 Q. And you have no personal knowledge about how healthcare
14 is provided in Sweden, correct?

15 A. As a practicing physician, I do not have a medical
16 license in Sweden.

17 Q. And they're still able to receive treatment in Sweden for
18 gender-affirming care in adolescents for gender dysphoria,
19 correct?

20 A. As part of an experimental procedure.

21 MS. RIVAUX: Your Honor, if I can have one moment.

22 THE COURT: You may.

23 MS. RIVAUX: I may wrap up.

24 Could you pull up Exhibit 170, please, plaintiffs',
25 please. It's been a long day. I'm sorry.

1 BY MS. RIVAUX:

2 Q. Dr. Hruz, early in your testimony, you mentioned that
3 under watchful waiting there is no medical intervention that
4 is provided, correct?

5 A. That is not correct.

6 Q. You said that there's no medical care that's provided
7 under watchful waiting?

8 A. No. In fact, I think that's an erroneous portrayal of
9 the expectant model. In fact, the expectant model does
10 recommend provision of care to address underlying psychiatric
11 comorbidities.

12 Q. Well, not just psychiatric care, correct?

13 A. That's correct. All of the needs of the patient can be
14 provided, the needs of their psychiatric needs and regular
15 well healthcare. It does not mean doing nothing.

16 Q. Right. So under -- this is the Adolescent Health
17 Medicine and Therapeutics article called, "Gender
18 Nonconforming Youth, Current Perspectives."

19 And if we go to page 61 of the document at the bottom, it
20 has a Bates number 6627 at the bottom, and the paragraph that
21 reads, "Under the Watchful Waiting Model," it says:

22 *The watchful waiting model was designed by the members of*
23 *the interdisciplinary team at the Amsterdam Center of*
24 *Expertise on Gender Dysphoria, VU University Medical Center*
25 *under the leadership of Dr. Peggy Cohen-Kettenis, borrowing*

1 from the medical use of GnRH agonists for children exhibiting
2 precocious puberty. The Netherlands team is responsible for
3 introducing the use of puberty blockers for gender purposes
4 to put a pause on pubertal growth and allow more time for a
5 youth to explore their gender and consolidate their
6 adolescent gender identity with the future possibility of
7 cross-sex hormone therapy to align their bodies with their
8 affirmed gender identity.

9 Did I read that correctly?

10 A. You have read that as stated in the document.

11 Q. And continuing on to the next page, under this watchful
12 waiting model as explained under this article, on the top, on
13 the left-hand side:

14 *If a child's cross-gender identifications and*
15 *affirmations are persistent over time, interventions are made*
16 *available for a child to consolidate a transgender identity*
17 *once it is assessed through therapeutic intervention and*
18 *psychometric assessment as in the best interest of the child.*
19 *These interventions include social transitions, the shift*
20 *from one gender to another, including possible name change,*
21 *gender marker change, and gender pronoun changes, puberty*
22 *blockers, and later hormones and possible gender-affirming*
23 *surgeries.*

24 Is that correct under the watchful waiting model?

25 A. Are you asking whether it's a correct portrayal of the

1 model or is it correctly read from the document?

2 Q. Is this the explanation provided for the watchful waiting
3 model under this article?

4 A. Under this article, you have read that correctly.

5 MS. RIVAUX: Dr. Hruz, I don't believe I have any
6 more questions for you, but thank you.

7 THE COURT: Redirect?

8 MR. PERKO: May it please the Court?

9 REDIRECT EXAMINATION

10 BY MR. PERKO:

11 Q. Dr. Hruz, you were asked a number of questions on
12 redirect -- I'm sorry -- on cross-examination about some
13 *amicus* briefs that you signed on to.

14 A. Yes.

15 Q. Do you recall that testimony?

16 A. Yes.

17 Q. Did you write any of those *amicus* briefs?

18 A. I was not the author of these *amici* briefs.

19 Q. Do you know how many others signed on to the briefs?

20 A. There are multiple other peoples who signed on to the
21 briefs. I did mention that some of the wording I would have
22 worded differently.

23 Q. I would like to refer you to an exhibit that my friend on
24 the other side referred you to, Plaintiffs' 38.

25 Do you recognize this document?

1 A. Yes.

2 Q. And that's a position statement from the American Academy
3 of Pediatrics?

4 A. That's correct.

5 Q. Do you know whether a majority of the pediatricians,
6 members of the American Academy of Pediatrics support the
7 statement in P38?

8 A. My understanding is that a single individual that is
9 listed here as the author of this paper crafted this
10 statement. It was not -- at the time this statement was
11 published, I was a member of the American Academy of
12 Pediatrics, and I was never given the opportunity to review
13 this document, nor have any of the other members outside been
14 able to comment on this before it was published.

15 Q. If I can zoom in on this second paragraph, second column,
16 it begins "Dr. Rafferty." It says that:

17 *Dr. Rafferty conceptualized the statement, drafted the*
18 *initial manuscript, reviewed and revised the manuscript, and*
19 *approved the final manuscript as submitted and agrees to be*
20 *accountable for all aspects of the work.*

21 Is that what it says?

22 A. Yes. It says that Dr. Rafferty was the sole author of
23 this paper and was responsible for it being put together.

24 THE COURT: That's just not what it says, but on to
25 the next question.

1 BY MR. PERKO:

2 Q. Do you know who Dr. Rafferty is?

3 A. I believe at the time he was a medical student when he
4 wrote this or he was in training.

5 THE COURT: I hate to interrupt, but when you put a
6 document up and it says that Dr. Rafferty drafted the initial
7 manuscript, and then the witness says he was the sole drafter,
8 it just doesn't match. I mean, and who wrote this document
9 doesn't make much difference. But how willing a witness is to
10 take an observable fact and just jump ahead, that doesn't
11 matter.

12 MR. PERKO: Yes, Your Honor.

13 BY MR. PERKO:

14 Q. Dr. Hruz, Judge Hinkle asked you a question to the effect
15 of whether you would prescribe hormonal treatment for gender
16 dysphoria if the evidence showed them to be safe and
17 effective.

18 Do you recall that?

19 A. I do recall that, yes.

20 Q. And what type of evidence would convince you that it is
21 safe and effective?

22 A. As I have long maintained, the evidence that needs to be
23 done in this area is a solid randomized controlled study
24 showing the efficacy of this intervention; and, again, in a
25 way that it is -- cannot be provided with another

1 intervention with lower risk and greater efficacy.

2 Q. And what type of evidence would you want to see?

3 A. A randomized controlled trial.

4 Q. The plaintiffs have suggested that the randomized
5 controlled trials are unethical in this context.

6 What do you say to that statement?

7 A. I think it's based upon a false presentation of how a
8 randomized controlled trial would be done. Generally, it's
9 conceived that that would involve an experimental group and a
10 controlled group that received no care. I have long
11 advocated for the design of a randomized controlled trial
12 that would be ethical, and in the initial stages of proposing
13 these interventions could be done in a way that ensured the
14 safety of these individuals. And this is based upon, for
15 example, comparative group that received psychological
16 intervention.

17 I base that on even some early evidence, for example, the
18 2015 Consta paper that actually compared in a nonrandomized
19 way psychological intervention alone in comparison to
20 psychological intervention and pubertal blockade.

21 In that study, both groups showed improvement during the
22 course of observation. That would be a modest randomized
23 controlled trial that would allow one to begin the process of
24 designing larger trials with more ambitious gains, outcome
25 measures, and that is the type of information that one needs

1 to be able to make the conclusion that this would be
2 supported by the evidence as being both safe and effective.
3 So, again, very carefully delineated what we mean by "safe"
4 and what we mean by "effective."

5 And that's the basis for my concern in this area, is that
6 that evidence does not yet exist, and there is not a
7 willingness to even construct these trials. And I believe
8 it's based upon not only a false conception of the way that
9 randomized controlled trials are done, it's actually a
10 distortion of the normal scientific method.

11 The basis for saying the randomized controlled trial is
12 not ethical is to accept the conclusion without the evidence.
13 As I may have said previously, the way science is normally
14 conducted is to begin with the state of skepticism with your
15 hypothesis assuming that there is no difference between
16 intervention and control, and then looking for evidence to
17 disprove that null hypothesis.

18 What is being portrayed as unethical is to begin with a
19 forgone conclusion and then to look for evidence to support
20 that conclusion, and that is not the way science is
21 conducted.

22 MR. PERKO: Thank you, Dr. Hruz.

23 I do not have any additional questions. I was
24 remiss. I don't believe I moved the exhibits that we talked
25 about on direct.

1 THE COURT: Give me those numbers.

2 MR. PERKO: Plaintiffs' 8, 9, 10, 11, 12, 13 and 14.

3 THE COURT: So 8 through 14, those are defense
4 exhibits?

5 MR. PERKO: Yes, sir.

6 MS. RIVAUX: Those are the ones we objected to as
7 they related to the different report summaries from the
8 different countries.

9 THE COURT: And if I didn't rule, I need to. It's
10 the same ruling I made on the rest of these. Those are
11 admitted for the purposes indicated earlier.

12 MR. PERKO: Thank you, Your Honor.

13 THE COURT: Doctor, a couple of things that are kind
14 of detailed in clarification, and then some more important
15 questions.

16 There was some question on cross about your
17 relationship to Alliance Defending Freedom. You said you'd
18 gone there for two meetings.

19 I have a colleague who wrote in a published opinion
20 that you had a connection to Alliance Defending Freedom.
21 Sometimes my colleagues are wrong as I am, and different
22 records have different things.

23 Is going to two meetings your entire connection to
24 Alliance Defending Freedom or is there more to it than that?

25 THE WITNESS: There is no more to that. I have been

1 contacted by the Alliance Defending Freedom for information
2 related to my knowledge of the scientific evidence in the same
3 way that I presented this knowledge to dozens of other
4 organizations. It's exactly the same information that I
5 presented multiple times to multiple different groups.

6 THE COURT: It sounds like that judge just got it
7 wrong.

8 THE WITNESS: It has been by many misconstrued and
9 misinterpreted.

10 THE COURT: All right. You said that something --
11 and to be candid, I don't recall now exactly what -- produced
12 a three-to-five-times increase in the stroke risk. What was
13 it that has that increase?

14 THE WITNESS: That is the administration of estrogen
15 to a biological male. The reference to that paper, I believe,
16 is Gettahun. I don't remember the year of the journal, but I
17 would have to look it up.

18 THE COURT: So what I wanted to ask about was three
19 to five times more than a stroke risk of what? What -- just
20 somebody walking around in society, the risk they are going to
21 have a stroke?

22 THE WITNESS: So if you are asking the question in
23 relation to a biological male or a biological female, so the
24 comparison is what happens to an individual when they get put
25 on estrogen with their stroke risk. And that is actually

1 known for both males and females. It's dependent upon the
2 route of the administration of the estrogen and the dose.

3 THE COURT: So if you give estrogen to a woman as you
4 do sometimes --

5 THE WITNESS: Right.

6 THE COURT: -- it has a stroke risk.

7 THE WITNESS: That is correct.

8 THE COURT: And if you give estrogen to a man, the
9 stroke risk is three to five times higher.

10 THE WITNESS: That is what the evidence showed in
11 that paper.

12 THE COURT: I take it the risk of stroke from giving
13 estrogen to a woman is very low.

14 THE WITNESS: That is correct.

15 THE COURT: You've done it before; you've given this
16 treatment.

17 THE WITNESS: Correct.

18 THE COURT: And you tell the patient, one of the side
19 effects, you could have a stroke.

20 THE WITNESS: Correct.

21 THE COURT: But you apparently say it's not a very
22 high risk because the patient takes it, and I take it if you
23 said, by the way, you got a 70 percent chance of having a
24 stroke, nobody would take it. So you must say this is a small
25 risk.

1 THE WITNESS: Correct. Again, it's in relation to
2 counseling a patient on the risk they are accepting by getting
3 the medicine.

4 THE COURT: Got it. The risk of all of these
5 medicines, and you make a benefit analysis and --

6 THE WITNESS: That is absolutely correct. And I
7 think that is the key question, is to whether the risk that is
8 assumed relative is acceptable to the purported benefit. That
9 is key.

10 THE COURT: But at three to five times higher, three
11 to five times more than a very small number is still a very
12 small number. True?

13 THE WITNESS: The patients that die from the stroke
14 still die.

15 THE COURT: Yeah, but it's a very small number,
16 right?

17 THE WITNESS: Yes, but by the more people that get
18 exposed, then that risk increases.

19 THE COURT: It is. I haven't done the study, but my
20 guess is the risk of flying on a private jet is a substantial
21 multiple of the risk of flying commercial. But people who can
22 afford it, they take the private jet. Sometimes when a risk
23 is very small, an increase in the risk still is a very small
24 risk. That's true, isn't it?

25 THE WITNESS: That is true. To put it in context,

1 when you look at the absolute mortality rate with
2 gender-affirming care, and you look at -- it's not
3 insignificant. If you look at the Kaplan Meier curves to look
4 at things that are not irritation or -- so, anyway, your point
5 is well taken. It is true.

6 THE COURT: When you analyze this kind of medical
7 care or any kind of medical care, does clinical experience
8 matter?

9 THE WITNESS: Yes. I'm not going to say it's not
10 important.

11 THE COURT: So assume for me that -- we have had
12 evidence in this case of many hundreds of individuals who have
13 been treated medically and have had very substantial
14 improvements in their quality of life. Should that be a
15 factor in the analysis at all?

16 THE WITNESS: So I would say that there is a
17 longstanding history within the medical profession of
18 practitioners making statements based upon a belief that they
19 are helping their patients only to find out later that they
20 have not. So that one needs to interpret with caution the
21 clinical experience supported by the available scientific
22 evidence.

23 THE COURT: My question was: Should the clinical
24 experience be taken into account in assessing that?

25 THE WITNESS: It should be considered.

1 THE COURT: Now, I understand that you don't always
2 know what the situation is medically. My experience is, when
3 somebody thinks they are happy, they're happy. And when they
4 think they are unhappy, they're unhappy. It's almost
5 tautological. So if there are hundreds of patients that have
6 been treated, and the record shows that the patient said that
7 they were happy, they were better after the treatment, how is
8 it that you are able to say they are probably wrong, or they
9 may be wrong, or we can't rely on what they think their mental
10 position is?

11 THE WITNESS: To be clear, Your Honor, I did not
12 definitively conclude that they're wrong. I said that the
13 scientific information is insufficient to make a conclusion
14 about their long-term welfare. In this situation here, the
15 existing data for those that undergo detransition or have
16 regret is a very long time frame. And it's very well -- to
17 make a conclusion based upon an outcome of just several years
18 is not sufficient in light of what scientific evidence that we
19 have about long-term effects.

20 Another factor that I did not have a chance to
21 mention during my testimony is, in many of these clinical
22 trials, there is a substantial dropout rate of patients;
23 sometimes as many as a third.

24 THE COURT: I'm not talking about clinical trials.
25 I'm talking about doctors who treat real patients. We had

1 patients sitting on that witness stand where you are sitting
2 now, a young man who thinks he's a lot better off. Do you
3 doubt that he's a lot better off?

4 THE WITNESS: I haven't had that conversation, but I
5 have talked with people that are not happy with what they had,
6 and they universally tell me that they want to stay as far
7 away from their practitioners as possible.

8 THE COURT: And let me tell you the people on the
9 private jet that went down, they were not happy either.

10 They quoted to you *amicus* briefs, one talking about
11 false belief and delusion, and you signed on to that brief.

12 THE WITNESS: That's correct.

13 THE COURT: Do you think that, let's say, a
14 12-year-old girl at birth who identifies as a boy is
15 delusional?

16 THE WITNESS: I have had this conversation with
17 multiple individuals.

18 THE COURT: I really don't want to know about your
19 conversation. I want to know what you think. Do you think
20 that that person is delusional?

21 THE WITNESS: It depends on how you define the word
22 "delusional." Delusional, whether one recognizes the
23 discrepancy between biological sex and their gender identity
24 versus somebody that does not.

25 THE COURT: Probably a bad question because

1 "delusional" may be a medical term, and I didn't mean to use
2 it that way.

3 The other thing in the brief was that this was a
4 false belief. Do you think that the person who was assigned
5 male at birth who identifies as female has a false belief?

6 THE WITNESS: Again, the statement is in reference to
7 whether a male can become a female, and the argument from a
8 biological -- and this is why it's very central to my
9 discernment of this about the scientific premise about whether
10 one can be born in the wrong body -- that the assertion that
11 is made, I say that it is false to say that sex can be
12 changed.

13 THE COURT: This is in reference to a false belief.
14 Look, maybe I'm not describing it very well. Let's just get
15 it out in the open and talk about it.

16 There are people who believe that a trans individual
17 is indeed trans; that the person was born with male physical
18 characteristics, assigned male at birth, but identifies as
19 female, that that is a thing. There are people that believe
20 it's all poppycock, and it's just a decision that somebody
21 made, and that it's a false belief. I would have thought that
22 when a brief said this is a false belief and delusion, and
23 these are people impersonating someone else, that that was the
24 view, the second view I described, the view that this is not
25 really a thing; that this really is not a case that somebody

1 is born in a male body but identifies as female. That's not
2 what is going on. It's just a false belief. I just need a
3 straight-up answer.

4 Do you think it's a false belief or do you think
5 there are really people that's who they are? They are born in
6 a male body but believe, identify as females.

7 THE WITNESS: I accept that there are people that are
8 born that are biological males that identify as females. The
9 falseness is in whether they truly are females. They identify
10 as, and they have a gender identity as, that's a different
11 question. I would say that I do not deny that people present
12 with a perception of their gender identity that is discordant
13 with their gender, their biological sex.

14 THE COURT: Their perception. But, I mean, are they
15 wrong or is -- is there somebody that their whole life
16 identifies as a different gender from the sex assigned at
17 birth?

18 THE WITNESS: I would imagine that there may be, yes.

19 THE COURT: You gave puberty blockers to a
20 three-year-old once.

21 THE WITNESS: More than once.

22 THE COURT: More than once. Tell me the grade of
23 evidence using the GRADE system that supports providing
24 puberty blockers to a three-year-old. And then I'm going to
25 get you to give me the control random studies that support it

1 or whatever for a three-year olds.

2 THE WITNESS: Correct. To my knowledge, there has
3 not been a clinical practice guideline using the GRADE system
4 to assess that question.

5 THE COURT: Are there any randomized controlled
6 trials that support giving puberty blockers to three-year
7 olds?

8 THE WITNESS: No.

9 THE COURT: Did you just use your clinical judgment
10 to decide that this would improve this child's prognosis?

11 THE WITNESS: No. I used much more than my clinical
12 judgment. I looked at the existing literature as far as the
13 use of the medication for that purpose, the outcomes, and also
14 in consideration of risk and benefit in that setting.

15 THE COURT: And was there a lot of literature about
16 three-year olds?

17 THE WITNESS: It covers the -- yes, there is
18 literature on three-year olds.

19 THE COURT: I have known of a couple of situations
20 where a child was too young to swim at a cocktail party or
21 whatever. The pool is there. The child winds up in the pool.
22 The adult jumps in and gets the kid out. That's the right
23 thing to do, right?

24 THE WITNESS: Yes.

25 THE COURT: What quality of evidence, using the GRADE

1 system, supports the view that the right treatment for that
2 child is to get the child out of the pool?

3 THE WITNESS: There is no need for a GRADE system for
4 that. Again, there are -- it's not unique to the gender
5 dysphoria endocrine guidelines using the GRADE system. But at
6 any time when one assesses a medical intervention and a
7 recommendation, it is consideration of the relative risk
8 versus the relative benefit. I would say that your example,
9 hypothetical, is vastly different than the situations that
10 we're talking about.

11 THE COURT: Vastly different. I did it for that very
12 reason. You get a five-year-old with a peanut up the
13 five-year old's nose. There are probably not any randomized
14 studies for that either. You just take the peanut out of the
15 nose the best you can, right?

16 THE WITNESS: Correct.

17 THE COURT: Now, there are two possibilities, and I
18 think they are exhaustive. They exhaust the universe of
19 possibilities. You have a 12-year-old, for example, who
20 presents with a belief or identity of the other gender. So
21 male sex assigned at birth, 12 years old says, I'm a girl, and
22 has been saying this consistently for a long time.

23 I think there are only two or -- there are
24 variations, but there are two possibilities that exhaust the
25 universe. You can provide medical care or you cannot provide

1 medical care. Tell me the quality of evidence using the GRADE
2 system that supports not providing medical care.

3 THE WITNESS: I would disagree with the way that you
4 presented that because the two options are not the same.

5 THE COURT: Nobody ever likes my hypotheticals. But
6 tell me what's wrong with the idea that that exhausts the
7 universe. It's either yes or no; it's got to be one or the
8 other.

9 THE WITNESS: No, it is not. The reason why it's not
10 is that it's what type of medical care you provide. Nobody
11 would argue to give more medical care.

12 THE COURT: Let me back up and try to straighten this
13 out. By "medical care," I mean puberty blockers,
14 hormone -- cross-sex hormones or eventually surgery. So
15 define medical care as those. That's the medical care we are
16 concerned about in this case, so define it that way. This
17 child either gets medical care or does not get medical care.

18 THE WITNESS: Again, they could either receive the
19 affirmative approach or they could receive psychological
20 interventions that don't require those hormones. That's not
21 no care.

22 THE COURT: I didn't say no care. I get it, and we
23 can dance around this as long as you want to dance around it.
24 Sooner or later you're either going to answer this question or
25 you're not. And I'll draw whatever conclusions from that I

1 draw.

2 I think it's either you get medical care or you don't
3 get medical care. That -- I'm not a medical doctor. I've had
4 a few philosophy classes. It's got to be one or the other.
5 You either got medical care or you didn't get medical care.

6 So you talked a lot today about the quality of
7 evidence using the GRADE system that supports providing
8 medical care. My question is: What quality of evidence
9 supports providing no medical care?

10 THE WITNESS: I'm not able to answer the question as
11 you phrase it because I would say there is significant data in
12 the existing scientific literature that has not addressed
13 whether the improvement that is seen is due to psychological
14 intervention versus the affirmative hormones and surgery.
15 And, therefore, when we're talking about how you care for
16 these individuals, it's not give them the affirmative approach
17 or give them nothing. It is to be able to give them the
18 affirmative approach or an alternate approach that actually
19 explores and addresses other aspects.

20 THE COURT: List for me the high-quality evidence
21 that supports not providing medical care.

22 THE WITNESS: I'm not advocating nor I know anybody
23 advocating no medical care.

24 THE COURT: Yes, you are. Maybe I missed it. When
25 you define medical care as puberty blockers, hormone therapy

1 or surgery, unless I just totally missed your testimony, I
2 thought what you were advocating was no medical care. Did I
3 miss that?

4 THE WITNESS: Yes, you did.

5 THE COURT: What medical care do you advocate?

6 THE WITNESS: I advocate for high-quality research
7 studies looking at alternative methods including psychological
8 intervention.

9 THE COURT: When it comes to closing argument, I take
10 the answers to be, he knows of no high-quality evidence that
11 supports providing no medical care; and, frankly, I think
12 that's correct. There is not. I think that -- you can
13 address this when we get to closing. I think that you really
14 do either get medical care or you don't get medical care.
15 It's a decision one way or the other.

16 Your side seems to say, the Doctor seems to say, oh,
17 we don't have good evidence to do it this way, and so the
18 default is to do it that way. But that way is a choice, too.
19 And I haven't heard any high-quality evidence for that way;
20 and, frankly, I think, it's the same thing.

21 So you keep hammering this low-quality evidence, and
22 I hear the argument. But if you want to persuade me with it,
23 you are going to have to explain why what you're going to do
24 is provide no medical care, because I think that's a decision,
25 too.

1 Doctor, I have done the best with it I can.

2 Any questions just to follow up on mine?

3 MR. PERKO: No, Your Honor.

4 MS. RIVAUX: No questions, Your Honor. Thank you.

5 THE COURT: Thank you, Dr. Hruz. You may step down.

6 Ten to 5:00. You probably don't have a ten-minute

7 witness. We haven't had a lot of those in this case.

8 MR. PERKO: No, Your Honor.

9 THE COURT: Where do we stand?

10 MR. PERKO: We have Dr. Levine next, Dr. Lappert

11 after that, and then Dr. Kaliebe, and then we have two fact

12 witnesses from AHCA, Ann Dalton and Matt Brackett. Oh,

13 Dr. Scott, I forgot. She will be participating by Zoom.

14 She's in the U.K.

15 THE COURT: They are five hours off. It's okay with

16 me if she testifies at odd hours, but it's probably better for

17 her if she testifies during her day. If you need to switch

18 things around to accommodate that scheduling, we can do that.

19 MR. PERKO: Thank you, Your Honor.

20 THE COURT: 9:00 tomorrow. Anything else we need to

21 do tonight?

22 MR. GONZALEZ-PAGAN: Not from the plaintiffs,

23 Your Honor.

24 THE COURT: 9:00 tomorrow morning.

25 *(The proceedings adjourned at 4:50 p.m.)*

* * * * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter. Any redaction of personal data identifiers pursuant to the Judicial Conference Policy on Privacy are noted within the transcript.

Judy A. Gagnon
Judy A. Gagnon, RMR, FCRR
Registered Merit Reporter

5/17/2023
Date

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESSES FOR THE PLAINTIFFS:

PAGE

ELLIOT KALE EDMISTON

DIRECT EXAMINATION BY MS. RIVAUX..... 715
CROSS-EXAMINATION BY MR. BEATO..... 737
RE-CROSS-EXAMINATION BY MR. BEATO..... 755

KIM HUTTON

DIRECT EXAMINATION BY MR. LITTLE..... 757
CROSS-EXAMINATION BY MR. PERKO..... 774
REDIRECT EXAMINATION BY MR. LITTLE..... 775

ARON CHRISTOPHER JANSSEN

DIRECT EXAMINATION BY MR. GONZALEZ-PAGAN..... 777
CROSS-EXAMINATION BY MR. PERKO..... 810
REDIRECT EXAMINATION BY MR. GONZALEZ-PAGAN..... 813

* * * * *

WITNESS FOR THE DEFENSE:

PAGE

PAUL WILLIAM HRUZ

DIRECT EXAMINATION BY MR. PERKO..... 848
CROSS-EXAMINATION BY MS. RIVAUX..... 893
REDIRECT EXAMINATION BY MR. PERKO..... 937

PAGE

PLAINTIFFS REST

843

PLAINTIFFS' EXHIBITS

	<u>NO.:</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
1			
2			
3	21	Florida Administrative Code Rule 59G-1.010	820
4	22	Florida Medicaid Definitions Policy	821
5	24	AHCA's automated prior authorizations and bypass lists	820
6			
7	27	Prior Authorization Criteria	826
8	28	Agency responses to plaintiffs' questions	827
9	36	Position statement from the American Academy of Child and Adolescent Psychiatry	914
10			
11	37	A document from the American Academy of Family Physicians	911
12	38	Policy statement from the American Academy of Pediatrics	912
13			
14	39	Opinion document from the American College of Obstetricians and Gynecologists	915
15	40	Statement from at American College of Physicians	916
16			
17	41	Position paper from the American College of Physicians	917
18	42	Letter to the National Governor's Association	918
19			
20	43	Statement by the American Medical Association	923
21			
22	45	Guidelines for psychological practice with transgender and gender nonconforming people from the American Psychological Association	919
23	46	Document entitled, American Psychological Association resolution on gender identity change efforts	901
24			
25			

1	47	Position statement from the American Psychiatric Association	920
2			
3	48	Position statement from the American Psychiatric Association	920
4	49	Position statement by the Pediatric Endocrine Society	921
5			
6	62	Centers for Medicare & Medicaid Services, EPSDT	829
7	63	Centers for Medicare & Medicaid Services, CMCS	829
8			
9	67	FDA Understanding Unapproved Use of Approved Drugs Off Label	828
10	74	SAMHSA, Moving Beyond Change Efforts	824
11	254	Arlene Elliott email re GNRH coverage	838
12	255	Rebecca Borgert email	839
13	263	Draft GAPMS routing and tracking	839
14	276	Email from Susan Williams to Shantrice Green	839
15	291	Email	835
16	292	Invoices from Romina Brignardello-Petersen to AHCA	836
17			
18	292a	Attachment to Exhibit 292	836
19	295	Gender Dysphoria/Transgender healthcare Nonlegislative Pathway - June 2022	831
20	296	Gender Dysphoria/Transgender healthcare Policy Pathway - June 2022	832
21			
22	302	Email communication between Dr. Cogle and Jeffrey English	840
23	313	Email	836
24	313a	Attachment of Exhibit 313	837
25	314	Communication between AHCA and EOG	837

1	315	SMMC Policy Transmittal	837
2	316	AHCA Medicaid healthcare Alert	837
3	331	GAPMS - Scleral contact lenses	833
4	332	GAPMS - Fractional Exhaled Nitric Oxide	833
5	333	GAPMS - Breast Pump	834
6	346	Email communication between Sheeran and Brignardello-Petersen	839
7			
8	357	CV of Dr. Elliot Kale Edmiston	718
9	364	CV of Dr. Aron Janssen	781

* * * * *

DEFENSE EXHIBITS

14	<u>NO.:</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
15	8	Sweden's Summary of National Guidelines	874
16	9	Finland's Recommendation of the Council for Choices in healthcare in Finland	876
17			
18	10	The Cass Review, Independent Review of Gender Identity Services for Children and Young People	878
19			
20	11	National Institute for Health and Care Excellence, Evidence Review: Gonadotrophin Releasing Hormone Analogues for Children and Adolescents with Gender Dysphoria	878
21			
22			
23	12	National Institute for Health and Care Excellence, Evidence Review: Gender Affirming Hormones for Children and Adolescents with Gender Dysphoria	879
24			

25

1	13	France's Academie Nationale de Medecine Press Release	881
2			
3	14	Statement by the Royal Australian and New Zealand College of Psychiatrists	882
4	29	Curriculum Vitae of Paul Hruz	853
5			
6		* * * * *	
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			