

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF FLORIDA
TALLAHASSEE, FLORIDA

AUGUST DEKKER, et al.,)
)
 Plaintiffs,) Case No: 4:22cv325
)
 vs.) Tallahassee, Florida
) May 18, 2023
 JASON WEIDA, et al.,) 9 A.M.
)
 Defendants.)
 _____)

VOLUME IV
(Pages 963 through 1151)

TRANSCRIPT OF FIFTH DAY OF BENCH TRIAL
BEFORE THE HONORABLE ROBERT L. HINKLE,
UNITED STATES DISTRICT JUDGE

APPEARANCES:

For the Plaintiffs, Lambda Legal
By: OMAR GONZALEZ-PAGAN
Attorney at Law
ogonzalez-pagan@lambdalegal.org
120 Wall Street
19th Floor
New York, New York 10005

Lambda Legal
By: CARL S. CHARLES
Attorney at Law
ccharles@lambdalegal.org
1 West Court Square
Suite 105
Decatur, Georgia 30030



JUDY A. GAGNON, RMR, FCRR
Registered Merit Reporter

APPEARANCES: Cont'd

For the Plaintiffs: Pillsbury, Winthrop, Shaw, Pittman, LLP
By: SHANI RIVAUX
Attorney at Law
shani.rivaux@pillsburylaw.com
600 Brickell Avenue, Suite 3100
Miami, Florida 33131

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: JOSEPH LITTLE
Attorney at Law
joe.little@pillsburylaw.com
500 Capitol Mall, Suite 1800
Sacramento, California 95814

Pillsbury, Winthrop, Shaw, Pittman, LLP
By: WILLIAM C. MILLER
GARY J. SHAW
Attorneys at Law
william.c.miller@pillsburylaw.com
gary.shaw@pillsburylaw.com
1200 17th Street NW
Washington, D.C. 20036

Southern Legal Counsel Inc
By: SIMONE M. CHRISS
CHELSEA LEE DUNN
Attorneys at Law
simone.chriss@southernlegal.org
chelsea.dunn@southernlegal.org
1229 NW 12th Avenue
Gainesville, Florida 32601

Florida Health Justice Project
By: KATHERINE JEAN ANN DeBRIERE
Attorney at Law
debriere@floridahealthjustice.org
3900 Richmond Street
Jacksonville, Florida 32205

National Health Law Program
By: CATHERINE ANNE McKEE
Attorney at Law
mckee@healthlaw.org
1512 East Franklin Street
Chapel Hill, North Carolina 27514

APPEARANCES: Cont'd

For the Plaintiffs: National Health Law Program
By: ABIGAIL K. COURSOLLE
Attorney at Law
coursolle@healthlaw.org.
3701 Wilshire Boulevard
Suite 315
Los Angeles, California 90010

For the Defendants: Holtzman Vogel Baren,
Torchinsky & Josefiak, PLLC
By: MOHAMMAD OMAR JAZIL
GARY VERGIL PERKO
MICHAEL BEATO
Attorneys at Law
mjazil@holtzmanvogel.com
garyp@holtzmanvogel.com
michaelb@holtzmanvogel.com
119 South Monroe Street Suite 500
Tallahassee, Florida 32301

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

THE COURT: Good morning. Please be seated.
Mr. Perko, please call your next witness.

MR. PERKO: Defendants call Dr. Stephen Levine.

DEPUTY CLERK: Please stand and raise your right hand.

STEPHEN B. LEVINE, DEFENSE WITNESS, DULY SWORN

DEPUTY CLERK: Be seated.

Please state your full name and spell your last name for the record.

THE WITNESS: Stephen B. Levine. L-e-v-i-n-e.

DIRECT EXAMINATION

BY MR. PERKO:

Q. Dr. Levine, what academic and professional positions do you presently hold?

A. I'm clinical professor of psychiatry at Case Western Reserve University in Cleveland, Ohio. I am the staff -- a staff psychiatrist at a private practice, and I'm the head of the gender diversity program at that private practice.

Q. And what do you do in those positions?

A. Well, as a professor, a clinical professor, I teach, I write papers, I supervise. And as a clinical psychiatrist, I see patients five days a week.

Q. And could you please summarize your educational background?

1 A. I graduated summa cum laude from the Washington &
2 Jefferson College in Pennsylvania, went to Case Western
3 Reserve Medical School, graduated in 1967. Did a medical
4 internship for one year at University Hospitals, went to the
5 public health service and worked at the NIH field studies
6 unit in Phoenix, Arizona, studying diabetes in the Pima
7 Indians.

8 I then went back to University Hospitals of Cleveland and
9 had a three-year psychiatric residency, and then I obtained a
10 Robert Wood Johnson Foundation two-year fellowship in
11 research and academic pursuits. And then I have been
12 practicing psychiatry ever since.

13 Q. Could you briefly explain your professional experience
14 since obtaining your degrees and fellowships?

15 A. I'm sorry?

16 Q. Can you briefly summarize your professional experience
17 since obtaining your degrees and your fellowships?

18 A. I'm sorry, I am confused by your question. I thought I
19 just explained my professional degrees.

20 Q. Yes, I was asking about your professional experience
21 since obtaining your degrees.

22 A. Well, my degree as a board-certified psychiatrist
23 required passing exams and a certain number of years of
24 clinical experience. The Robert Wood Johnson Foundation
25 was -- I didn't even apply for, I was given by the chairman

1 of the department who had arranged it because he's -- I guess
2 because he saw that I had some kind of developmental
3 potential.

4 And I've always been interested in how things work and
5 how people get to be mentally ill and how people get to be
6 mentally unwell -- get to be mentally well with therapy and
7 medications and so forth.

8 So I consider myself to be a student of various subjects.
9 And, oh, I think I now understand your question. I'm sorry.

10 My specialty since the beginning of my academic career
11 has been human sexuality, and I was originally hired by the
12 department to develop a curriculum in human sexuality for
13 medical students. And in the process of developing those
14 lectures in that curriculum, I was known in my community as a
15 young doctor interested in human sexuality. And I began to
16 see all kinds of patients with sexual problems that I never
17 even heard of when I was a resident, and that includes gender
18 identity problems.

19 And in the process of coming to grips with all of these
20 new things coming at me, I established five or six clinics
21 within our system and gathered people around me to help me
22 understand marital problems, sexual dysfunction,
23 professionals who sexually offend, paraphilic problems, and
24 male and female sexual dysfunction.

25 And for our purposes today, in 1974, I started the first

1 clinic for gender -- what we called in those days under a
2 different name. We called it transsexualism. And so I was
3 the first colleague, and I started the Case Western Reserve
4 Gender Identity Clinic in 1974.

5 Q. Dr. Levine, do you have any experience with the treatment
6 of gender dysphoria?

7 A. Well, I've continuously been involved in the evaluation
8 and treatment of gender identity disorders since one month
9 after I started my academic career. And within nine months
10 of that first patient, a colleague and I started the Case
11 Western Reserve Gender Identity Clinic. And that clinic has
12 evolved into different -- under different names and has been
13 in place at different locations. But I have been
14 continuously, without interruption, taking care of gender
15 patients and their families since 1970, middle of 1973, and
16 formally since 1974.

17 Q. And have you -- approximately how many patients have you
18 treated with gender dysphoria?

19 A. Well, the emphasis is on the word "approximately," and I
20 would say 3 to 400.

21 Q. Dr. Levine, have you authored any peer-reviewed
22 publications?

23 A. Many.

24 Q. Approximately how many?

25 A. Close to a 150, 160, and that doesn't include chapters,

1 but chapters are peer-reviewed in a sense, too. So I think
2 at last count I have about 180 on publications.

3 Q. Have any of those involved gender dysphoria?

4 A. About 30, 35 of them have.

5 Q. Dr. Levine, did you attach a curriculum vita to your
6 expert report?

7 A. I'm sorry?

8 Q. Did you attach a curriculum vita to your expert report?

9 A. Yes, I did.

10 Q. Is that a true and correct summary of your professional
11 experience?

12 A. Except that on April 14th I published another paper, and
13 I'm not so sure it's in my CV. But other than that, it's
14 correct.

15 Q. Okay.

16 MR. PERKO: Your Honor, I believe Dr. Levine's CV is
17 on the stipulated exhibit list as Exhibit Number DX32. We'd
18 offer it into evidence.

19 THE COURT: DX32 is admitted.

20 (DEFENDANTS' EXHIBIT NO. DX32: Received in evidence.)

21 MR. PERKO: Your Honor, at this time I would tender
22 Dr. Levine as an expert in psychiatry.

23 THE COURT: Questions at this time?

24 MR. LITTLE: No, Your Honor.

25 THE COURT: You may proceed.

1 MR. PERKO: Thank you, Your Honor.

2 BY MR. PERKO:

3 Q. Dr. Levine, what is gender dysphoria?

4 A. Gender dysphoria is a DSM-5-TR diagnosis that is
5 characterized by fundamentally a current incongruence between
6 the sense of one's self and one's gender and the biologic sex
7 the person inhabits.

8 It has certain criteria, including duration criteria of
9 at least six months and an impairment of social, vocational,
10 educational function and other important areas of function.
11 And it has to fulfill a certain specific criteria like the
12 aspiration to have -- have the sexual -- secondary sex
13 characteristics of the opposite sex, dislike of one's body,
14 et cetera, et cetera.

15 Q. Dr. Levine, is gender identity biologically based?

16 A. Well, if you mean by biologically based biologically
17 determined, the answer is definitely not. But the origin of
18 gender identity disorder is a complex interaction between
19 biologic givens, temperamental tendencies, developmental
20 factors, psychological developmental factors, interpersonal
21 factors, and cultural factors.

22 So these are the four great forces that shape all sexual
23 behavior including identity, behaviors that stem from
24 identity, biologic, developmental, interpersonal, and
25 cultural.

1 So if we can accept that general principle, we would
2 never say it's simply biologically determined.

3 Q. What are the different models of therapy for gender
4 dysphoria?

5 A. There are three basic models.

6 Well, I'm sorry. Would you ask that again?

7 Q. What are the models for therapy -- different models of
8 therapy for gender dysphoria?

9 A. For therapy, yes.

10 One is to characterize the problem that is accurately
11 diagnosed, the presence of the current gender identity and
12 meeting criteria for the gender dysphoria and then follow the
13 family and -- the patient and the family over time without
14 any intervention, knowing that development itself helps a
15 child or a minor discern how he wants or she wants to live
16 their lives.

17 So without anything but a follow-up, watchful waiting we
18 sometimes call that, that's the same term we use, for
19 example, if people have mild prostate cancer or low grade
20 prostate cancer, we watch them over time rather than
21 intervene. We monitor them over time to see what the course
22 of the illness is. So watchful waiting is one approach.

23 The other approach because many of these children and
24 their families have multiple forces that are adverse or
25 negative or tense, tension, and the child developmental ideal

1 concepts how to raise children are not present in that
2 family, so the second approach would be a psychotherapeutic
3 approach addressing the symptomatic expressions of the child,
4 like bed wetting or anxiety or depression and so forth,
5 without a focus on gender identity at all, but a focus on
6 helping the family function better to enable a healthier
7 developmental process for the child.

8 And the third general category is the affirmative care
9 where the child's current gender identity is supported, and
10 maybe even the child is socialized. A grade school-aged
11 child, a prepubertal child might be socialized in the
12 opposite or aspired to gender, followed by medical, hormonal
13 and then eventually surgical intervention.

14 So in summary, there is watchful waiting. There's a
15 psychological approach to address the underlying
16 developmental forces that are less than ideal in the family,
17 and then there is the watchful waiting, which privileges
18 gender identity to treat the gender identity. And so the
19 psychological, the second force, the psychological force
20 privileges the associated psychological problems in the child
21 and in the family, whereas the affirmative care approach
22 privileges the symptoms of gender identity.

23 Q. Is the psychological approach --

24 THE COURT: Let me -- when you say "privileges,"
25 would another word for that be prioritizes?

1 THE WITNESS: Prioritizes, yes.

2 THE COURT: I just wanted to make sure I understood
3 your use of the word.

4 BY MR. PERKO:

5 Q. Dr. Levine, you mention the psychological approach. Is
6 that conversion therapy?

7 A. In my mind it is not conversion therapy. It is just
8 prudent traditional psychiatric approach to any other
9 psychological problem that a child may have. This is a
10 really pejorative term, and it frightens many mental health
11 professionals from even being involved in the evaluation and
12 treatment of kids with gender identity disorder.

13 I just need to emphasize that prudent, judicious and
14 traditional psychiatric care that begins with an evaluation
15 of the child and the family circumstances is how we approach
16 every other psychiatric condition in a minor or a teenager.

17 Q. Are you familiar with the term "standard of care"?

18 A. I am.

19 Q. What does that mean?

20 A. A standard of care is a formal document that is derived
21 by every medical specialty for each major disorder in that
22 specialty. It is hopefully derived by a scientific review
23 every five years of the current literature of the research,
24 and it issues a brief recommendation for how a particular
25 problem should be handled. I mentioned low-grade prostate

1 cancer. There is a standard of care for a low-grade prostate
2 cancer. It is written by urologists and people who have
3 great expertise in evaluating the quality of science.

4 So standards of care generally are to be issued every
5 five years because science changes. It's to be constructed
6 by people in the field, at least the minority of people in
7 that committee in the field but also with people outside the
8 field who have expertise in research -- development and
9 research evaluation of papers, often people from epidemiology
10 and different fields who have sort of expertise in how to
11 construct research and how to the interrupt research.

12 So that's the standards of care. Standards of care are
13 often used almost synonymously with clinical guidelines, but
14 clinical guidelines -- well, let me say this again.

15 Standards of care have a highfalutin kind of connotation
16 that it's kind of almost universal that the world agrees that
17 the way to take care of low-grade prostate cancer is this,
18 and the alternative is this. Clinical guidelines tend to be
19 much more regional, much more local and not necessarily
20 universally accepted.

21 Q. Dr. Levine, are you familiar with an organization called
22 the World Professional Association for Transgender Health or
23 WPATH?

24 A. I am.

25 Q. And what is your experience with WPATH?

1 A. I was one of the original members from the early '70s,
2 and I was in the organization for 25 years, and I was asked
3 to be the chairman of the development of the fifth edition of
4 the Standards of Care which were published in 1999.

5 I attended their every-two-year meetings, and in those
6 early years, my association with -- it wasn't called WPATH
7 then. It was called The Harry Benjamin International Gender
8 Dysphoria Association. But in those years, it was an
9 international organization of people who were interested in
10 answering the question, what is this thing called
11 transsexualism and why do people want to do this, and what
12 are we supposed to do about it?

13 We were a group of people, international academic people
14 or just people interested in this subject who came together
15 to try to figure out the answers to those questions. So it
16 was in my view, a young doctor's view, a scientific
17 organization seeking answers to vital questions.

18 But when I presented the WPATH the fifth Standards of
19 Care to the executive committee of HBIGDA -- it was
20 called -- the chairman of the department -- the president of
21 HBIGDA had read the 21-page report that our committee
22 created, and he objected to one aspect of the
23 recommendations. And that was that people should have two
24 independent psychiatric evaluations that recommended hormone
25 therapy.

1 He thought it should be one, and he was really quite
2 upset with us, and he told me at the meeting where it was
3 accepted -- or the fifth version was accepted that he was
4 going to appoint a sixth committee because he thought it was
5 excessive that we asked -- he thought it was too conservative
6 that we asked two independent psychiatrist to -- or
7 psychologists to make an opinion that this is a reasonable
8 choice for this particular person. And, in fact, I think in
9 2002, the next Standards of Care was issued, and if I
10 remember correctly, it's almost word-for-word, that -- for
11 our Standards of Care except that it asked only for one
12 letter of recommendation for hormone treatment.

13 So that -- so I guess I wasn't pleased, but I was also
14 reassured that my language or the language of my committee
15 persisted in all but one section in the sixth Standards of
16 Care. But I had attended the meeting and then the next
17 meeting, and I realized that Dr. Green was committed to
18 advocacy for trans care, and he was -- and the entire
19 organization had become committed to advocacy rather than
20 understanding the answers to these fundamental questions.
21 And instead of a bunch of scientists and clinicians attending
22 these meetings, they were suddenly cross-dressed people who
23 were booing when they heard things that they didn't like.

24 And so I decided that I no longer could be a member of
25 HBIGDA, and I think about 2002, I didn't renew -- after

1 attending a meeting, I didn't renew my manipulate.

2 Q. Dr. Levine, are you familiar with the WPATH Standards of
3 Care Version 8?

4 A. Yes.

5 Q. Do you consider those to be true standards of care?

6 A. No. I think -- true in a scientific sense, you mean?
7 True in a way that is -- accurately reflects the state of
8 understanding. I think it's much more -- it's much more
9 comprehensive. The fifth and sixth Standards of Care were 21
10 pages. The seventh Standards of Care were 121 pages. The
11 eighth Standards of Care are over 300, I think 360 pages.

12 So if you ask a doctor to read the standards of care and
13 follow the standards of care, you are asking the doctor to
14 read a book which is not going to happen. But the
15 construction of the standards of care are not based upon an
16 accurate balanced view of the state of science. They are
17 based upon a consensus of people in the field who have agreed
18 that, even though the quality of the data, the scientific
19 data is very low or low, the standards of care recommend that
20 hormonal treatment be the first step for teenagers in
21 affirmative care.

22 So the relationship between the tradition of how people
23 have been cared for versus which I might call fashion-based
24 treatment versus scientifically based or evidence-based
25 treatment, those things are very different. And in the

1 eighth Standards of Care, 360 pages of rhetoric of talking
2 about evidence and -- lead to the conclusion that the fact
3 that there is a low quality of evidence does not mean we
4 shouldn't use hormones and surgery when patients want them.

5 So I'm not impressed with the standards of care. They
6 certainly are not universal. Certainly you have already
7 heard that the European countries don't follow those
8 standards of care anymore. And not -- the standards of care
9 have been written by people who believe in hormonal
10 treatment, and they do not include people who have any
11 skepticism about it.

12 Q. Could you please explain how WPATH's standards of care
13 historically dealt with psychotherapy as a treatment for
14 gender dysphoria?

15 A. Well, in those early years, the '60s, '70s and '80s,
16 psychotherapy was a tool for evaluating and understanding the
17 answers to the basic questions. By the seventh standard of
18 care in 2012 -- 2011, '12 and '13 were the years where the
19 seventh edition became widespread -- psychiatric evaluation
20 and the previous recommendation for psychotherapy before we
21 had endocrine treatment, that was downgraded dramatically.
22 So the psychiatric evaluation extended the psychiatric
23 evaluation which had been previously the standard
24 recommendation was downgraded, and people like me who
25 performed these psychotherapeutic evaluation processes were

1 called gatekeepers.

2 And because there was a great influence from the
3 community of trans adults themselves who wanted a particular
4 form of treatment, this -- "a gatekeeper" a pejorative name.
5 And so when psychotherapy as a reasonable process to begin
6 the evaluation of people who wanted to change their gender
7 expression suddenly became an enemy of the trans community.

8 And so nowadays when you read psychotherapy in the eighth
9 edition of the Standards of Care, it's usually preceded by
10 the word "supportive." So you have to have supportive
11 therapy. And what supportive mean is helping the people live
12 with all the conflicts and dilemmas that they may feel about
13 being trans and all the environmental problems they
14 encounter, and you have to support their concurrent gender
15 identity.

16 Whereas psychotherapy used to be an evaluation of the
17 psychological developmental conflicts and ambivalences that
18 the person had, the worries that they had about this
19 transition. So psychotherapy used to be respected, and now
20 it's viewed -- you have already introduced this word,
21 "conversion." It went from an expected prelude to
22 considering medicalization to being some kind of an enemy of
23 the trans person. And that's been the dramatic
24 transformation over 40, 50 years, especially actually over
25 the last 20 years.

1 THE COURT: At some point, I'm going to make sure I
2 understand that, if you are still on the same subject and want
3 me to wait just a minute. Is this a good time as any to
4 interrupt.

5 MR. PERKO: Yes, Your Honor.

6 THE COURT: Let me make sure I understand this. I'm
7 not going to put a meaningful timeframe on it, but basically
8 I'm going to talk about early in your career as you were
9 starting into this. And I take it the approach that you would
10 think would be appropriate now, the idea is good psychiatric
11 care, psychotherapy, an analysis of the individual, supporting
12 the individual but not necessarily supporting the
13 individual -- the individual's current gender identity, not
14 necessarily opposing the person's gender identity but
15 evaluating the gender identity and trying to come up with a
16 plan for the individual.

17 Basically is that the approach?

18 THE WITNESS: That is the approach, but I want to add
19 one thing to that. In evaluating the individual, we want to
20 understand the forces that may have influenced the solution, I
21 am a trans person. See, a new current gender identity is a
22 solution. We're asking the question, what is the problem?

23 So what we want to know through the psychotherapeutic
24 process over time is what things are disturbing this person in
25 such a way that they imagine that, if they transfer -- if they

1 change their gender expression, all their preceding problems,
2 which I can enumerate, the recurrent serious preceding
3 problems, all those problems will be ameliorated.

4 So a psychotherapy is an attempt to identify what
5 is -- in the courtroom it's called comorbidities -- and to see
6 if we can address the sources of those comorbidities and
7 attenuate the symptoms of those comorbidities if not eradicate
8 them entire.

9 THE COURT: How did we get here and how do we solve
10 any problem going forward, essentially?

11 THE WITNESS: Exactly. How did we get here?

12 THE COURT: So prioritizing hormone treatment or
13 medical care is not what you advocate. What's been called
14 conversion therapy resisting the gender identity is not what
15 you advocate. What you are saying is you need to figure out
16 how we got here and how we ought to go forward without a
17 preconceived notion of which of those is appropriate.

18 THE WITNESS: Right. And ultimately, it is the
19 individual patient's decision on how to live his or her life.
20 And if that person chooses to medicalize after a period of
21 careful evaluation, which is not done in one hour or two hours
22 or three hours, you know, that's their right as an individual
23 person, especially if they are 18 years old, an age of
24 majority.

25 THE COURT: Jumping to medical care -- by "medical

1 care," I mean puberty blockers, hormone treatment, something
2 other than therapy but medicines -- jumping straight to that
3 inappropriate -- and you've talked about conversion therapy.
4 You understand there are some places where the preconceived
5 determination is we're going back to the natal sex and without
6 the individual evaluation already know the answer.

7 Just like there are some people that know the answer
8 is medicine. There are some people on the other side that
9 know the answer is going back.

10 That's true, isn't it? Aren't there some people that
11 do that?

12 THE WITNESS: Well, you know, the word "know" in your
13 sentence is really "believe" that they know.

14 THE COURT: Absolutely.

15 THE WITNESS: What we're talking about here is
16 long-term negative impact on sterility, on sexual dysfunction,
17 on the ability to form and maintain lasting relations with a
18 pool of people who are interested in participating in
19 long-term stable relationships, and the fact that we know that
20 there is premature mortality in the trans populations.

21 So when we say people know what is the best way of
22 treatment, if -- they need to know what the long-term impact
23 is of the comorbidities, plus the current gender identity, and
24 so --

25 THE COURT: You're jumping ahead to stuff that I need

1 to let Mr. Perko deal with first before I start following up.
2 So you answered. I think I understand what you told us to
3 this point.

4 Mr. Perko, you may carry on.

5 MR. PERKO: Thank you, Your Honor.

6 BY MR. PERKO:

7 Q. Dr. Levine, are you familiar with the longitudinal
8 studies by de Vries, et al.,?

9 A. Yes, I am.

10 Q. And can you tell us about those studies?

11 A. First, I want to tell you about the amazing significance
12 of this study, that when this study was published in 2014,
13 the world accepted the results of this and began at rapid
14 acceleration, what we call rapid defusion of the new
15 treatment standard of taking minor children, giving them
16 puberty blockers and cross-sex hormones and surgery.

17 So nobody really right after this -- with one exception,
18 no one tried to replicate the study. There was a replication
19 attempt in England and it failed. But here are the problems
20 with the de Vries study. This study is often referred to as
21 "the Dutch protocol. "

22 The Dutch had 197 families, of kids and families. They
23 offered the Dutch protocol to a 111 of them. The reason they
24 didn't give them to the rest was their family was not
25 supportive or the child was too mentally ill, too

1 symptomatic. So they had a 111 families that they offered
2 this to, and 70 families agreed to enter into the protocol.
3 When the protocol finished, there were 55 children reported
4 upon.

5 Now, this study was not controlled. So you couldn't --
6 when a study that doesn't have a control, even though you may
7 interpret that this -- we did this and this is the result,
8 scientifically you can't know that because many things could
9 have determined that result.

10 And one of the things that is very important to know is
11 the Dutch protocol selected healthy families who were
12 supportive and children who were not very symptomatic.
13 Number one, they cherry-picked healthy people predisposed to
14 have good results.

15 Number two, they only took into this protocol children
16 who were cross-gender identified consistently during this
17 prepubertal ages. They did not -- so no child was socially
18 transitioned before because the de Vries group at that time
19 knew -- at that time we already knew there was a very strong
20 desistance rate for the cross-gender identified children.
21 That means if you do watchful waiting in the cross-gender
22 identified children, up to 85 percent of them will eventually
23 reidentify with their biological sex.

24 So they waited. They took kids that were not socialized,
25 who continued to be cross-gender identified and who entered

1 into puberty and got more symptomatic. Those were the
2 children that were selected for the Dutch protocol. In
3 America and elsewhere, today most of the children -- and by
4 the way, the Dutch protocol had a preponderance of male
5 children who would the cross-gender identify.

6 THE COURT: Natal males.

7 THE WITNESS: Natal males.

8 Today in America, the vast majority of the people now
9 asking to be hormonally treated are females, and the vast
10 majority of them did not have cross-gender behaviors and
11 identifications during grade school.

12 So today's treatment is not based upon the same kind
13 of kids that the de Vries study did. And in 2020, I think
14 de Vries and the second author reminded the world of that, and
15 more research needed to be done on the children who were
16 beginning to be cross-gender identified only after puberty.
17 So that is not controlled.

18 The children and the families in the Dutch protocol
19 had concomitant at the same time they all had
20 psychotherapeutic intervention, the child and the family. So
21 there were two things going on at one time there. There was a
22 hormonal treatment, and then there was the psychotherapeutic
23 treatment.

24 They knew at that time these children needed a lot of
25 help. So they did both things. And because it wasn't

1 controlled, you see, you can't conclude that those children
2 did better, did okay.

3 Now, what they said was -- in 2014 is that the 55
4 children who constituted the end product of the Dutch protocol
5 were between 12 and 18 months post surgery. There was no
6 long-term follow-up. And they said that it cured a gender
7 dysphoria. Cured gender dysphoria.

8 And as you heard yesterday, that is thought to be an
9 artifact to the fact that when you are a natal male you were
10 given a questionnaire before you started for a natal males,
11 and when you were done with your surgery, you were given a
12 questionnaire for the natal females.

13 And so questions about are you satisfied -- are you
14 distressed -- what level of distress you have when you have
15 erections, which at age of 11 or 12 -- I should say 12 or 13,
16 because they waited longer in those days -- of course, the kid
17 was distressed because he had an erection. So there was no
18 question about that -- so the question is, are you distressed
19 when you menstruate, for example, well, to -- at the end of
20 protocol. So the new female is not distressed when they
21 menstruate.

22 So what we think is by -- and de Vries herself has
23 recognized that switching the protocol was -- the protocol --
24 switching the questionnaire was not an ideal way of evaluating
25 this.

1 The other thing is of 15 people didn't complete the
2 protocol. And some of the reasons that they didn't complete
3 the protocol had to do with the development of diabetes, a
4 development of obesity, and there was one death. So in some
5 of the papers, there are eight different criticisms for the
6 limitations of this study. I have given you five.

7 BY MR. PERKO:

8 Q. Does the use of puberty blockers and cross-sex hormones
9 for the treatment of gender dysphoria been shown to improve
10 mental health outcomes?

11 A. It depends who you ask and what studies you use, but a
12 recent review of this by Thompson and published, I think, in
13 2020, published in -- was an attempt to do a systematic
14 review of exactly that question, and they could not conclude
15 that mental health was improved.

16 More recently, there was a study published in the
17 *New England Journal of Medicine* whose lead author was
18 Dr. Chen, and they studied, I think, 315 kids at age 16 who
19 were given cross-sex hormones, and they found that
20 statistical significance to the children at age 18 were
21 highly happy, were very happy with their new appearance.

22 But when they study depression and anxiety, although,
23 looking at the 315, there was some improvement for the
24 whole -- the group as a whole for depression and anxiety, the
25 actual experience is if you look case by case, there were

1 many kids that got worse and some kids got a little bit
2 better. So it was all over the place. And as Dr. de Vries,
3 who wrote a commentary on this in the *New England Journal of*
4 *Medicine*, said there is no mention in the study about the
5 physical complications of this; it was just about the mental
6 health.

7 Now, if you look closely at the study, there is some
8 reason to doubt about the mental health improvements, but
9 there were definite improvements in the happiness with one's
10 appearance, you see. They've had two of those kids suicided
11 during the course of those two years. So obviously -- and
12 there was no -- I think no mention of who got admitted to the
13 psychiatric hospital during those two years.

14 So with the data presented, the glib conclusion is
15 that -- by this group is that the mental health is improved.
16 But when you talk about improved mental health, it's very
17 important to say what parameters are you using.

18 And from one study to another, the parameters that are
19 used to support the idea that mental health is improved
20 varies from study to study. There is a very little
21 consistency.

22 Q. In your opinion, Doctor, has the use of puberty blockers
23 and cross-sex hormones been shown to the improve the mental
24 health condition in your opinion?

25 A. No.

1 Q. In your opinion, has sexual reassignment surgery for the
2 treatment of gender dysphoria been shown to improve mental
3 health outcomes?

4 A. By "sex reassignment surgery," you mean mastectomies?

5 Q. Yes.

6 A. And genital re -- conformations?

7 Well, here again, we have a tradition --

8 Q. I'm asking for your opinion, Doctor.

9 THE COURT: Let him answer the question.

10 THE WITNESS: I will answer the question this way:

11 In the last three years, there have been two studies
12 by advocates of sex reassignment surgery, whose introduction
13 have said that it's unclear whether sex reassignment surgery
14 improves mental health, and they undertook two studies to
15 demonstrate one way or another did it improve the mental
16 health.

17 The most famous of the studies was published online
18 in 2019 in the *American Journal of Psychiatry*, which the
19 prestigious journal in our field. Twelve people immediately
20 wrote letters to the editor saying that the conclusions of
21 this study were not -- could not possibly be based upon the facts
22 that were presented, the data that were presented. So the
23 American -- the editor of the *American Journal of Psychiatry*,
24 after it had peer reviews and got accepted, sent it out to two
25 different statisticians who independently concluded the same

1 thing, the same way that the 12 letter writers concluded.

2 So when this published -- when this study was
3 published, not online but in print in August of 2020,
4 Dr. Kalin, who is the editor in chief, said that -- what he
5 did and explained the method and agreed with the letter
6 writers that the conclusions of the study were not based --
7 could not be scientifically be based on the data presented,
8 and so he asked the two authors of the study to write a
9 retraction.

10 They concluded that more sex reassignment surgery
11 should be done, and when they retracted the study, they said
12 more scientific studies needed to be done and that the answers
13 to their original questions were still unclear.

14 So when you ask me, do I believe the sex reassignment
15 surgery improves mental health, I say to you that many of the
16 of the people -- I would say all of the people who recommend
17 sex reassignment surgery believe that it improves mental
18 health, but we haven't been able to prove that it improves
19 mental health.

20 Again, we get back to what are the parameters of the
21 mental health that an individual study uses to conclude that
22 it improves mental health, because it's admittedly a complex
23 subject of what is mental health, how do you measure mental
24 health, you see.

25 And what we are longing for is an international

1 consensus about how to evaluate mental health and when to
2 evaluate mental health. Is it one year, three years, five
3 years, ten years, you see? And under what parameters.

4 The diagnosis of gender dysphoria has to include an
5 impairment in social, vocational, educational or other
6 important areas of function. Other important areas of
7 function probably include sexual capacity or relational
8 capacity, you see? And many of the consequences of sex
9 reassignment surgery on the genitals impair the sexual
10 capacities of the patients.

11 MR. PERKO: Thank you, Your Honor. Nothing further.

12 THE COURT: Cross-examine?

13 MR. CHARLES: Good morning, Your Honor. Carl Charles
14 for the plaintiff.

15 CROSS-EXAMINATION

16 BY MR. CHARLES:

17 Q. Dr. Levine, you have been a psychiatrist seeing patients
18 since 1973, correct?

19 A. My residency began in 1970.

20 Q. So you were an officially credentialed psychiatrist
21 starting in 1973?

22 A. Yes.

23 Q. And the overwhelming majority of your patients have been
24 adults, correct?

25 A. Well, in the -- probably early 30 years of my profession,

1 that's true.

2 Q. You have previously estimated that you have seen about 15
3 minor patients in your more than 50-year career, correct?

4 A. Yes, I always emphasize the estimate. I -- somewhere
5 along the line I've testified to that number.

6 Q. And you've also seen personally approximately six
7 prepubertal children?

8 A. Directly, yes.

9 Q. Dr. Levine, earlier this morning you used the word
10 "minor" to include both prepubertal children and adolescents;
11 is that correct?

12 A. Yes.

13 Q. In my questions, I'm going to make a distinction between
14 those two groups for clarity for the record.

15 Will you understand when I do that?

16 A. Certainly.

17 Q. When you evaluate adolescents for gender dysphoria, you
18 meet with their parents or legal guardians as well, correct?

19 A. I do.

20 Q. And you take reports from the parent and legal guardians
21 about the adolescent when you meet with them, correct?

22 A. Yes. You see, parents know what happened during
23 pregnancy, they know what happened in early life bonding
24 processes, they know about their own mental availability to
25 the infant and toddler child, they know about the experience

1 of two and three-year-olds, and no adolescent can tell me
2 anything about anything substantial and verifiable about his
3 early or her early life.

4 So it's imperative that the evaluation of adolescent
5 trans people or adolescent any patient, we get that kind of
6 information because one of the aspects of evaluation is
7 development, you know. If there are four things that
8 influence the development of gender identity, biology,
9 interpersonal, psychological development and culture, you
10 need the parents to teach you about the early parts of the
11 child's life.

12 Q. And so those parent and guardian reports contribute to
13 your assessment about whether an adolescent meets the
14 criteria for gender dysphoria, correct?

15 A. No, no. No, what --

16 Q. Parent reports don't contribute to your assessment?

17 A. They contribute to the assessment of the origin, the
18 influences of the child. Whether a child meets criteria
19 depends on what the child says, not what happened to them in
20 pregnancy.

21 I don't seem to be clear to you. You asked --

22 Q. Let me ask a different question.

23 When you diagnose any patient for conditions like
24 depression or bipolar disorder, you rely on the self-report
25 of the patient, correct?

1 A. Depending on the age of the patient, I rely on
2 self-report and parental report. And the parental report is
3 very -- is very important to any psychiatrically-symptomatic
4 person who is brought to us.

5 Q. And reliance on self-report from the patient and
6 information from the parents or guardians is not, as you
7 said, unique to the diagnosis of gender dysphoria?

8 A. Correct.

9 Q. So it would be fair to say that diagnosing patients based
10 on self-report, and in the case of an adolescent information
11 from others who know the patient, parents, guardians, is
12 ideally how the practice of psychiatry works?

13 A. Yes.

14 MR. CHARLES: Your Honor, I would like to show the
15 witness what has been parked as DX16 from the stipulated
16 exhibit list.

17 BY MR. CHARLES:

18 Q. Dr. Levine, it should appear on your screen in just a
19 moment.

20 THE COURT: It's slow.

21 MR. CHARLES: It will just take a moment.

22 THE COURT: I said it will get there based only on my
23 clinical experience. We have no studies to confirm that.

24 THE WITNESS: It's here now.

25 BY MR. CHARLES:

1 Q. Okay. If you could please turn to S50?

2 Oh, I'm sorry, S48.

3 THE COURT: We're in DX16, and you are going to page
4 S48?

5 MR. CHARLES: Yes, Your Honor.

6 BY MR. CHARLES:

7 Q. Dr. Levine, how small is that print on your screen?

8 A. I can read it now.

9 Q. You can read it now? Okay.

10 A. Uh-huh.

11 Q. Dr. Levine, this is DX16, the WPATH Standards of Care,
12 Version 8, that you were discussing earlier.

13 Would you like to look at title page or did you see the
14 title page before we scrolled to the --

15 A. I saw it.

16 Q. Okay. So if you would please look with me at about --

17 A. May I ask you to speak a little louder?

18 Q. Yes, of course.

19 A third of the way down the page, 6.3, do you see that,
20 Dr. Levine?

21 A. Yes.

22 Q. Okay. And this is a recommendation, 6.3: *We recommend*
23 *healthcare professionals working with gender diverse*
24 *adolescents undertake a comprehensive biosocial assessment of*
25 *adolescents who present with gender identity related concerns*

1 and seek medical surgical transition related care and that
2 this be accomplished in a collaborative and supportive
3 manner.

4 Did I read that correctly?

5 A. Yes, you are an excellent reader.

6 Q. Thank you.

7 And if then if you look a little bit further down,
8 Dr. Levine, the bottom third of that section, statement of
9 recommendations, at 612(d), and I'll read the italicized font
10 at the top of this section:

11 *The following recommendations are made regarding the*
12 *requirements for gender-affirming medical and surgical care*
13 *(all of them must be met)?*

14 Did I read that sentence correctly?

15 A. Yes.

16 Q. And then back to 612(d):

17 *The adolescent's mental health concerns (if any) that may*
18 *interfere with diagnostic clarity, capacity to consent, and*
19 *gender-affirming medical treatments have been addressed?*

20 A. Would you give me the name of that? Is it 12(a) or
21 12(b)? Which one is --

22 Q. I was reading 612(d) as in dog.

23 A. Oh, D. Okay.

24 Q. Would you like me to read it again?

25 A. No, I'll reread it.

1 I read it.

2 Q. Okay. Did I read it correctly after your reading?

3 A. I have a feeling you are going to ask me this question 15
4 times, and I would like to compliment you on your ability to
5 read. So I'll just --

6 Q. Thank you, Doctor.

7 A. We're wasting time.

8 Q. I appreciate your understanding of our -- the
9 requirements of the legal practice in this regard.

10 Dr. Levine, you testified earlier this morning that you
11 have treated patients with gender dysphoria, correct?

12 A. Correct.

13 Q. And without specifying an age group, you have supported
14 some patients' social transition, correct?

15 A. Yes, we used to refer to this as the real life test.

16 Q. I'm sorry, Dr. Levine, I appreciate your speaking to the
17 judge. But when you do so, you turn away from the microphone
18 and I can't hear you.

19 A. I'm sorry.

20 No, we used to -- in supporting some people's transition,
21 social transition, we used to refer to this as a real life
22 test. Please live your life in the aspired-to gender for a
23 while, go to school, do -- present yourself and see what the
24 problems are both intrapsychically and interpersonally, and
25 then that will help you to decide whether you want to go

1 further.

2 That was a standard in the fifth version, sixth version
3 of standard of care. That real life test has disappeared
4 from the current standards of care. In other words --

5 Q. I'm sorry. Dr. Levine, just a moment.

6 You have written letters of authorization for adult
7 patients for gender-affirming surgeries, correct?

8 A. Correct.

9 Q. And you have done this as recently as within the past two
10 years, correct?

11 A. It's probably now two and a half years. Probably to be
12 safer, three years. I'm not sure. It was for a 26-year-old.

13 Q. Dr. Levine, do you recall a deposition that you sat for
14 in a case called *Brandt versus Rutledge* in May of 2022?

15 A. I think that was North Carolina, in North Carolina?

16 Q. Arkansas.

17 A. Arkansas, sorry.

18 Q. You do recall that?

19 A. Yes.

20 Q. Do you recall testifying in that deposition under oath?

21 A. I do.

22 Q. And in that deposition you testified that you had written
23 letters of authorization for adult patients as recently as 18
24 months ago.

25 A. Okay. If that was 12 months ago, so it would now be 30

1 months. So that's two and a half years.

2 Q. Thank you for helping me with that math.

3 A. My pleasure.

4 Q. And you've also written letters authorizing hormone
5 therapy for adult patients with gender dysphoria, correct?

6 A. Somewhere in the past, yes.

7 Q. And these are letters that patients can take to an
8 endocrinologist?

9 A. Yes.

10 Q. And you have written such letters authorizing hormone
11 therapy for adolescents in a few cases in the last five or
12 six years, correct?

13 A. Oh, yes.

14 Q. Dr. Levine, you would not write a letter supporting
15 hormone therapy for an adolescent if you did not believe the
16 patient had gender dysphoria, correct?

17 A. Correct.

18 Q. Dr. Levine, it's your understanding that there is no
19 medical intervention that is appropriate for prepubertal
20 children, correct?

21 Let me -- let me re-ask my question.

22 Aside from psychotherapy, it's your understanding that
23 there is no appropriate medical intervention, puberty
24 blockers, cross-sex hormones, surgery, for prepubertal
25 children, children who have not reached Tanner Stage 2?

1 A. Well, as asked -- as phrased, the question -- the answer
2 to your question is, yes, there is no appropriate medical
3 intervention. But it really raises -- we really have to
4 answer that question by saying that if you socially
5 transition a six-year-old, it does have long-term medical
6 implications.

7 Q. I appreciate that, Dr. Levine, but my question was very
8 narrow and specific.

9 A. Your narrow question -- I've answered your narrow
10 question.

11 Q. Thank you.

12 And Dr. Levine, you would not write a letter authorizing
13 hormone therapy for an adolescent without first determining
14 that they had a longstanding, stable gender identity?

15 A. Yes. May I elaborate on your question?

16 Q. No, not right now, Dr. Levine. Defendants' counsel will
17 give you an opportunity in redirect.

18 Dr. Levine, you testified earlier this morning that
19 standards of care should be re-renewed every five years.
20 What empirical data or reference are you referring to for
21 that assertion?

22 A. Well, I don't know if there are any empirical data. I
23 think that's the standard across the medical profession. I
24 don't think it's the result of studies. It's the result of
25 experience, about new research appears, and we -- and the

1 seriousness of what we do needs to be reconsidered
2 approximately every five years.

3 Q. I appreciate that, Dr. Levine. But let me make my
4 question broader.

5 I'm asking your assertion that it is standard, what is
6 that based on? Is that your clinical opinions?

7 A. No, that's based on a 2021 study by Sara Dahlan. I
8 forget where it appears, but you can readily find it where
9 they -- this study evaluated the seven standards of care and
10 enumerated the -- what you're asking about.

11 Q. Thank you.

12 A. This is the understood standard throughout medicine.

13 THE COURT: Spell Dahlan for us.

14 THE WITNESS: D-a-h-l-a-n.

15 BY MR. CHARLES:

16 Q. Dr. Levine, your view -- isn't it your view that if
17 parents and guardians are fully informed about the risks and
18 the state of the science, the decision about whether to
19 pursue hormone therapy for adolescents should be made by
20 parents, patients and doctors?

21 A. That's not quite true. I kind of think that doctors
22 don't know enough about the future of the patient to make a
23 strong recommendation for what should happen. I think that
24 doctors need to inform the parents about the state of
25 science, what is known and what is not known, and they, the

1 patients with the child, should make the decision.

2 When you asked me previously about do I write letters of
3 recommendation and you didn't want me to further elaborate,
4 what I needed to tell you is that I don't actually say I
5 recommend this person to have surgery.

6 Q. I appreciate that, Doctor.

7 THE COURT: I want to hear the rest of the answer.

8 THE WITNESS: What I say is the person and I have
9 gone through a process that satisfies my ability to understand
10 the forces that shape this decision. And as I believe the
11 patient gets to choose how he or she lives their life, I see
12 no reason to sustained in the patient's way of doing this if
13 the patient continues to want to have the hormones. I have
14 written letters for people who never actually do what the
15 letter allows them to do, whether it's take hormones or to
16 have orchectomies and so forth.

17 I don't think I know enough to recommend that this is
18 the best course for the future of this patient. I recognize
19 that my job is to teach the parents what science knows, and if
20 they and the child in conjunction with me or some other
21 clinician think this is the best thing to do, then they may do
22 it.

23 I don't think I know enough about the long-term
24 outcomes for this child to say I recommend this is the only
25 and the best treatment for this child. So I don't want you to

1 confuse a letter that says this is what the child is about and
2 this is what I know about the child with a strong
3 recommendation from Dr. Levine that the only treatment for
4 this is hormones or surgery.

5 THE COURT: Doctor, do you think the Florida
6 legislature or the governor has enough information to make
7 that decision for any given child?

8 THE WITNESS: I think doctors, myself are aware of
9 the uncertainties, and legislatures and governors, I don't
10 know what they know. I think they are responding to a kind of
11 sense of political and moral concerns that generally are not
12 my concerns.

13 BY MR. CHARLES:

14 Q. Dr. Levine, do you recall testifying in November 28,
15 2022, in a trial in Arkansas for that case we discussed
16 earlier, *Brandt versus Rutledge*?

17 A. I was there, yes.

18 Q. When asked the same question I just asked you, your
19 response was yes, that you do believe if parents, patient and
20 doctors are fully informed about the state of the science,
21 the decision about whether to pursue treatment for minor
22 adolescents should be made by that same group?

23 A. Well, I'm older now, and I have had a chance to reflect
24 upon your term "recommendation," "recommend," and I stand by
25 my statement today. I'm a maturing person, and I'm allowed

1 to change the emphasis of my answers.

2 Q. And, Dr. Levine, you understand you testified under oath
3 at that trial?

4 A. It was true. I wasn't being -- you evolve; I involve.
5 My answers can change. I read new papers since that time,
6 for example. You know, just to give you an example, sir, in
7 January of this year, there was a paper published in the
8 JAMA, the Journal of American Medical Association by a group
9 led by Jackson that demonstrated an increase mortality of
10 young adults with transgender identifications.

11 It was a further study about reduced -- an additional
12 study that demonstrated, as previous studies had done, the
13 limited life cycle, the increased mortality of trans people.
14 Now, when you talk about making recommendations and informing
15 parents, it's very hard to inform a parent that there is data
16 out there that had been consistently been present for the
17 over a decade that there is an increased death rate of people
18 who are transgender identified. That's very hard to tell a
19 parent.

20 And so the question is, is the informed consent process
21 going on actually telling the parent what science knows?

22 Q. But, Dr. Levine, you yourself do not write letters of
23 authorization unless you are sufficiently satisfied that you
24 have informed parents and the patient about those risks and
25 benefits as you just mentioned?

1 A. Well, I haven't written a letter of recommendation since
2 that study was published; that's just in January of this
3 year.

4 Q. But in the past, in your clinical experience.

5 A. In the past I have -- as I explained to you, I believe
6 that it's the parent's decision, and I have told the
7 endocrinologist what I know about the patient and I don't
8 stand in the way of getting hormones if they continue to want
9 hormones. But kids are much more ambivalent than they seem
10 on initial presentation, and sometimes they get a letter for
11 either surgery -- actually this is true for adults as well.
12 They get a letter for hormones and surgery, and then they
13 don't go through with it. And as you had me --

14 Q. I'm sorry, Dr. Levine. You agree that there are some
15 people who benefit, including long-term, from
16 gender-affirming medical treatments?

17 A. I hope that is true, yes.

18 Q. And, Dr. Levine, you have testified previously that
19 discontinuing treatment for adolescents who are current
20 receiving hormone therapy could create a psychological and
21 physiological problem, correct?

22 A. I have.

23 Q. And you have concerns about youth who have already been
24 stabilized in their new gender having to discontinue that
25 treatment, correct?

1 A. I have expressed concerns about that in the past under
2 oath.

3 Q. Dr. Levine, you've previously testified that in your
4 estimation, there are roughly 70 or more gender clinics in
5 the United States?

6 A. At that point, yes. I've subsequently seen reports on
7 the internet that there are even more; there are closer to
8 400. But I have no way of ascertaining that, especially you
9 see that there are clinics associated with hospitals, and
10 then there are individual practitioners who specialize or who
11 write letters or who see patients.

12 So it's -- and probably before 2014, there was a handful
13 of places associated with universities, and now most major
14 universities have gender clinics. For example, in Cleveland
15 today, besides our clinic, we have three major hospital
16 systems, and we have three -- every one of those hospital
17 systems has a clinic for gendered -- for gender youth.

18 Q. And, Dr. Levine, you said you heard -- you read on the
19 internet there were 400 clinics, but you don't have any
20 evidence to point to to support that?

21 A. Yes.

22 Q. And, Dr. Levine, you personally don't know how different
23 practitioners or clinics provide care, correct?

24 A. Yes. Neither do you.

25 Q. I'm sorry, I couldn't hear that.

1 THE COURT: Neither do you.

2 BY MR. CHARLES:

3 Q. Dr. Levine, you understand you are under oath today,
4 correct?

5 A. Yes.

6 Q. And I'm not.

7 A. Okay. I didn't presume you were.

8 THE COURT: If you think that means that you are free
9 to say things untrue, I choose to differ with you.

10 MR. CHARLES: No, Your Honor.

11 THE COURT: I expect you to be just as honest as the
12 witness on the stand.

13 MR. CHARLES: Yes, Your Honor.

14 THE COURT: With an advocate's privilege mixed in
15 there.

16 MR. CHARLES: Appreciated, Your Honor, thank you. My
17 apologies.

18 BY MR. CHARLES:

19 Q. And so, Dr. Levine, you personally do not know how common
20 it is for clinicians to provide gender-affirming hormone
21 treatments to adolescents without the careful assessment and
22 fully informed consent of their families?

23 A. I'm just reviewing the phrase that you uttered.

24 Q. I can repeat the question if it would be helpful.

25 A. Yes, please do. Perhaps you could change the wording a

1 little.

2 Q. So you, Dr. Levine, don't know how common it is for
3 clinicians across the United States to provide
4 gender-affirming care, that is, hormone treatments to
5 adolescents without careful assessment?

6 A. Well, of course, the answer to your question on the
7 surface is that I don't know how it's done everywhere. But I
8 do have sources of information that let me know that it's not
9 done carefully, and I am certainly am aware of the informed
10 consent processes in other places. And some of the sources
11 of my information are the parents who have come to me and
12 told me about their children being diagnosed and recommended
13 for affirmative care after one hour.

14 So -- and I have spoken to -- on two occasions in the
15 last year to groups of parents who have invited me and in the
16 question-and-answer period, they have told me this story
17 repeatedly. That they took their child, their minor child,
18 and before they knew it, before the hour was over, there was
19 a recommendation for affirmative care. One of my -- the
20 mother of one of my patients went to a nurse practitioner,
21 and in 45 minutes at the first visit to the nurse
22 practitioner got an estrogen prescription. This is very
23 common in my experience and in the experience of parents.

24 So the answer to your question is, as you phrased it, of
25 course I don't know what's happening everywhere in the

1 United States, but I do have lots of clinical experiences
2 that you would call anecdotal that tell me -- consistent
3 antidotal experience about the parents' concerns that their
4 child is not getting a thorough comprehensive psychiatric
5 evaluation.

6 MR. CHARLES: Your Honor.

7 THE COURT: You ask an argumentative question; you
8 get an argumentative answer. I'm going to hear everything he
9 has to say.

10 MR. CHARLES: Thank you.

11 THE WITNESS: So the answer is yes, I don't know,
12 but -- and I've told you but.

13 BY MR. CHARLES:

14 Q. But, Dr. Levine, sitting here today, you don't know and
15 can't point to empirical data about how most practitioners
16 around the country, how credentialed they are or how they
17 provide care. Yes or no?

18 A. I know to be credentialed by WPATH, you have to attend
19 the WPATH conference, educational conference. And so you can
20 have various degrees of clinical experience, and you can be
21 credentialed. And being credentialed by WPATH means that you
22 accept the principles of WPATH. And so again, the answer to
23 your question is I don't know, but I have lots of reasons to
24 believe that the credentials to qualify as a knowledgeable
25 gender expert is quite variable.

1 Q. But you couldn't say, Dr. Levine, based on your personal
2 experience whether that number of practitioners is a minority
3 or a majority, correct?

4 A. In some empirical way, meaning having counted, correct.

5 Q. Dr. Levine, you don't have any knowledge about how
6 gender-affirming medical care is provided to adolescents in
7 Florida, correct?

8 A. Correct.

9 Q. Dr. Levine, in your report in this case, you stated that
10 there is no credible scientific evidence beyond anecdotal
11 reports that psychotherapy can enable a return to male
12 identification for genetically male boys, adolescents and men
13 or return to female identification for genetically female
14 girls, adolescents and women.

15 Do you recall including that in your report?

16 A. Yes. We need to be honest not just because we are under
17 oath. We need to be honest about this. In my field of
18 psychotherapy, psychiatry, we have a paucity of studies that
19 are controlled that demonstrate the long-term impact of
20 psychotherapy. We only have a tradition of doing
21 psychotherapy and helping people.

22 We think we help people; sometimes we're wrong. But the
23 only controlled studies in psychiatry about psychotherapy are
24 usually short-term studies based upon cognitive behavior
25 therapy. They are usually six weeks or two months follow-up

1 using questionnaires.

2 So we've practiced in psychiatry on a kind of psychiatric
3 faith-based notion that human attachment and investigation in
4 a caring, confidential way helps many people get over the
5 things that are ailing them. But when you ask about
6 empirical studies, what you're quoting from my report is the
7 statement that alternate treatments for psychotherapeutic
8 approaches. Whether we are talking about the psychotherapy
9 versus affirmative care, we do not have strong empirical
10 evidence that were effective.

11 That being said, I have helped people and I currently am
12 supervising a child psychiatrist who I know is helping people
13 with added skills, hopefully that I'm helping her to achieve,
14 that she has helped people. Last Tuesday, a week ago Tuesday
15 in our gender diversity conference, we heard about a case who
16 has reidentified and through psychotherapy and is being
17 benefited.

18 But this is what you would call anecdotal evidence, and I
19 just say to you that in my expert opinion report, I shared
20 the lack of controlled studies. But nonetheless, psychiatry
21 has been providing psychotherapy for over a hundred years,
22 and so that is a tradition-based assumption, and it's
23 considered prudent by many of us.

24 Q. Dr. Levine, in your clinical experience, you've had only
25 two patients who have detransitioned after medical

1 interventions, correct? I should add that you are aware of?

2 A. Well, I have written a paper about -- you're referring to
3 that. I'm just trying to think about the other one. There
4 was a child that I never saw, but I saw their parents, and I
5 helped their parents to be witness to the reidentification as
6 a little girl. Perhaps that's the second one.

7 I've certainly talked to many adults who are considering
8 and then reconsider this. So I guess you would say at least
9 two, but I think there's probably more.

10 Q. And just to clarify, I was speaking about your clinical
11 experience.

12 A. That's what I'm talking about. As I think about it,
13 there have been more than two.

14 Q. Dr. Levine, you testified earlier today generally about
15 the concept that the dissenting views in the treatment of
16 gender dysphoria are not well tolerated.

17 Do you recall generally that testimony?

18 A. I'm sorry. You mumbled. Will you please repeat?

19 Q. Sure. Let me re-ask the question.

20 Dr. Levine, you presented at an American Psychiatric
21 Association annual conference in May of 2022.

22 Do you recall that?

23 A. I certainly do.

24 Q. And it was in a symposium on reexamining best practices
25 for transgender youth.

1 Do you recall that?

2 A. That was the title of the symposium.

3 Q. And, Dr. Levine, it's correct that your co-presenters on
4 that panel included Ken Zucker, Lisa Marchiano, and
5 Sasha Ayad, A-y-a-d. Is that correct, Dr. Levine?

6 A. Correct.

7 Q. And is it fair to say, Dr. Levine, that all four of you,
8 yourself and those three individuals, have generally
9 dissenting views from the American Psychiatric Association
10 policies on transgender healthcare?

11 A. Yes.

12 Q. And the American Psychiatric Association was aware that
13 the four of you were presenting ideas that were not in
14 keeping with those official policies, correct?

15 A. Yes.

16 MR. CHARLES: Just to be clear, I'm to speak up not
17 to shout at witness but just so he can hear me properly.

18 THE COURT: I wasn't concerned about you being too
19 loud. And even a little louder would be --

20 MR. CHARLES: Okay. Yes, Your Honor. Thank you.

21 THE WITNESS: Your Honor, may I have a bathroom
22 break?

23 THE COURT: Absolutely. Let's take the morning
24 break. We'll come back at ten minutes to 11:00 by that clock.

25 (A recess was taken at 10:35 a.m.)

1 (*The proceedings resumed at 10:50 a.m.*)

2 THE COURT: Please be seated.

3 Dr. Levine, you are still under oath.

4 Mr. Charles, you may proceed.

5 BY MR. CHARLES:

6 Q. Dr. Levine, before the break we were discussing a
7 symposium where you and four other people presented a
8 discussion about reexamining best practices for transgender
9 youth.

10 Do you recall that?

11 A. I do.

12 Q. And the American Psychiatric Association that put on the
13 conference was aware that all four of you were presenting
14 ideas that were not in keeping with the official policies of
15 the American Psychiatric Association, correct?

16 A. I can modify that slightly, sir. The American
17 Psychiatric Association reviewed the abstract for the
18 proposal that was written by me, and it didn't state that --
19 that we were against the policy or anything. We just -- the
20 idea I summarized for the abstract was: Is it time? I think
21 there is evidence that we ought to re-exam this official
22 policy of what is called a quote, best practices. So whether
23 the APA knew that Sasha Ayad felt one way or the other, they
24 had no idea.

25 Q. And while you were speaking, Dr. Levine, on the panel,

1 the audience group was polite and no one interrupted you,
2 correct?

3 A. No one interrupted me. The discussion session was not
4 polite, but the presentations were.

5 Q. Dr. Levine, you've prescribed medications to patients for
6 off-label use in your clinical practice, correct?

7 A. Yes.

8 Q. And off-label drug use is common in the field of
9 medicine, correct?

10 A. Correct.

11 Q. And the fact that a drug is being used off-label does not
12 alone make that drug experimental, correct?

13 A. It really means it's unproven for the use that a doctor
14 is employing it for. Whether "unproven" is the same as
15 "experimental" depends on your concept of experimental.

16 THE COURT: Let me interrupt one point about that.
17 It means that it's unproven in a formal submission to the
18 FDA --

19 THE WITNESS: Exactly.

20 THE COURT: -- not that it's not proven in some other
21 forum, right?

22 THE WITNESS: Yeah. For example, I don't think
23 trazodone as a sleep aid has ever undergone controlled studies
24 but is commonly prescribed by psychiatrists for insomnia,
25 especially for people who are on SSRI antidepressant medicine.

1 So fashion has created that. Word of mouth has created that.

2 THE COURT: But you know from FDA approval that
3 taking the drug without more isn't so dangerous that it should
4 never be done, and then you know from clinical experience that
5 it works for what you are using for and it's not --

6 THE WITNESS: Yes. And the drug was originally
7 approved for some other purpose. It's very common.

8 THE COURT: And part of the reason for that is it's
9 really expensive to get FDA approval of a drug. So if you are
10 the pharmaceutical company and you've gotten our drug approved
11 by the FDA, there is really no reason to go spend all of those
12 hundreds of thousands of dollars or millions of dollars.

13 THE WITNESS: Closer to a billion.

14 THE COURT: A billion dollars.

15 No reason to spend that money for further FDA
16 approval, because once it's approved by the FDA, doctors can
17 prescribe it.

18 THE WITNESS: Nonetheless, in certain
19 psychological -- drugs for psychiatric conditions, drug
20 companies do approve -- go to the FDA for approval for another
21 indication. I don't think it costs them a billion dollars to
22 do it, but it does cost a lot of money. You are certainly
23 right about that.

24 THE COURT: And one reason to go back for a second
25 approval is because if doctors are prudent, they are going to

1 look at the studies and the literature and make a
2 determination. And so if what you are trying to do is get
3 doctors to prescribe your drug, if you can show them a
4 controlled study of the kind that would lead to FDA approval,
5 might be a good idea to go get the study done.

6 THE WITNESS: Exactly.

7 BY MR. CHARLES:

8 Q. Again, Dr. Levine, you recall testifying November 28,
9 2022 at trial in *Brandt versus Rutledge*?

10 A. Yes.

11 Q. And do you recall when asked that same question, the fact
12 that a drug is being used off-label does not alone mean it's
13 experimental, you stated, "I would agree with that."

14 Do you recall that?

15 A. Yes. I've agreed with that just now.

16 Q. Dr. Levine, you're not an expert in health insurance
17 coverage, correct?

18 A. Correct.

19 Q. And you're not offering any opinions about whether
20 defendants should have an exclusion for gender-affirming
21 medical care in their state Medicaid program, correct?

22 A. Yes.

23 Q. Dr. Levine, you're aware that cross-sex hormones were
24 used to treat gender dysphoria prior to 2014 and prior to
25 Annelou de Vries' study you mentioned earlier, right?

1 A. Yes.

2 Q. And some clinicians also used puberty blockers in the
3 United States before that 2014 study, correct?

4 A. I think it began in 2009 in a Boston clinic.

5 Q. You said -- I'm sorry, Dr. Levine. You said 2009 in the
6 Boston clinic?

7 A. Yes.

8 Q. And the Endocrine Society guidelines from 2009 provided a
9 recommendation for the use of puberty blockers, correct?

10 A. I'm not certain.

11 THE COURT: While you are going to the next, 2009 in
12 Boston clinic, do you remember which clinic?

13 THE WITNESS: It was -- there was a man named a
14 Norman Spack who went across to see the Dutch group and came
15 back very enthusiastic and started promulgating that this is a
16 treatment of choice and this is saving people's lives.

17 THE COURT: Was he associated with one of the
18 established institutions in Boston?

19 THE WITNESS: You know, the famous Boston clinic is
20 the Fenway clinic, and I'm not sure that -- whether -- I don't
21 know whether he was part of that or had his own clinic. I
22 think he's an endocrinologist.

23 BY MR. CHARLES:

24 Q. Dr. Levine, is Dr. Spack affiliated with Boston
25 Children's Hospital's GeMS clinic?

1 A. That's what I just said. I wasn't sure what his
2 affiliation was.

3 Q. I missed it. Thank you.

4 A. If you are telling me -- you must -- perhaps you know,
5 and I would trust your information.

6 MR. CHARLES: Your Honor, I would like to show the
7 witness an article. May I approach?

8 THE COURT: You may.

9 MR. CHARLES: Your Honor, should I also give you a
10 copy of this?

11 THE COURT: Depends on what you are going to do with
12 it.

13 MR. CHARLES: It's just going to be reviewed.

14 THE COURT: I don't need to see it. You should give
15 Mr. Perko a copy, but I take it you already have.

16 MR. PERKO: I've got one, Your Honor.

17 MR. CHARLES: Yes.

18 THE COURT: Okay.

19 BY MR. CHARLES:

20 Q. Dr. Levine, earlier today you were speaking about -- I'm
21 going to refer to her as doctor; I think that's accurate --
22 Dr. de Vries and her 2011 and 2014 studies.

23 Do you recall that testimony?

24 A. Would you repeat that question, please?

25 Q. Yes.

1 Earlier today you discussed on your direct Dr. Annelou
2 de Vries and her 2011 study and her 2014 study.

3 Do you recall that?

4 A. Yes.

5 Q. And I'm showing you an article titled "Ensuring Care for
6 Transgender Adolescents Who Need It: Response to
7 Reconsidering Informed Consent For Trans-Identified Children,
8 Adolescents and Young Adults" written by Dr. Annelou L.C.
9 De Vries.

10 Have you seen this article before?

11 A. Of course.

12 Q. Okay. And if you would, Dr. Levine, please turn to
13 page 110 in the upper-left-hand corner.

14 At the bottom, Dr. Levine, of that page, do you see
15 the highlighted text?

16 A. Yes.

17 Q. Okay. So if you will please follow along with me.

18 *In the design of these follow-up studies, the UGDS scales*
19 *were flipped as Levine states. At baseline, according to the*
20 *birth-assigned gender, after treatment according to the*
21 *experienced gender (Levine, et al., 2022) questions whether*
22 *the improvement in the gender dysphoria does then not stem*
23 *from this switching and not from the treatment. However,*
24 *this seems turning the matter around. What the measure*
25 *shows, the disappearance or resolution of the gender*

1 *dysphoria is what the gender-affirming treatment is aimed to*
2 *resolve. Medical-affirming treatment alone might not have*
3 *resulted in this improvement.*

4 I'm going to continue on, Dr. Levine, but did I read that
5 highlighted portion correctly?

6 A. You are excellent.

7 Q. I feel like I've only asked you that a few times, which I
8 think is a record for our conversations.

9 *As stated in the conclusion of the 2014 paper, clinicians*
10 *should realize that it is not only early medical intervention*
11 *that determines the success but also a comprehensive*
12 *multidisciplinary approach that attends to the adolescents'*
13 *gender dysphoria, as well as their further well-being and a*
14 *supportive environment.*

15 Did I read that correctly?

16 A. You did.

17 Q. *Further, the UGDS was not specifically designed to be*
18 *used after treatment and is, as such, not ideal. (Steensma*
19 *et al., 2013 and properly referenced by Levine et al., 2022.)*
20 *But that does not imply that UGDS falsely measured the*
21 *improvement in gender dysphoria. Using the version of the*
22 *assigned birth gender would also make no sense.*

23 Did I read that correctly?

24 A. You did.

25 Q. And do you recall earlier today, Dr. Levine, when you

1 testified that Dr. de Vries admitted that the scales used
2 were incorrect?

3 A. They were not ideal. They have subsequently I think
4 redesigned their follow-up scale.

5 MR. CHARLES: No further questions, Your Honor.

6 THE COURT: Redirect?

7 MR. PERKO: Yes, Your Honor.

8 REDIRECT EXAMINATION

9 BY MR. PERKO:

10 Q. Dr. Levine, if there is a paucity of evidence for your
11 psychotherapy approach to treating gender dysphoria in the
12 gender-affirming approach, why is your approach better in
13 your mind?

14 A. Well, it's better because, number one, I don't think
15 gender dysphoria ought to be an exception to how
16 psychiatrists -- how the medical profession approaches any
17 psychiatric difficulty. So I don't see any reasons for an
18 exception.

19 Number two, the affirmative care model will result in, if
20 followed through its entire spectrum, will produce certain
21 outcomes that go against age-old medical principle of above
22 all, do no harm and do not operate on normal -- do not change
23 normal anatomy, un-diseased anatomy, and do not change
24 unimpaired physiology.

25 So we are rendering a child where -- and I think I could

1 use the word child meaning adolescent as well as. So we are
2 rendering a minor, if they follow their entire affirmative
3 care, sterile and with the consent of parents of a 12 or
4 13-year-old child. So we are causing sterility. What is
5 rarely mentioned in informed consent processes we are causing
6 sexual dysfunction, the inability of the -- the current or
7 the new gender, new genital organs to not function normally.
8 We are reducing the pool of human beings who are available to
9 trans people for stable adult emotional connections,
10 marriage, for example.

11 And as I've tried to emphasize today, we have a number of
12 studies that demonstrate that the average life expectancy of
13 a trans person is significantly reduced.

14 So given these -- given sterility, sexual dysfunction,
15 limited capacities to enter into stable relationships,
16 premature mortality and predisposition to cardiovascular
17 disease, for example, I think it's very prudent that we
18 should approach the child's distress in a psychiatric way
19 without medicalization, a psychiatric way and a thorough way
20 before we can consider a medicalization.

21 We are not talking about the treatment of some minor
22 condition here. Because if you look -- the natural -- I'm
23 sorry, the concept of natural history in medicine means what
24 happens if we don't treat this disease? The natural history,
25 will it get better on its own, will it cause other problems

1 or will it lead to premature death?

2 So when you think about premature death, there is -- I
3 mean, all of us disagree that there ought to be treatment for
4 these children because -- and these children and these
5 adolescents and these adults because the natural history of
6 this is negative.

7 So the question only becomes what is the treatment, what
8 treatment should be offered? And because we are talking
9 about changing the body and the body's anatomy, the body's
10 physiology and the social implications of those changes, it
11 seems very prudent to be conservative and thorough in the
12 evaluation not just to state these are the comorbidities but
13 the treatment of those comorbidities, you see? And the
14 comorbidities that we have are serious things like eating
15 disorders and self harm and depression and anxiety and school
16 avoidance and so forth. These are very serious conditions,
17 and we know that the prognosis for that person is negative.

18 So we want to treat them, but the question is how to
19 treat them. So the other aspect about why psychotherapy
20 ought to be treated is that there has been a dramatic
21 tsunami, a change in the sex ratio of people coming -- there
22 are two things. One, there's been an increased number of
23 people who say they want this treatment, the affirmative
24 care, and the switch in sex ratios we have now a tsunami of
25 teenage girls who never before seemed to indicate a

1 repudiation of their female gender who are presenting as
2 transgender. This is unexplained. And if we go back to my
3 concept, that biology, development, interpersonal
4 relationship and culture all contribute to this, we need to
5 understand why it is we are having this tsunami of girls that
6 want to present themselves as trans males.

7 And so given all these facts, what is known and what is
8 unknown, that is, what is unknown is why these girls are
9 doing this now, I say be conservative, be thoughtful, be
10 traditional, pay attention to the parents who know this child
11 for more, far better than the evaluating pediatrician, you
12 see. And let's take our time because we have this person's
13 future at stake.

14 Q. Mr. Charles asked you some questions about a presentation
15 you made at a symposium of the American Psychiatric
16 Association. Do you recall that?

17 A. Yes.

18 Q. Would you explain the circumstances that led to your
19 presentation?

20 A. Yes. In July I submitted an abstract to the American
21 Psychiatric Association. As is in keeping with months
22 before, the year before the meeting, people, investigators,
23 present abstracts, submissions to be accepted. I don't
24 remember exactly the date, but I'm going to arbitrarily say
25 on November the 9th, everyone should have heard about whether

1 they have been accepted or rejected. November the 9th came
2 and I heard nothing. Another couple of weeks passed, I heard
3 nothing. I wrote to the APA and I said, how come I haven't
4 heard? Within 24 hours I got a rejection. I wrote back a
5 little outraged, could you explain, number one, why you
6 didn't tell me on deadline, and would you tell me why this
7 was rejected? I heard back in two days I was accepted.

8 Now, wait a second, one more thing. In the prelude to --
9 this symposium was at 1:30 in the afternoon. We gathered
10 about 1:00. And the presenters and other people I didn't
11 know were there and talking, and I told this story. And one
12 of the people there, who was a child psychiatrist, said same
13 thing happened in the American Academy of Child & Adult
14 Psychiatry. Every time they submit anything that seems to be
15 against the policy, the affirmative care policy, they get
16 rejected.

17 We're well aware that there is a suppression of any --
18 and institutions who have made these commitments to
19 affirmative care, there is a suppression of alternate views.
20 We can't even get on the symposium. And so my experience
21 with the November 9th deadline I found out was not just from
22 the APA but other institutions as well.

23 This is not what we consider to be science. This is what
24 we consider to be a politic suppression of alternate views.
25 And there is more and more, but there is plenty of

1 information that is going on. There is such a partisanship
2 here that it interferes that even being allowed to express an
3 alternate opinion.

4 Q. Dr. Levine, Mr. Charles asked you some questions about
5 the American Psychiatric Association's position on
6 gender-affirming care. Do you remember that?

7 A. Yes.

8 MR. CHARLES: Objection, Your Honor. Outside the
9 scope of cross.

10 THE COURT: I don't know what he is going to ask, but
11 he started by saying that you asked questions about the
12 subject he's going to introduce. So if indeed it's something
13 you asked him, it's almost by definition not outside the
14 scope.

15 Overruled, but let me hear the question.

16 BY MR. PERKO:

17 Q. Dr. Levine, did the American Psychiatric Association ask
18 for your views on the position statement?

19 A. No.

20 Q. Do you know if the majority of the members of the
21 American Psychiatric Association agreed with the position
22 statement?

23 A. Oh, I have no way of knowing that.

24 MR. PERKO: Thank you, Your Honor. I have nothing
25 else.

1 THE COURT: Doctor, I appreciate you being here, and
2 I appreciate your candor. You've taught me some things, and
3 I'm going to give you a chance to teach me some more.

4 You referred just a moment ago to the tsunami of
5 adolescent women presenting identifying as males. The experts
6 on the other side have suggested that the reason for that is
7 that 20 or 30 years ago treatment was not available, now it
8 is, and one would expect the number of people presenting for
9 an available treatment to be more than the number of people
10 presenting for an unavailable treatment.

11 I get the argument. And, of course, at one level
12 it's just true as a matter of plain logic, the number of
13 people that presented ten years ago for a COVID vaccine was
14 zero. In that case, it's because there was no COVID ten years
15 ago. The number of people presenting today for some other
16 kind of a vaccine may just reflect the availability of
17 treatment, even though the disease has been with us for the
18 whole time, shingles, for example.

19 And so if you looked at the people presenting for the
20 shingles vaccine and said, well, there's a tsunami of people
21 presenting for a shingle vaccine today compared to 30 years
22 ago, that's certainly true, and it would tell you absolutely
23 nothing about the number of people with shingles.

24 On the other hand, the tsunami you're talking about
25 doesn't necessarily reflect the change in available treatment.

1 There may be other factors. And I think I understood what you
2 to say is we need to figure out why that is. And my question
3 is:

4 Part of the explanation, at least, could be the
5 availability of treatment or the change in social acceptance
6 of the possibility that somebody is trans. True? I mean, is
7 the answer we just don't know?

8 THE WITNESS: Well, I think the truth is that every
9 explanation is a -- is a guess. But I should point out, which
10 I think I heard earlier yesterday, that before the turn of
11 this last century, a number of studies have shown that between
12 3.5 and four boys who wanted to be girls, there was one girl
13 that wanted to be a boy. So in the 20th century, that was the
14 pattern almost all over the world. There were two exceptions.
15 Australia and Poland for some reason didn't show that, but
16 every other country that measured it got data between three
17 and a half and four boys for every girl presenting.

18 Suddenly in this century, there's been a reversal.
19 So that the usual clinic today, if you looked at say the last
20 12 months, the usual clinic has, number one, had an increase
21 number of requests from boys and girls, but ratio of girls to
22 boys, instead of being 3.5 to one is now closer to seven to
23 one. And so --

24 THE COURT: Seven to one the other way?

25 THE WITNESS: The usual thing is for say five, six or

1 seven girls. For every five, six, and seven girls, we now
2 have a boy that wants to be a trans woman. Now, that's going
3 to vary from clinic but --

4 THE COURT: And previously it was three and a half
5 boys to one girl?

6 THE WITNESS: That's right. And so the explanation
7 is we had -- you know, we've had testosterone available since
8 the 1930s. And in the 1950s, there were a few rare
9 endocrinologists that were giving testosterone to girls, you
10 see. So it's not that the treatment was available.

11 What has become -- what is also true is that society
12 is talking about this issue, you see. And one of the
13 hypotheses for the explanation for the tsunami is that, one,
14 the transition from little girl to young woman, adolescent,
15 the onset of the body changing and menstruation, it's not
16 unusual for 12-year-old girls, 11-year-old girls to be
17 distressed about bodily changes. Puberty has been well known
18 to be an arduous process. All you have to do is ask most
19 adult women what it was like for them at this stage in life.
20 Parents of those kids will tell you it's difficult.

21 But what is happening now is that we have the
22 internet, and all kids -- almost all kids are on the internet.
23 And there are -- there are sites that -- that help people to
24 understand that they may be a transsexual person because
25 they're distressed over menstruation, they're not happy with

1 their breasts, the presence of their breasts.

2 And so when I talk about one of the four major
3 influences on the creation of transgender phenomenon, I'm
4 talking about culture and culture in this century is
5 characterized by access to the internet. And so almost
6 everybody who has de-transitioned from being a trans man to
7 going back to a living as a woman with or without breasts or
8 uterus, these transitional people, they mostly -- many of them
9 say how influential the internet has been. They created -- if
10 they didn't have a lot of friends in their local community,
11 they had virtual friends who were trans friends from the
12 internet.

13 And so we do not want to affirm that culture has
14 caused this, but culture is a part of this, you see. I think
15 we have a disturbed -- disturbed about what is happening in
16 puberty, and often in a girl who has been disturbed
17 psychiatrically before, people with eating disorders, people
18 with prepubertal depression and anxiety and school avoidance
19 and autism and, you know, the variety of the problems, they
20 hit puberty. They undergo the natural processes of being
21 distressed about their bodily changes, and then they start
22 having sexual attractions, which may or may not be, quote,
23 their concept of normal, you see. So they say they're
24 bisexual or they're queer or they're a lesbian. And then
25 finally they say that they are trans.

1 So these are intrapsychic, interpersonal. Some of
2 these people declare they are trans after they have said they
3 have been rejected in a relationship. So we have cultural,
4 interpersonal, biologic and psychological reactions to normal
5 biologic processes.

6 So what psychiatric approach to a transgender person
7 who previously did not seem to be highly distressed about
8 being a girl and now with puberty is highly distressed is to
9 evaluate and treat through continued therapy the investigation
10 of why they have solved, why they declared this identity.
11 What are they escaping from?

12 Now, some people think, for example, that most people
13 who have eating disorders hate something about themselves.
14 They are trying to get rid of something that's hateful, some
15 sense of themselves that is not acceptable to them. And so
16 what they do is they starve themselves. And many of those
17 kids go -- before they are transgender identified have been
18 anorexic. They have been starving themselves, you see, or
19 they are depressed or they're anxious, or they're
20 skill-avoidant where they are having social problems.

21 They have an intrapsychic creative solution. I'm
22 trans. That's often been helped by someone on the internet
23 that they don't know, you see.

24 So I think what I'm giving you is another
25 speculation. It's as speculative as, oh, I've always a trans

1 and their parents didn't know and all that stuff.

2 THE COURT: Some are actually trans.

3 THE WITNESS: Actually, "trans" means will be
4 consistently identified and happy in that identification until
5 they discover the consequences like they can't have a child,
6 or their sexual life is impaired, or they can't find somebody
7 who wants to spend -- sojourn with them for the rest of their
8 life. So we don't want -- we say that one can be happy being
9 trans. It's okay with me, they are trans, right? But if you
10 want to look at the outcome of a trans identified in a
11 14-year-old that is stable, that we are going to label a trans
12 person as though that's some kind of entity, you see, then we
13 need to evaluate what is going to happen to that person over
14 time.

15 THE COURT: I was going to ask you about eating
16 disorders, and -- not related to trans individuals but just
17 eating disorders separate and apart. There are people that
18 are anorexic -- people with anorexia that are not trans and
19 trans doesn't figure into it.

20 THE WITNESS: Yes, most people with eating disorders
21 are not trans.

22 THE COURT: And if a person comes to you with an
23 eating disorder, you provide psychotherapy. That's the
24 primary way to deal with it, I take it. There is no drug you
25 can give somebody to fix that. You are going to counsel the

1 person, true?

2 THE WITNESS: It's largely correct what you just
3 said, but there is now a drug that we tend to use.

4 THE COURT: Many of my questions will reflect my lack
5 of medical training.

6 There's now a drug. Let's just posit a world where
7 there wasn't. Was there a time in your practice when there
8 was not a drug and the way you treated anorexia was with
9 counseling?

10 THE WITNESS: Absolutely.

11 THE COURT: Were there any studies where some people
12 with anorexia were treated with counseling and some people got
13 no treatment at all, and you did this study to see which was
14 better?

15 THE WITNESS: There have been -- Your Honor, I'm not
16 an expert in this subject.

17 THE COURT: Well, surely there was none because
18 nobody is going to see a patient with anorexia and say, you're
19 on your own. I'm doing a study. And so even though
20 counseling may help, you're on your own. I have got to do my
21 study. Nobody would do that, right?

22 THE WITNESS: I think there have been studies that
23 have looked at the rate of resolution of the eating disorder
24 when they had psychodynamic psychotherapy. And then there
25 were studies of when they had specialized treatment programs

1 where they got hospitalized and they were fed and so forth.
2 So there have been comparative studies, but they are not -- in
3 the light of what we have been talking about the last couple
4 of days, they are not high-level studies.

5 THE COURT: Low-level evidence, and yet you treat
6 those patients.

7 THE WITNESS: Absolutely, absolutely.

8 THE COURT: You said that the life expectancy of a
9 trans patient was reduced. I want to make sure I understand
10 what you're talking about.

11 You're talking about all trans individuals. Whether
12 they got one kind of a treatment or another or no treatment,
13 it's just that trans people don't live as long on average as
14 others.

15 Is that what it was or is there something else?

16 THE WITNESS: I think these are based on insurance
17 data, and so most of the people that were trans identified
18 have been treated with medications. One of the earlier
19 studies that identified an increased death rate were people
20 who were being treated with hormones. It also was a Dutch
21 study.

22 There's been a VA study in the United States that
23 demonstrated reduced life expectancy, and I mentioned in my
24 testimony a recent study from the U.K. I think most of those
25 people have been people who have had one form of affirmative

1 care or another.

2 THE COURT: Your testimony is studies show that
3 people who get medical care -- I'm going to define medical
4 care as puberty blockers, hormones or surgery. Your testimony
5 is studies show that people who get medical care have
6 shortened average lifespans than trans people who don't?

7 THE WITNESS: No, than the general population.

8 THE COURT: Than the general population.

9 THE WITNESS: Yes.

10 THE COURT: That's what I wanted to find out.

11 THE WITNESS: And you know --

12 THE COURT: And that would be -- the same was true if
13 I said people with anxiety and depression -- I'm going to
14 guess people with anxiety and depression at a clinical level
15 have reduced life expectancy as well.

16 THE WITNESS: They do. When you add puberty blocking
17 to that, since some of the older studies were done before
18 puberty blockers were used, so I think the safest thing to say
19 is cross-sex hormones and surgery.

20 THE COURT: You may have been in the courtroom
21 yesterday when I was talking to Dr. Hruz.

22 THE WITNESS: Yes, I was.

23 THE COURT: I'm going to ask you a similar question;
24 it's not going to be identical. Again, I'm defining medical
25 treatment as puberty blockers, hormones or surgery.

1 It seems to me that it is the whole universe;
2 somebody either gets that treatment or they do not. It
3 changes over time, but at any given point in time, if you look
4 at people, you could say either that person did get medical
5 treatment or that person did not get medical treatment.
6 That's just like saying the robe I have on is black or it's
7 not black. One of the other of those statements has to be
8 true. You're wearing a necktie or you're not wearing a
9 necktie. One of those statements has to be true. And you do
10 have a necktie.

11 As a matter of pure logic, proposition A and
12 proposition not A fill up the universe, it seems to me. And
13 so if a 12-year-old presents to you, then either the person
14 will get medical treatment at some point or the person will
15 not get medical treatment at any point. One of the other of
16 those propositions has to be true; that's correct, isn't it?

17 THE WITNESS: Okay.

18 THE COURT: So the defense has made a big deal out of
19 the fact that the evidence in favor of providing medical
20 treatment is low-quality evidence. It seems -- and that's
21 true, I think. I think the record shows that it is
22 low-quality evidence.

23 THE WITNESS: Can I just add to your summary? It's
24 low-quality evidence, and there is the absence of long-term
25 follow-up on the interventions that were offered. That's

1 really the concern. It's not simply low-quality evidence.
2 It's you are giving these 12-year-old children things, and you
3 have no idea what happened to the -- ten years ago the
4 12-year-old children that you gave medical treatment to. And
5 we have evidence from the adult transsexual community they are
6 not doing so well. Not just dying; they have more substance
7 abuse, for example. So that adds to it. It's low-quality
8 evidence and there is no long-term follow-up.

9 THE COURT: I understand. Different problem. We'll
10 double back to that. But it low-quality evidence that
11 supports medical care. On the GRADE system, what is the
12 quality of evidence that supports not giving medical care?

13 THE WITNESS: I don't think we have any studies of
14 that.

15 THE COURT: It's not just -- it's not just no
16 high-quality evidence; it's no evidence.

17 THE WITNESS: It's no evidence. But you see, I think
18 you probably heard testimony the terrible outcomes will happen
19 if we don't give these children. That they have no follow-up
20 studies of people who haven't given the treatment. There is
21 no systematic evidence about that.

22 THE COURT: But we have anecdotal evidence, and we
23 know that some people who have gotten medical treatment have
24 had bad outcomes.

25 THE WITNESS: Yes.

1 THE COURT: And many people who got no medical
2 treatment have had bad outcomes. Sometimes trans kids that
3 don't get medical treatment commit suicide; that's true, isn't
4 it?

5 THE WITNESS: Yes, and at an increased rate, people
6 who have had medical treatment have committed suicide.

7 THE COURT: Well, now what study shows that? When
8 you compare the people that get medical treatment to people
9 that don't get medical treatment, the suicide rate is higher
10 for those who got treatment?

11 THE WITNESS: No, we don't have that -- I don't think
12 that study has been done. The studies that have been done is
13 that the suicide rate of everyone in Sweden over a 30-year
14 period published in 2011 show that are the suicide rate
15 compared to controlled groups of non-trans people both males
16 and females was 19 times higher.

17 THE COURT: And you would -- absolutely, even if you
18 never seen the study but you lived on this either in our
19 society, you would absolutely expect the suicide rate among
20 trans individuals to be higher than the rate among the
21 population at large; would you not?

22 THE WITNESS: Because for many reasons, I guess I
23 would, yes.

24 THE COURT: You would agree with that.

25 THE WITNESS: But 19-fold higher. And actually, if

1 you look at females who were living as males, it was 40 times
2 higher. That's not insignificant. That's not something that
3 we can just ignore, and that's not the only study that
4 demonstrated that at every stage in affirmative care, there is
5 a higher suicide rate.

6 THE COURT: Compared to the general population.

7 THE WITNESS: Yes. And we don't know the controlled
8 group of people who are trans identified who elect not to have
9 or don't have access to -- we don't know their suicide rate.
10 And so this is part of the uncertainties that parents should
11 understands and judges, I mean, all politician should
12 understand.

13 THE COURT: Even politicians.

14 THE WITNESS: Even politicians.

15 THE COURT: You said something about we don't do
16 surgery to change unimpaired physiology.

17 THE WITNESS: Yes.

18 THE COURT: I don't want my next question to suggest
19 that I disagree with the wisdom of not doing surgery to change
20 unimpaired physiology, but unless I'm missing something, there
21 are a whole slew of plastic surgeons who make a darn good
22 living doing surgery on unimpaired physiology.

23 THE WITNESS: We call that cosmetic surgery.

24 THE COURT: Absolutely. But we do that, and Florida
25 hasn't prohibited that.

1 THE WITNESS: But they don't pay for it either.
2 Medicaid doesn't pay for it.

3 THE COURT: Fair enough.

4 THE WITNESS: Out of pocket.

5 THE COURT: Fair enough. Medicaid doesn't pay for
6 anything I get, but I grew up in this state, so I go to the
7 dermatologist accordingly and there is always something there
8 that can be removed. And sometimes the dermatologist says,
9 that's -- and the dermatologist has some fancy name and says,
10 that's never going to be bother. That's no problem unless it
11 just bothers you, and I say, yeah, let's be done with it.

12 THE WITNESS: \$35.

13 THE COURT: And they take it right off. Probably
14 more. The dermatologist may get \$35 and then the pathologist
15 gets a hundred because if they took it off, they are going to
16 a pathology test even though the doctor was more than willing
17 just to leave it on there.

18 THE WITNESS: We could have a wonderful conversation
19 about medicine.

20 THE COURT: Yeah, we probably aren't getting anywhere
21 with that so enough of that.

22 There's been discussion all through the case about
23 gender identity and gender dysphoria and the DSM-5 and what it
24 requires to diagnose somebody with gender dysphoria. I'm
25 going to tell you my understanding, but I'm not at all sure I

1 got it right, so I need you to tell me whether I have it
2 right.

3 From the evidence and discussion at this point, it
4 seems to me there are people whose gender identity is
5 different from their natal sex but who do not have gender
6 dysphoria. Is that correct?

7 THE WITNESS: Yes.

8 THE COURT: A lot of discussion about medical
9 treatment has articulated it in terms of only gender
10 dysphoria. Some people who get medical treatment have trans
11 identity but not gender dysphoria. True?

12 THE WITNESS: Yes.

13 THE COURT: Mr. Perko asked you some questions right
14 at the end of his direct, and I think he phrased his question
15 very deliberately. I'm not going to be able to do it justice
16 in terms of the actual substance of it, but the question was
17 something like: These treatments have not been shown -- and
18 the quotation is, not been shown or have been shown -- to
19 improve mental health. I think that was the question. The
20 treatment has not been shown to improve mental health.

21 Do you have an opinion?

22 Yes.

23 And the opinion is -- essentially was these have not
24 been shown to improve mental health. My question -- and what
25 has been shown is important. I'm not suggesting it's not, but

1 I have a different question. Not what's been shown but what's
2 happened. There has been a lot of testimony in the case about
3 clinical experience.

4 Sometimes medical treatment has improved mental
5 health, true?

6 THE WITNESS: Happiness with their current state and
7 improved happiness with their current state would be a -- one
8 of the -- a criteria for improved mental health. And
9 certainly after undergoing sex reassignment surgery that
10 doesn't have any major complications and doesn't have to have
11 yet a second surgery and so forth, or if the breast's removed,
12 the chest does not feel painful or -- we call it dysesthetic.
13 It doesn't feel normal.

14 Assuming people don't have complications to the
15 surgery, people can be happy with it. And people like me say,
16 initially, when you measure the happiness with it, we expect
17 the people to be very happy and to say their quality of life
18 is better because they are happier now; they are less
19 dysphoric.

20 However, we want to see what happens over time, and
21 we wonder what happens, for example, if you take off the
22 breasts of a person of whatever age and they maintain their
23 female genitalia at 70 percent, at least of those people
24 maintain their female genitalia, they present themselves as a
25 male in the society, and in their intimate relationships, they

1 have a vagina and a clitoris, vulva and so forth, there's a
2 kind of incongruity that they bear every day for the rest of
3 their lives, that incongruity between their body and their
4 presenting gender and then their sense of themselves, you see.

5 So over time, we want to know what happens to those
6 people, and that brings us back to the elevated suicide rates
7 in people who've had sex reassignment surgery. So initial
8 happiness for the vast majority of people, it's not in
9 question.

10 A continued happiness is the question, and the
11 presence of suicidality, the presence of the depression, the
12 use of antidepressants and so forth.

13 THE COURT: Sometimes it works, and sometimes it
14 doesn't.

15 THE WITNESS: Yes. And we really want to know, based
16 on when we are measuring these events, what percentage of
17 people are happy or have improved mental health or have the
18 same mental health or worse mental health. They are natural
19 reasonable questions to ask about these treatments, and the
20 answers to the questions are "I don't know."

21 THE COURT: When you sit down to evaluate that
22 question, you would love to see double blind studies. By
23 definition, that's impossible because this can't be done
24 blind. You would like to see high quality studies over long
25 periods of time -- I shouldn't say a high quality. That's a

1 term under the GRADE system, but you would like to see good
2 longitudinal studies?

3 THE WITNESS: Exactly, with a huge percentage of the
4 people treated available for follow-up, not 30 percent.

5 THE COURT: Without that kind of long-term study, if
6 you just -- if you're a parent today with a 12-year-old
7 deciding are we going to have this treatment or not, what you
8 would like the parent to do is to have all of the information,
9 everything you've talked about and then an evaluation of the
10 individual decision, individual circumstances.

11 One thing that parent might want to know is what's
12 the actual clinical experience, true?

13 THE WITNESS: You mean of the doctors who is talking
14 to them.

15 THE COURT: All the doctors, as many good doctors you
16 could find that are honest about this. Look, part of the
17 problem -- I'll grant you, part of problem is most of the
18 people involved in this are partisan. You have said that
19 about the folks that took over WPATH. These are people that
20 are advocates of one position.

21 I don't think I'm giving away the defense trade
22 secrets. There are some people on their side that are just
23 advocates. So there's plenty of partisanship across the way.
24 But what you would really like -- as the parent, what you
25 would really like to know is a good honest assessment of

1 clinical experience. That would be important, wouldn't it?

2 THE WITNESS: Yes. And you want the doctor who you
3 are working with to tell you what science knows, what the
4 states of the controversies are, what the controversies are,
5 rather than what the doctor believes him or herself.

6 THE COURT: Absolutely.

7 So you weren't here -- you might have been. Were you
8 here when Dr. Shumer testified?

9 THE WITNESS: No.

10 THE COURT: Have you read Dr. Shumer's report.

11 THE WITNESS: No.

12 THE COURT: Dr. Shumer is a pediatric endocrinologist
13 at the University of Michigan. It's not Case Western, but
14 it's a pretty good school, yes?

15 THE WITNESS: Fine.

16 THE COURT: He's at a clinic. He has had over -- I
17 think it was over 500 patients. He testified that many of his
18 patients have had very good results and that if you deny
19 treatment, you are needlessly going to cause --

20 THE WITNESS: Harm.

21 THE COURT: -- harm. That may not be a very good
22 description of his testimony. Basically, you're going to
23 worsen the outcome for many patients.

24 THE WITNESS: I mean, my point has been we don't have
25 any long-term follow-up of those kids who don't have

1 treatment. We have doctors who believe in -- passionately
2 believe that they are on the side of angels in giving children
3 these hormonal treatments, and they have this concept that
4 without the treatment, a terrible thing will happen to them.

5 But, Your Honor, the first phase of the puberty is
6 distress for everybody. The second phase of puberty brings
7 people into awareness of their sexual feelings towards others,
8 and their own sexual feelings and their attractions to others
9 sometimes lead to romantic situations and pleasures with the
10 body that helps some kids retransition back to identifying.

11 So if we give puberty blockers or cross-sex hormones,
12 we delay the positive impact of the socialization that comes
13 from the sexualization of the body by the natural puberty.
14 And so sometimes this idea that these kids will never change
15 is not -- it's not in keeping.

16 One of the major people in Europe --

17 THE COURT: I mean, I understand all of that.
18 Dr. Shumer is just wrong about this, when he says he's treated
19 these kids and he's had a profound impact on their lives? He
20 still gets Christmas cards five years later. I understood
21 that five years is not 50 years. Is he just wrong that he
22 helped these kids?

23 THE WITNESS: No, no. I believe he is helping these
24 kids because the kids want this, and their parents have bought
25 on to this. And so he has a 15-minute follow-up every three

1 months, and how are you doing? I'm fine. I'm happier now.
2 This is not a psychiatric sophisticated reevaluation every
3 three months.

4 THE COURT: How do you know what Dr. Shumer does with
5 his patients?

6 THE WITNESS: I don't know, but I hear all these --

7 THE COURT: Do you have any reason to think that the
8 clinic at the University of Michigan is providing substandard
9 care?

10 THE WITNESS: If I was shown the portion of WPATH
11 about how comprehensive evaluations should be done,
12 Your Honor --

13 THE COURT: You don't have to persuade me that are
14 partisans at WPATH. I'm talking about Dr. Shumer.

15 THE WITNESS: I have no idea about Dr. Shumer. I
16 just know that clinics are busy, and when people are doing
17 well, they don't have prolonged sessions. And a pediatric
18 endocrinologist is responsible for lab results and physical
19 health and ask a question about psychological well-being and
20 gets an answer and moves on to the lipids and to the bodily
21 changes and so forth.

22 THE COURT: He has a team. So there is a
23 psychiatrist involved in this care.

24 THE WITNESS: Well, when you say "psychiatrist,"
25 oftentimes that's a mental health profession who is not as

1 trained as a psychiatrist. But listen, the devil is in the
2 details about how things happen. Everyone doesn't follow the
3 same standards or interpret the standards in the same way.
4 But as you said, I don't know. I don't know anything about
5 Dr. Shumer.

6 THE COURT: I was going to ask, do you know anything
7 about the gender clinic at the University of Florida or the
8 University of Miami?

9 THE WITNESS: No.

10 THE COURT: If I understood you correctly, before you
11 would sign off -- sign off may be the wrong word. I did
12 understand that when you write the letter that is part of
13 process, you're not making a recommendation. You're just
14 saying, this is really what the parent and child want to do
15 after sufficient workup, it's okay with you, essentially.

16 The -- and before you got to that point, you would
17 want to follow the patient for at least a couple of years,
18 something along those lines. That's what one should do before
19 approving or recommending medical treatment is have a couple
20 of years. I get that, and it certainly seems like a good
21 idea. Here's, though, the question:

22 Suppose this well-trained psychiatrist with a team of
23 well-trained doctors participating in the process who share
24 your skepticism, who understand the limitations, somebody
25 presents it's a 12-year-old, they take the history from the

1 parent, the history shows long-term gender identity different
2 from the natal sex. You've got pretty much all of the
3 information that you would have gotten if you had been
4 following the patient for the last, two, three, four, five
5 years, but they didn't go to the clinic or to any doctor
6 during that two, three, four, five-year period, they are just
7 now showing up. But if you followed the patient for that
8 whole time, you would be at the point of saying, yes, medical
9 intervention is appropriate if that's what the parent wants to
10 do.

11 Now, what do you do then? Maybe it's just a bad a
12 hypothetical. But the idea is can't you have all of the same
13 information, occasionally, and so that you can go ahead and
14 make the decision for the 12-year-old until waiting till the
15 child is 14?

16 THE WITNESS: Your Honor, the experience of being a
17 psychotherapist for many years teaches, I think, many of us
18 that people have no aspects about their lives that they do not
19 want to share until they have a deeper level of trust. For
20 example, sexual abuse is not something that is -- it's
21 something that can be stated at the first visit, but I have
22 experienced many times people tell me about the adversities in
23 their lives six months after, two years into treatment and so
24 forth.

25 So the idea that you can get a comprehensive view of

1 parents will tell you everything that they know about the
2 child at their first one-hour visit or two-hour visit, they
3 will tell you what you think you ought to know, and they will
4 not tell you the things they are ashamed about. And so it
5 takes time.

6 The other big issue about your question is the
7 difference between children who are specifically and
8 consistently cross-gender identified throughout their
9 prepubertal years, and the vast majority of new presentations
10 in this century of kids who were not cross-gender identified
11 who now in retrospect tell you, oh, they were never
12 comfortable with their body, you see.

13 So the question is -- I should say the hardest thing
14 for a young psychiatrist to know is to realize that people
15 don't tell us the truth. And one of advantages of long-term
16 treatment is that more of the truth and sometimes
17 prevarications are admitted.

18 I have had people that have been in treatment for two
19 years who haven't told me for two years about extra-marital
20 sex that they have been having, you see. So people -- we want
21 to trust the narrative that is told to us, but they are not
22 always trustworthy. And we ever never sure they are entirely
23 a trustworthy.

24 I'm not saying that everyone is lying to us. I'm
25 saying that everybody has a sense of what's appropriate to say

1 when, and it takes time for people to tell us more of the
2 truth. Parents don't like to talk about their interpersonal
3 relationship when the child is three years old.

4 THE COURT: I get it. It doesn't just happen to
5 psychiatrists. Sometimes it happens to judges. Not everybody
6 tells me the truth either.

7 If the governor of a hypothetical state came to you
8 and the president and speaker -- president of the senate and
9 the speaker of the house or whoever the legislative leaders
10 came and the leading -- the surgeon general of the state, they
11 came to you and said, "we want to make sure we are providing
12 the absolute best care for the children of this state that can
13 be provided, and for the adults. And so for trans
14 individuals, we need to make sure that care is provided
15 properly. Tell us what we need to do to make sure that we're
16 not getting a 20-minute consult and then straight to the
17 medical treatment. We need to do this right."

18 Could you tell them how to do it?

19 THE WITNESS: I would tell them to fund new programs
20 for the treatment -- the evaluation and the treatment of
21 autistic human beings with or without gender dysphoria.

22 THE COURT: Autistic human beings?

23 THE WITNESS: Autistic. That's number one because a
24 large percentage of people who present with gender dysphoria
25 have autism. In fact, many studies in several continents have

1 shown that the incidence of autism in transgender clinics is
2 seven fold the incidents of autism in the general population.

3 So number one --

4 THE COURT: Hold the thought.

5 I trust you to remember the thought better than me,
6 so let me interrupt and ask what occurs to me.

7 Is the increase that has occurred in autism a factor
8 in the increase in the presentations for trans?

9 THE WITNESS: There is a worry that that's true.

10 THE COURT: All right. I interrupted you. So first,
11 you treat the autism?

12 THE WITNESS: Well, so I would say in order to answer
13 your question, all you politicians, you need to think about
14 how to create mental healthcare in your state that approaches
15 the problems that are commonly seen in the gender dysphoric
16 populations, right? So autism is just one little new program
17 I want you to support.

18 The other is I want you to train mental health
19 professionals to do long-term psychotherapy and to evaluate
20 families over time. So and then I want you to take the
21 transgender child, whether the child is by a definition of
22 prepubertal and the transgender adolescent, and I want you to
23 ensure that they have a prolonged period of family and
24 individual intervention with a qualified mental health
25 professional. And I want you to set certain standards before

1 they have access to these medical treatments. And I would
2 explain to these politicians those things I have explained to
3 the Court about mortality and sterility, et cetera, et cetera.

4 So I want to give these trans children every chance
5 that society, our profession has to improve their mental
6 health before and during their medicalization treatment. I
7 want to give them a chance not to have a premature mortality
8 from all kinds of problems, you see. I want to increase their
9 mental health, their capacity to cope. I want to have them
10 identify the adversity and say, well, this is a child whose
11 parents have sold them into -- I don't mean to be so dramatic.
12 This is a family who has had dysfunction. There has been
13 violence in the family, there has been physical violence to
14 the child, there has been abandonment of the child by a
15 parent. I need you to have programs and -- and people in them
16 who understand these adversities that many of these children
17 have. And when they're identified in the comprehensive
18 evaluation, I want them in a treatment program for those
19 particular adversities.

20 Whether we can overcome or not, we can help the child
21 and the family appreciate the adversity and then help them
22 deal with their feelings that they had about it and not escape
23 by changing their sense of self, you see. See, "I want to
24 reinvent myself as trans person" could be "I want to escape
25 from the misery I have expressed as a boy or a girl."

1 So politicians I would say I want you to focus on
2 mental health in a serious way that addresses the problems
3 that 70 percent of these kids have when they are evaluated,
4 you see.

5 Now, that's not what has been happening. That would
6 be my advice. It's a long answer to a short question.

7 THE COURT: And then you would not prohibit medical
8 care when appropriate after that whole evaluation, true?

9 THE WITNESS: Yes, but I would like them to provide
10 medical care in a study, in a protocol that guarantees
11 follow-up and that will compare people who get affirmative
12 care and people who get psychotherapy only and people who, for
13 various reasons, are just followed up with -- are just
14 followed up without any intervention. That ideal thing.

15 But short of that, I would say if the politicians
16 created programs and capacities within their state to address
17 the mental health of those children and adolescents, then I
18 would say, okay, although we don't have all the answers,
19 affirmative care might be considered. But not without --

20 THE COURT: By that, you mean medical care as I
21 defined it.

22 THE WITNESS: Yeah affirmative care, medical care,
23 that's what I mean. Yeah.

24 THE COURT: Tell me what about the studies that were
25 in progress at the Johns Hopkins Clinic or the University of

1 Florida or the University of Miami that now have been shut
2 down were different from what you just outlined as the optimal
3 way to treat this.

4 THE WITNESS: Well, the John -- the only one that I'm
5 aware of is the Hopkins study.

6 THE COURT: They have a study in Florida, did you
7 know that?

8 THE WITNESS: No.

9 THE COURT: They may not have a study. They had
10 clinic in Florida, and there was a clinic at the University of
11 the Florida and a clinic at University of Miami. And I know
12 there were studies going on at a couple of those places.

13 But --

14 THE WITNESS: I'm not aware.

15 THE COURT: All right. Fair enough.

16 Questions just to follow up on mine?

17 MR. PERKO: No, Your Honor.

18 MR. CHARLES: No, Your Honor.

19 THE COURT: Thank you, Doctor. I appreciate your
20 input. You may step down. You're free to go about your
21 business.

22 THE WITNESS: Thank you.

23 THE COURT: It's probably lunchtime. Anything we
24 need to do before we break? Have anybody that needs to be
25 handled or some short witness or some witness whose testimony

1 won't be long?

2 MR. JAZIL: I don't think, Your Honor, we have a
3 witness that fits in that category. We have Dr. Lappert and
4 Dr. Kaliebe in the audience who will be our next two
5 witnesses.

6 THE COURT: Let's start back at 1:10 by that clock.

7 (A luncheon recess was taken at 12:07 p.m.)

8

AFTERNOON SESSION

9 (1:10 P.M.)

10 THE COURT: Please be seated.

11 Mr. Jazil, please call your next witness.

12 MR. JAZIL: Thank you, Your Honor. Dr. Lappert is
13 our next witness for the defense.

14 DEPUTY CLERK: Please raise your right hand.

15 **PATRICK LAPPERT DEFENSE WITNESS, DULY SWORN**

16 DEPUTY CLERK: Be seated.

17 Please, state your full name and spell your last
18 name for the record.

19 THE WITNESS: Patrick Walter Lappert, L-a-p-p-e-r-t.

20 DIRECT EXAMINATION

21 BY MR. JAZIL:

22 Q. Good afternoon, Dr. Lappert. What do you do?

23 A. I'm a physician and surgeon.

24 Q. What kind of physician surgeon?

25 A. Plastic and reconstructive surgery.

1 Q. Dr. Lappert, to speed things along a bit, I'm going to
2 have my friend pull up DX31, which is your CV, which has
3 already been admitted into evidence.

4 Doctor, does this CV accurately reflect your training and
5 experience?

6 A. It does.

7 Q. Your publications and awards?

8 A. It does.

9 Q. I would like to ask you a few questions about this CV.
10 It says here that you received an M.D. from the Uniformed
11 Services University of Health Sciences. What is that?

12 A. USUHS is the federal medical school that trains
13 physicians for service in the three branches of the military
14 as well as the public health service.

15 Q. And it says you did a general surgery residency, Doctor.
16 What is that?

17 A. For me that was a five-year program to train me to be a
18 general surgeon that included training and management of
19 cancer, gastrointestinal disease, pulmonary diseases. The
20 whole gamut of general surgery.

21 Q. And it says you were chief resident, Department of
22 Surgery. What does that mean, sir?

23 A. That's -- in the final year, if you're selected to be a
24 chief resident, you also manage the day-to-day operations of
25 the general surgery department including the management of

1 the surgical schedule and the training of the residents.

2 Q. It says that you did a plastic surgery residency.

3 First, Dr. Lappert, what do plastic surgeons do?

4 A. We are responsible for reconstructive surgery of defects
5 caused by trauma, congenital deformity, cancer care,
6 infectious illness. It's the restoration of form and
7 function that may have been lost to any of those causes, and
8 then there's also the additional dimension of cosmetic
9 surgery.

10 Q. Understood.

11 And what exactly does the residency in plasty surgery
12 entail?

13 A. So the majority of us are prior board eligible or board
14 certified in general surgery, as I was. That is followed by
15 a two- to three-year residency program that involves training
16 in all of the aspects of reconstructive surgery, including
17 the care of congenital deformities in children, the care of
18 the elderly and chronic wounds, the care of limb salvage,
19 hand surgery, cancer reconstruction of the head and neck.

20 Essentially what I used to tell my residents in training
21 is that plastic surgery is surgery of the skin and its
22 contents because we cover all body areas under a variety of
23 different circumstances.

24 Q. If we scroll down on here, it says that you had a board
25 certification in surgery from 1992 until 2002.

1 First, Doctor, tell us what a board certification in
2 surgery means.

3 A. Well, if the American Board of Surgery approves your
4 training program -- and that's a process in and of itself --
5 if you graduate from an approved training program, you are
6 considered board eligible. You're invited to sit for the
7 written examination; and that if you satisfactorily pass the
8 written examination, you're invited to take the oral
9 examination. And then having completed all those areas, you
10 are then considered board certified.

11 Q. And it says that your board certification ended in 2002.
12 Why is that, sir?

13 A. Beginning in the early '90s -- it used to be that board
14 certification in general surgery, among others, was a
15 lifetime thing. But beginning in the early '90s, they made
16 it a recurrent recertification process. So in 2002, my
17 general surgery board certification expired.

18 Q. And your CV says that you were board certified in plastic
19 surgery from 1997 until 2018.

20 First, Doctor, tell us what a board certification in
21 plastic surgery means.

22 A. Well, as with general surgery, if your training program
23 is certified, at the completion of your residency, you are
24 considered board eligible in plastic surgery. In the case of
25 plastic surgery, it's a bit more rigorous because you, in

1 addition to having to pass the written examination, during
2 the years that I was certified, the -- you are required to
3 collect every case for an entire year and report those cases
4 listed to the American Board of Plastic Surgery.

5 From among those hundreds of cases, they will select -- I
6 think my year it was ten cases for critical examination. And
7 you have to submit comprehensive records, everything from
8 clinic visits, operative reports, anesthesia records, billing
9 records, all of it; and then the oral examination is
10 basically a review with you of those selected cases. And if
11 you satisfy the examiners, then you are now board certified
12 in plastic surgery.

13 Q. Sir, why did your certification end in 2018?

14 A. In 2018 -- having recertified, in 2018 I was within two
15 years of my retirement from my life as an active surgeon. So
16 being that at that point in my career I was a solo
17 practitioner in a small town, it didn't seem reasonable to go
18 through that whole recertification process only so that I
19 could use it for two years. And at that point in my career,
20 none of the hospitals I operated in even considered it a
21 requirement, so I -- I deferred recertification.

22 Q. Doctor, I would like to ask about a couple your medical
23 appointments.

24 It says here that you were chairman of the Department of
25 Plastic and Reconstructive Surgery at Naval Medical Center of

1 Portsmouth. What were your responsibilities in that role,
2 sir?

3 A. Well, as a department head, I had the care of the entire
4 department including our five plastic and reconstructive
5 surgeons, a number of enlisted service members who were
6 responsible for the running of the clinic and the operating
7 room. I had a number of a civilians working for us as well.
8 And I was responsible to the director of surgical services.
9 So our department was responsible for offering comprehensive
10 reconstructive surgical services to all eligible service
11 members and their dependents as well as retirees for a
12 catchment area that included all of Virginia and south to
13 Florida and all the way east to the Eastern Mediterranean.
14 So all complex reconstructive issues within that catchment
15 area were sent to us, and we were responsible for their care.

16 Q. Doctor, it also says that you were a clinical assistant
17 professor at the Department of Surgery at the Uniform
18 Services University of Health Sciences.

19 What did that job entail?

20 A. As a professor assistant, I was responsible for -- I was
21 the point of contact for any medical students who were doing
22 clerkship rotations at the Portsmouth Naval Hospital,
23 responsible for not only their training but their care and
24 feeding, if you will. And I was responsible for offering
25 lectures on matters pertaining to surgery in general and

1 plastic surgery in particular.

2 Q. And the last line on this page, Doctor, it says here that
3 you were a specialty leader, plastic and reconstructive
4 surgery for the Office of Surgeon General, U.S. Navy?

5 A. That's correct.

6 Q. What did you do in that role, sir?

7 A. So, while I was the chairman of the department at the
8 Portsmouth Naval Hospital, I was also in that position as
9 specialty leader. What that required of me was that I was to
10 assist the Surgeon General of the Navy in making policy
11 decisions about coverage, care, eligibility for care, what we
12 call the evacuation policy for any injured persons in that
13 catchment area, how they were to be brought back stateside in
14 the event of conflict, what the evacuation policy would be.

15 And then I was also responsible for advising him on the
16 recurring issue of what constitutes reconstructive surgery
17 and what constitutes cosmetic surgery, because it basically
18 impinged upon how the local medical treatment facility
19 commanders had to spend their money in the care of active
20 duties and dependents, whether a covered benefit was
21 available in the military treatment facility. If it was a
22 covered benefit, we would have to pay for it in a civilian
23 hospital if they were eligible beneficiaries. And if it was
24 cosmetic surgery, basically the determination was made that
25 it's not something that the military or the government is

1 responsible for.

2 Q. Doctor, how long have you been a plastic surgeon?

3 A. Thirty years.

4 Q. Can you approximate for me the number of surgeries you
5 have done in that time?

6 A. It would be a rough approximation, but over that time
7 period somewhere around 6,000 major surgeries and innumerable
8 lesser procedures.

9 Q. Doctor, can you briefly describe for us the kind of
10 surgery you did in your military service as a plastic
11 surgeon?

12 A. Well, as I explained before, we covered all body areas
13 and all demographics from neonates to the elderly and the
14 dying. That included craniofacial reconstruction for
15 children born with craniofacial anomalies like cleft pallet
16 and things like that. We established and ran a comprehensive
17 multidisciplinary cleft palate craniofacial board through
18 which those children were brought.

19 We worked very collaboratively with the ENT surgeons
20 doing head and neck reconstruction for cancer and trauma. We
21 worked with the orthopedic department in doing limb salvage
22 and hand reconstructive surgery for combat trauma victims or
23 other victims.

24 We worked with the thoracic surgeons doing chest wall
25 reconstructions, worked with the general surgeons doing

1 breast cancer reconstruction, and worked with urologists,
2 again for congenital anomalies, developmental anomalies. Did
3 I leave anything out here? I think that's probably all of
4 it.

5 Q. I understand. And in your civilian practice, can you
6 briefly describe the kind of surgeries that you did and do in
7 that role?

8 A. A much simpler life because much of what I outlined to
9 you earlier required multidisciplinary care as well as the
10 presence of a lot of additional physicians to monitor the
11 patients. So did a lot of breast cancer reconstruction, did
12 a lot of breast reductions, did a lot of skin cancer care
13 postoperative reconstruction. Some hand surgery, as well as
14 operating a wound care center for the management of chronic
15 wounds, and again a cleft palate board for the management of
16 children with congenital deformities.

17 In that setting I wasn't doing a lot of the cleft palate
18 surgery, but I was screening the patients, developing a care
19 plan and referring them to university centers.

20 Q. Doctor, in your work do you keep up with the academic
21 literature?

22 A. I do.

23 Q. Why?

24 A. It's my duty. It's my duty to stay abreast of the
25 current literature in the event of new developments that

1 would give better results or make care available to people it
2 wasn't available to before.

3 Q. How do you in your practice judge whether or not to
4 follow a particular recommendation?

5 A. Well, one of the things we emphasize in surgical services
6 is maintaining currency in the literature and doing things
7 like having a journal club where practitioners get together
8 and review current articles in recent journals. So the
9 Plastic and Reconstructive Surgery Journal is one we use
10 frequently where you review articles. You will select
11 articles for particular doctors to review and then present
12 and then discuss.

13 The American Society of Plastic Surgery offered us -- I
14 think it was about 15 years ago -- an evaluation tool -- I
15 think the lead author was Dr. Rod Rohrich -- where you can
16 assess the value of scientific evidence presented in the
17 article that enables you to judge whether what is being
18 presented is useful in making clinical decisions or if it's
19 just interesting and may inform research experimentation or
20 further study.

21 So the system that the American Society of Plastic
22 Surgery uses is a 1-to-5 grading scale to grade the quality
23 of the evidence itself. So, for example, Level 5 evidence,
24 which is entry level -- to get into a peer-reviewed journal,
25 you at least have to have that -- and much what is published

1 in peer-reviewed journals is Level 5 evidence.

2 What does that constitute? Anecdotal report of an
3 interesting case -- I have got a couple of those in my CV --
4 where something unusual happens, you see something going on
5 with the patient that has not been previously reported, or
6 you have a novel way of managing something that was
7 previously reported, and you publish that literature.

8 Level 5 evidence.

9 Anecdotal report, not sufficient to guide clinical
10 decision-making. If I had of series of cases like that and I
11 collected those cases over time, I might be able to say more
12 about what's going on with those patients. But a case
13 series, a retrospective review of my database, for example,
14 that would be Level 4 evidence. And that's more compelling
15 and certainly more useful in designing research.

16 So Level 4 evidence retrospective review, no case control
17 group. There is no control group, so I can't emphatically
18 say that what I did for these patients got me the result that
19 I'm claiming. I can just say there is an interesting
20 correlation here, and we need to examine where we're going to
21 go in the treatment of these patients.

22 The next level in the ASPS scheme is where you do have a
23 control group, Level 3 evidence. Longitudinal study, where
24 not only do we have the case series that I'm reporting on,
25 but I have a comparable control group that I'm following both

1 over time. That is a -- for example, if I see that in a
2 journal article, then that is something that can guide my
3 clinical decision-making, particularly if we're dealing with
4 surgical interventions that can have long-term consequences.

5 When you get to Level 2, now you're talking about
6 randomized trials, and then further on into the systematic
7 review of randomized trials.

8 So that's -- the goal standard is randomized controlled
9 trials or systematic review. But as was discussed earlier,
10 you can't do that with surgical patients. You can't do sham
11 surgeries. It's unethical. So you can't have that kind of
12 control. But you can have comparison populations followed
13 longitudinally and use Level 3 evidence to make those kind of
14 decisions.

15 Q. What were you asked to do in this case?

16 A. I was asked to review the surgical procedures that are
17 offered in the care, affirmation care of transgender persons,
18 to look at the levels of evidence that are used to support
19 that, to examine the issues of medical necessity, efficacy
20 and the safety of those procedures, and to examine the
21 scientific evidence as presented particularly by the
22 plaintiffs' witnesses in support of those treatments.

23 MR. JAZIL: Your Honor, I can tender him as an expert
24 in plastic surgery if the Court would prefer, or just go on
25 questioning.

1 MR. MILLER: I do have brief voir dire, Your Honor.

2 THE COURT: All right.

3 VOIR DIRE EXAMINATION

4 BY MR. MILLER:

5 Q. Good afternoon, Dr. Lappert. My name is William Miller.

6 A. Pleasure.

7 Q. Dr. Lappert, you've never provided any kind of
8 gender-affirming surgery as treatment for gender dysphoria;
9 that's correct, right?

10 A. That's correct.

11 Q. You have not published a peer-reviewed article since
12 1998; is that correct?

13 A. I think that's correct, yeah.

14 Q. And over the course of your career, you've published six
15 articles, none of which were about gender-affirming surgery,
16 surgery?

17 A. Correct.

18 Q. You've never conducted or published research on gender
19 dysphoria or transgender people, correct?

20 A. Correct.

21 Q. You agree that gender dysphoria is not your area of care,
22 correct?

23 A. Correct.

24 Q. And you do not claim to be an expert in the treatment of
25 gender dysphoria, do you?

1 A. I do not.

2 Q. Okay.

3 MR. MILLER: Your Honor, based on that testimony, I
4 think we land in the same area we were with Dr. Hruz, and so
5 we would not object to Dr. Lappert testifying to the field of
6 plastic and reconstructive surgery, but we would object to any
7 testimony that goes beyond his area of care and clinical
8 expertise.

9 THE COURT: Mr. Jazil, I know he was part of what
10 they relied on at the administrative area. What gives him any
11 expertise relative to this case?

12 MR. JAZIL: Your Honor, as testimony will show and as
13 Dr. Lappert will hopefully testify, we are going to walk
14 through the surgeries that are used for the treatment of
15 gender dysphoria, and he can talk about their efficacy, their
16 use, the risks, et cetera.

17 THE COURT: Surgery he's never performed, true or not
18 true?

19 MR. JAZIL: Not true. He has performed these
20 surgeries, just not for gender dysphoria.

21 THE COURT: Isn't that their point? It's sort of the
22 same thing I said before. I will let you tender the
23 testimony, and probably the most reliable way to take the
24 tender is by allowing you to ask the questions and then
25 subjecting it to cross-examination.

1 It seems to me the plaintiffs are right that to the
2 extent he wants to speak to the question of whether this
3 surgery is appropriate for a trans patient, what trans
4 patients need or don't need, how this affects a trans patient,
5 those seem to me to be things that are just not his area.

6 *Daubert*, of course, is a rule that applies in all
7 kinds of cases. If you had a malpractice case involving trans
8 surgery and the question was whether the surgery was
9 appropriate, how it impacted the trans patient; and you
10 brought Dr. Lappert, there is no question in my mind that the
11 testimony would be excluded. In *Daubert* it's difficult to say
12 every judge with our considerable discretion would make the
13 same ruling on any given set of facts, but I think we would
14 all make that ruling.

15 Now, if there were issues in that case that dealt
16 with how does one perform mastectomy, then I'm sure that is
17 something that Dr. Lappert can speak to. But whether that's
18 appropriate treatment for a trans patient, I don't think there
19 is a judge in the country that would say he can give that
20 testimony.

21 So some of the subjects and the reason I denied these
22 motions in limine before we started was that all of these
23 doctors -- all these experts had some things they could
24 properly testify about, including Dr. Lappert. But it does
25 seem to me that, when you get his testimony about how one

1 should treat a trans patient, you're beyond the pale.

2 But we're going to get it proffered anyway; and as I
3 said, this is probably the best way to take a proffer, so
4 carry on.

5 MR. MILLER: And just for clarity, Your Honor, you
6 wouldn't want us to object question by question. Can we have
7 a standing objection to the extent he testifies as we did with
8 Dr. Hruz, or would you prefer to handle it differently?

9 THE COURT: Yes. I don't want you to object to every
10 question. I probably should make it clearer than maybe I did
11 when we brought this up before. I only ask for one clear
12 chance to rule on any given issue, but I do ask for one clear
13 chance. So if there is something other than just he doesn't
14 treat trans patients, if there is some other difficulty, raise
15 it.

16 MR. MILLER: Certainly, Your Honor. Thank you.

17 MR. JAZIL: Thank you, Your Honor.

18 DIRECT EXAMINATION

19 CONTINUED BY MR. JAZIL:

20 Q. Doctor, I'm going to ask you a couple of questions about
21 surgeries generally.

22 What goes into your decision about whether or not to do a
23 particular plastic surgery?

24 A. Well, it depends on whether it's a reconstructive
25 operation or a cosmetic operation, because they differ

1 significantly, and I will get into that.

2 So the first question is: What is the nature of the
3 patient's problem? In the case of a reconstructive surgery,
4 what is the nature of the defect? What is the missing part?
5 What is its dimensions? What's the history of injury or how
6 that may have happened? What does it mean to the patient?

7 Loss of a helping hand is different than loss of a
8 dominant hand, for example. So if he's a left-handed
9 patient, it's different than if he's a right-handed -- those
10 sorts of things.

11 So having defined the defect and what the defect means to
12 the patient, then I have to go through what the options of
13 reconstructive surgery are; and among those options, what am
14 I capable of doing, so my skill level for that particular
15 reconstructive challenge.

16 Then I have to be able to offer the options of care to
17 the patient so that they can make an informed decision. And
18 I have to be able to go through with them what the likelihood
19 is of a successful outcome, what they're risking in having
20 the operation.

21 So it begins with a definition of the defect, an
22 examination of the patient's particular problems, and what
23 are the options of care and reconstruction, am I capable of
24 doing that, and what does the patient choose to do.

25 It's a different process when you are talking about

1 cosmetic surgery because such operations begin in the
2 subjective life of the patient. They are not referred to you
3 because something is wrong or something is missing. They are
4 referred to you because something is going on in their
5 interior life, and they're usually self-referred.

6 So the beginning of that evaluation is really an
7 evaluation of what the patient is thinking, what they are
8 seeing, what they are feeling, and whether or not I can see
9 and understand what they see and understand.

10 And then having determined what their complaint is and
11 what they are seeking from cosmetic surgery, I have to
12 examine what's the likelihood that I can satisfy what it is
13 that they want from the surgery.

14 So it may be something trivial, like the person just
15 wants their two ears to match because one of them is loppy
16 and the other one is not. And I can see the defect, I can
17 define the defect, I can offer several options of care to the
18 patient. And in discussing that with the patient, I can get
19 a sense for what their expectation of the result is. Well,
20 symmetry, and I would like people to stop looking at my left
21 ear. That's a reasonable thing. So that's not a very
22 challenging one.

23 But if I patient come in and say that they want their
24 nose modified, I will -- getting into the details, if I see
25 what they see, you know, I have a hump on the top of my nose

1 or the base of my nose is too wide, I think it looks ugly,
2 well, if I can see what the patient sees, then I can proceed
3 on.

4 If in the course of the evaluation the patient voices to
5 me the idea that by changing the appearance of their nose,
6 they are going to radically alter the course of their life;
7 that they are going to go from a condition of great sorrow to
8 a condition of joy. If they say things like, "The reason I'm
9 not getting ahead in the firm is because I have this hump on
10 my nose," I'm going to basically seek to disabuse the patient
11 of the idea that changing their nose is going to change their
12 career.

13 But that would be an example of a person ascribing to
14 their physical appearance the causes of their sorrow,
15 particularly if it's within the normal range of what humanity
16 experiences.

17 So that's where you get into the moral and the ethical
18 issue of reconstructive surgery versus cosmetic surgery. In
19 both cases you have to have an understanding of what the
20 likely outcome is going to be based on your skill and the
21 patient's condition.

22 But in the case aesthetic cosmetic patient, you have the
23 additional problem of recognizing, when a patient has a
24 condition that we -- in our training, we learn it is called
25 "body dysmorphic disorder." This is a very important thing

1 for cosmetic surgeons to understand because it's considered
2 malpractice to offer surgery to a person who is suffering
3 with body dysmorphic disorder. It unethical.

4 Q. Doctor, before we go on to specific surgeries, can you
5 tell us briefly what the risks are associated with surgery
6 generally?

7 A. Well, surgery generally, if you make an incision in the
8 skin, you have a risk of wound infection. Depending on where
9 on the body you make the incision, the risk may be higher or
10 lower.

11 Anesthetic risks, unexpected reactions to medications,
12 length of anesthesia, length of immobilization, all can be
13 associated with significant risks of everything from adverse
14 reaction to anesthesia to pulmonary embolus from
15 thrombophlebitis, various things like that. Depending on
16 where in the body you are operating, the potential risk can
17 be higher.

18 MR. JAZIL: I would like to DX16, page 138.

19 BY MR. JAZIL:

20 Q. Doctor, take a look at these surgeries and look back at
21 me when you have had a chance.

22 A. Okay.

23 Okay.

24 Q. Doctor, which of the surgeries on this list have you
25 performed in your experience?

1 A. Let's see. Going from top to bottom under the heading of
2 "Brow," I have performed all of those operations, lip, lip
3 reconstruction, jaw modification, chin reshaping. I have not
4 done a chondral laryngoplasty. That's not an operation I
5 have done.

6 Breast surgery, all of those. Genital surgery. I have
7 not done metoidioplasty. I have done vulvoplasties,
8 vaginoplasties, phalloplasties. I have not done gonadectomy
9 electively. All I have done is a removal of an infarcted
10 gonad but not a bilateral. Body contouring, I have not done
11 monsplasty.

12 Under "Additional Procedures," I have not done uterine
13 transplantation or penile transplantation. And as far as the
14 various options of those operations, I have done -- none of
15 the things listed under metoidioplasty, but the others, I
16 think I have done all of those.

17 Q. Doctor, can you tell us which of the operations on that
18 list are reversible?

19 A. Well, all of those facial surgeries are reversible.
20 Mastectomy is not a reversible procedure. Any operation that
21 involves the -- you know, obviously the removal of the
22 genitalia is not reversible, like gonadectomy, hysterectomy,
23 those are not reversible surgeries. The ordinary body
24 contouring procedures are reversible.

25 Q. Doctor, based on what you just said, my understanding is

1 you have done a phalloplasty?

2 A. For reconstructive purposes, yeah, on a couple of
3 occasions, for management of infectious destruction as well
4 as traumatic amputation.

5 Q. Can you briefly describe for us what that surgery is?

6 A. Well, in the one case it involved local regional flaps
7 where we -- in order to do such reconstructions, you have to
8 import soft tissue from adjacent places or from distant
9 locations in order to get the reconstruction going.

10 So the penile reconstructions, one of them involved a
11 replant with a local regional flap, and the other one
12 involved reconstruction with local regional flaps and free
13 skin grafts.

14 Q. What does that mean?

15 A. It means that you lift and you rotate an area of adjacent
16 skin, keeping it on its blood supply, and shaping that tissue
17 into the structure you are trying to reconstruct. And
18 oftentimes when you do -- use that technique, you come back
19 and do additional modifications to the result in order to
20 achieve a more aesthetically normal result.

21 Q. What are the risks associated with the surgery?

22 A. Any time you lift and rotate tissue in order to achieve a
23 reconstruction, you are challenging the blood supply to that
24 area of skin. In simply making the incision around the skin,
25 even while preserving its named blood supply, you are

1 compromising blood flow in that flap.

2 So to lift and rotate an area of soft tissue like that
3 risks loss of blood supply and the concomitant wound-healing
4 problems, and that sort of thing. When you are importing
5 tissue from remote locations using the free flap technique or
6 the microvascular flap, that's even more challenging, because
7 now you are working under the microscope to reconnect blood
8 vessels, and they have their own particular risks of
9 infarction and thrombosis and that sort of thing.

10 Q. If you were to do the surgery on a natal female, would
11 the risk be different?

12 A. Which operation are we talking about?

13 Q. Phalloplasty.

14 A. Phalloplasty. So in order to do a phalloplasty, you are
15 importing tissue from remote locations, typically. Not
16 exclusively, but the typical operation these days is a
17 microvascular neurotized free flap reconstruction, which
18 involves a couple of risks. One of them is the risk to the
19 tissue that you've transplanted. The things that we talked
20 about earlier, loss of blood supply and difficulties with
21 wound healing.

22 Additionally, you have donor morbidity, which includes --
23 the typical donor site is the forearm. The donor morbidity
24 there is exposure of muscles, tendons, nerves, joints,
25 ligaments, that can comprise function of the hand, cause

1 lymphedema in the hand, besides the aesthetic problem.
2 Because you're covering that with a skin graft, you have the
3 potential of partial or complete loss of the skin graft that
4 is being used to protect the previously exposed muscles,
5 tendons, and so on.

6 And then you have what -- you have to put in the category
7 of donor morbidity, which means what is the patient losing in
8 order to achieve the reconstruction. There is the donor
9 morbidity of the arm --

10 THE COURT: Doctor, I don't want to interrupt.

11 Do you remember what the question was?

12 THE WITNESS: Yes. I think the question was what are
13 the risks.

14 THE COURT: No. That was not the question. The
15 question was: What risks are there doing this to a natal
16 woman as opposed to doing it to a natal male? What
17 increase -- what different risks?

18 THE WITNESS: I understand, sir. Sorry.

19 So the difference in risk is that, in the natal male,
20 it's what you're risking is the donor site and the fact that
21 the flap might fail. In the natal female, there is the
22 additional risk -- well, the additional penalty, I guess, of
23 the loss of the reproductive capacity.

24 BY MR. JAZIL:

25 Q. Doctor, you said you've done a vaginoplasty. What are

1 the risks associated with that?

2 A. Similar risks. The particular vaginoplasty I've done
3 were in the setting of essentially IED trauma. So, again,
4 lifting and rotating tissue, the risk of loss of the tissue,
5 the risk of fistula communication between the reconstructed
6 structure and adjacent structures like the bladder and the
7 rectum, where you can get communication between those
8 structures and the external world.

9 Q. Doctor, you have said you have mastectomies before.

10 Can you approximate for me how many mastectomies you have
11 done in your career as a plastic surgeon?

12 A. Somewhere between 3- and 400, I'm going to estimate.

13 Q. When do you typically do these mastectomies?

14 A. A mastectomy is a therapeutic operation, typically done
15 in the setting of a diagnosis of malignancy or more recently
16 in the setting of a diagnosis of increased risk of malignancy
17 in people who have inherent traits.

18 It can additionally be done for other problems where you
19 can have painful fibrosis of the breasts that the patient's
20 having difficulty dealing with, and that would be a different
21 kind of a mastectomy. It wouldn't be a total mastectomy but
22 a subcutaneous mastectomy. You then replace it with either
23 autologous tissue or an implant.

24 Q. Doctor, earlier in your testimony you talked about some
25 of the ethical concerns associated with dealing with

1 surgeries.

2 Why aren't there any ethical concerns in your mind when
3 you're moving healthy tissue from a woman who hasn't yet been
4 diagnosed with breast cancer?

5 A. Well, there are a couple of circumstances where you might
6 be doing that. So, for example, in a breast reduction, you
7 are removing healthy tissue, but you're doing it -- it's
8 considered a reconstructive operation because it doesn't
9 begin in the subjective life of the patient. It begins in a
10 known problem of orthopedic difficulties that the patient is
11 having. Neck, back, and shoulder pain associated with
12 overgrowth of the breast is a common problem, which I had to
13 manage on active duty women.

14 So there what you are managing is a known objectively
15 qualifiable diagnosis of neck, back, and shoulder pain, and
16 the breast reduction has known benefit; that is to say, I
17 know that if I remove x-amount of tissue, the neck, back, and
18 shoulder pain will resolve.

19 So in the setting of removal of normal tissue, say from a
20 woman who has a diagnosis of an inherited trait and has a
21 family history of breast cancer, there you are, again, doing
22 it to manage an objectively quantifiable disease.

23 And in this case, what's quantifiable is her lifetime
24 risk of breast cancer. And so the operation is done there to
25 manage that. Very different thing if I'm doing mastectomy to

1 manage a subjective complaint. So that's where the ethical
2 problem would come in.

3 Q. Doctor, have you done breast augmentation surgeries
4 before?

5 A. Many.

6 Q. And let's say a 40-year-old mother comes to your office
7 asking for a breast augmentation surgery. What's the
8 conversation you have with her to decide whether or not you
9 can do the surgery on her?

10 A. Well, as we talked about before, it's characterizing what
11 the patient's goals are, what she sees, and if I can see what
12 she sees. Is she -- is she in a condition to tolerate the
13 surgery, even though it's a relatively brief operation. Does
14 she have any contraindications to implant augmentation? A
15 woman who has chronic problems with infection would not be a
16 good candidate for implant surgery of any kind.

17 If her expectations are reasonable, then it would be a
18 reasonable thing to discuss with her. And then we would
19 discuss what the options of care are, whether an implant or
20 autologous fat grafting or something of this sort.

21 What I would be wary for in a patient like that is,
22 again, motivation. If her expectations are the ordinary kind
23 where she just would prefer to look like she looked before
24 she had her children, that's the typical breast augmentation
25 patient. If in the course of my evaluation she became

1 tearful and said something like, "I'm glad you're going to do
2 this operation for me because I'm sure that if I don't do
3 this, my husband will leave me," then that would be an
4 unethical reason for me to offer -- I mean, that would be a
5 circumstance of ethical problems because the patient would
6 have an expectation of the surgery that obviously I cannot
7 meet.

8 Q. Understood.

9 THE COURT: Did I understand you just to say that the
10 typical reason why somebody presents for breast augmentation
11 is because they've had children and want to be restored to
12 where they were before they had children?

13 THE WITNESS: Yes, sir. I think that the most common
14 breast augmentation patient is a woman in her forties who is
15 multiparous. There is obviously a large cadre of patients who
16 are young who are looking to enhance their appearance, and it
17 also varies from one area of the country to another. When I
18 was doing surgery in San Francisco, very different from when I
19 was doing surgery in Tennessee, the expectations of surgery.

20 THE COURT: We've gotten so far from the issues in
21 the case that we're just spending time.

22 MR. JAZIL: Your Honor, I will wrap this up quickly.

23 BY MR. JAZIL:

24 Q. Doctor, the surgeries on that list there, do you do any
25 of those surgeries for transgender patients?

1 A. No.

2 Q. Would you do any of those surgeries for transgender
3 patients?

4 A. No.

5 Q. Why not?

6 A. Because that is -- the problem we talked about earlier,
7 the transgender patient --

8 THE COURT: This is particularly the area where this
9 witness has no expertise and nothing to add. If I'm not
10 mistaken, he was prevented from giving this testimony by the
11 District Court in North Carolina, was he not?

12 MR. JAZIL: I don't know, Your Honor.

13 THE COURT: I don't think I'm the first judge to say
14 this man has no expertise that passes *Daubert* on this subject.

15 MR. JAZIL: Your Honor, may I --

16 THE COURT: The earlier ruling -- go right ahead.
17 Basically, you're going to testify that doctors providing a
18 service he's never provided for patients of the kind he's
19 never dealt with are committing an unethical practice,
20 essentially malpractice, every day when they treat their
21 patients. It's a remarkable assertion for someone who has
22 never worked in the area.

23 Carry on.

24 BY MR. JAZIL:

25 Q. Doctor, why wouldn't you provide those surgical

1 treatments to patients who are seeking them for the treatment
2 of gender dysphoria?

3 A. Because I would place those operations in the category of
4 cosmetic surgery. And for the reasons we discussed earlier,
5 cosmetic surgery, because it begins in the subjective life of
6 the patient, in this case the expectations are not anything
7 that I could offer even a glimmer of a prediction whether it
8 would satisfy their needs because the expectation is very,
9 very high that it would be life transforming. And because it
10 is a cosmetic operation, I would consider it something I
11 would not offer.

12 MR. JAZIL: Thank you. No further questions,
13 Your Honor.

14 THE COURT: Cross-examine?

15 MR. MILLER: Yes, Your Honor.

16 CROSS-EXAMINATION

17 BY MR. MILLER:

18 Q. Dr. Lappert, you've previously attended meetings
19 sponsored by the Alliance Defending Freedom, correct?

20 A. Yes.

21 Q. Is it all right if I refer to that as the ADF? You'll
22 know what I --

23 A. Certainly.

24 Q. The ADF is not a professional scientific organization, is
25 it?

1 A. I don't think it is, no.

2 Q. Would it be fair to describe it as a Christian-based,
3 legal advocacy organization?

4 A. That's my understanding. I'm not affiliated with them,
5 so I don't understand the entirety of what they do. But I do
6 know that they are Christian-based, and they seem to be an
7 advocacy organization that's based in the law.

8 Q. And you attended an ADF meeting sometime in 2017; is that
9 correct?

10 A. Sounds right. I'm -- I don't know the exact dates that I
11 was there, but...

12 Q. The meeting certainly preceded the time that you've ever
13 testified as an expert witness; is that correct?

14 A. Yes.

15 Q. And at that meeting there was a discussion about the lack
16 of people willing to testify and the difficulty of finding
17 expert witnesses on transgender issues?

18 A. I think that was discussed, yes.

19 Q. And people at that meeting were asked whether they would
20 be willing to participate as expert witnesses, correct?

21 A. I don't remember that question being asked, but...

22 MR. MILLER: Anna, could you pull up Plaintiff
23 Exhibit 81, please?

24 THE COURT: You have to say it where we can all hear.

25 MR. MILLER: Yes. Plaintiffs' Exhibit 81, please.

1 BY MR. MILLER:

2 Q. Dr. Lappert, do you recall testifying at the trial for
3 *Brandt v. Rutledge* in Arkansas?

4 A. Yes.

5 Q. And that was in 2022, November?

6 A. Correct.

7 MR. MILLER: And, Anna, could you go to the
8 page 1081?

9 BY MR. MILLER:

10 Q. I'll just read it out. You were under oath at that
11 trial, correct, Dr. Lappert?

12 A. Yes.

13 Q. Do you recall a question being posed, and I'll quote:

14 "Question: And people at that meeting were asked whether
15 they would be willing to participate as expert witnesses,
16 weren't they?

17 "Answer: Yes."

18 Do you recall that testimony?

19 A. Well, I don't recall it, but I'm certainly confident that
20 they recorded it correctly.

21 Q. Thank you.

22 And were you present in court yesterday when Dr. Hruz
23 testified?

24 A. Yes, I was.

25 Q. And you're familiar with Dr. Hruz?

1 A. Oh, yes, we're good friends.

2 Q. Dr. Hruz was also present at that meeting, correct?

3 A. Yes, he was. In fact, that's where I met him.

4 Q. And it's fair to say that ADF is an organization that has
5 moral objections to gender-affirming care to treat gender
6 dysphoria?

7 A. I suspect that's true. Again, I don't have any
8 association with the ADF. I was just invited to make a
9 presentation there and met some people and had a discussion
10 and left.

11 MR. MILLER: Anna, could you please pull up
12 Plaintiffs' Exhibit 135?

13 BY MR. MILLER:

14 Q. Dr. Lappert, this is a 2019 article from LifeSiteNews
15 titled, "Plastic Surgeons, Sex Change Operation Utterly
16 Unacceptable and a Form of Child Abuse," right?

17 A. Yes.

18 Q. You're the plastic surgeon quoted in this article,
19 correct?

20 A. Yes; that's correct.

21 Q. The article reports on your 2019 appearance in a radio
22 interview on a broadcast called "Relevant Radio Trending With
23 Timmerie," correct?

24 And you did appear on that radio program, correct?

25 A. That's correct.

1 Q. On the first page this article states:

2 *Dr. Lappert, a Catholic Deacon in Alabama, says changing*
3 *a person's sex is a lie and also a moral violation for a*
4 *physician.*

5 Did I read that correctly?

6 A. Yes.

7 Q. You hold that view, correct?

8 A. I do.

9 MR. MILLER: Would you go to page 7 of the document,
10 please, Anna?

11 BY MR. MILLER:

12 Q. We're looking at the bottom two paragraphs, very bottom
13 of the page. Thank you.

14 So the second-to-the-last paragraph quotes you as saying,
15 quote:

16 *It's leading us to see the human person as a commodity*
17 *that is regulated by the government, by government*
18 *institutions, universities and by laboratories, and that is a*
19 *huge evil. It's a huge evil, and never forget that*
20 *transgender surgery is right at the heart of that evil.*

21 Did I read that correctly, Dr. Lappert?

22 A. You did.

23 Q. That's an accurate quote of your words?

24 A. Yes, it is.

25 Q. The article then indicates, you continue -- I'm going to

1 the last paragraph on that page. Quote:

2 *First of all, because it utterly perverts our sense of*
3 *human sexuality, it internally divides the human person from*
4 *their very own bodies. And now it's separating the human*
5 *community from their reproductive faculties in the era of*
6 *assisted reproductive technology. So this is diabolical in*
7 *every sense of the word. Diabolical.*

8 Did I read that correctly?

9 A. You did.

10 Q. And that's an accurate quote of your words, right?

11 A. Yes, it is.

12 MR. MILLER: You can take that down, Anna. Thank
13 you.

14 BY MR. MILLER:

15 Q. Dr. Lappert, you yourself have previously lobbied state
16 legislators to pass laws banning the provision of
17 gender-affirming care to adolescents, correct?

18 A. I have.

19 Q. And you submitted information to the Utah legislature in
20 relation to such proposed law; is that right?

21 A. I think that's correct. I didn't -- the involvement with
22 Utah didn't proceed I think beyond one -- one interaction,
23 and I don't remember the details.

24 Q. Do you recall making a submission of information?

25 A. I think so, yes.

1 Q. And in that submission, with respect to gender-affirming
2 care, you said, quote:

3 *All that is happening is that the patient is undergoing*
4 *an intentional mutilation in order to create a counterfeit*
5 *appearance of the other sex.*

6 Does that sound correct?

7 A. That's very correct, yes.

8 Q. And you consider gender-affirming surgeries to be an
9 intentional mutilation, correct?

10 A. Part of it is intentional mutilation, yes, it is. So,
11 for example, the intentional destruction of a reproductive
12 faculty is considered mutilation as surely as if I mutilated
13 somebody's hand, only in this case it's the genital. You are
14 robbing them of a natural human facility through the process
15 of destruction of natural human structures.

16 Q. And you think it would be a good idea to criminally
17 prosecute doctors who provide gender-affirming care, correct?

18 A. Actually, I would hope it would be unnecessary to do
19 that.

20 Q. But you do agree it would be a good idea if the care was
21 still being provided?

22 A. Yes.

23 THE COURT: Let me make sure I -- what the last
24 question and answer were. You think it be a good idea to
25 prosecute doctors who provide the care?

1 THE WITNESS: What I think is that having publicly
2 reviewed, first of all, what is going on, what is the level of
3 scientific support, if it is, you know, the desire of the
4 government to regulate that, then it would be -- as surely as
5 we criminally prosecute the mis-prescription of anabolic
6 steroids to children who want to be athletes, it's the same
7 kind of duty that the government has. And I consider that one
8 of the duties not only of the government, but I would hope
9 that the medical community would take action to prevent those
10 things first.

11 THE COURT: I was just to trying to make sure. The
12 question was, you agree it would be a good idea, and you said
13 yes. And I just wanted to make sure that a good idea that you
14 were referring to was prosecution of doctors who participate
15 in this care.

16 THE WITNESS: If it's -- yes, if they violated the
17 law in doing it, yes, I would agree.

18 MR. MILLER: I have no further questions, Your Honor.

19 THE WITNESS: Redirect?

20 MR. JAZIL: Nothing, Your Honor. Thank you.

21 THE COURT: Thank you, Dr. Lappert. You may step
22 down.

23 Please call your next witness.

24 MR. PERKO: Defense calls Dr. Kristopher Kaliebe.

25 DEPUTY CLERK: Please raise your right hand.

1 Q. What did you do before you came to the University of
2 South Florida?

3 A. Well, for 11 years, I was on staff at the Louisiana State
4 University Health Science Center in New Orleans. So I
5 started off as an assistant professor, and by the time I
6 left, I was promoted to associate professor at LSU. There, I
7 worked mostly in what are called federally qualified health
8 centers. Those are centers where they have to have an
9 underserved or disadvantaged population. I had one clinic
10 that was outside of New Orleans, which is sort of -- it's a
11 primary care setting where you're in a primary care setting,
12 but you're doing psychiatric care.

13 So at that clinic, I saw about 80 percent children and 20
14 percent adults. About five years into being at LSU, we
15 started to do a collaborative care initiative where we would
16 go into family practice docs, pediatricians' offices, and
17 this type of thing. Either you'd beam in via telepsychiatry
18 or you'd do colocated or collaborative care onsite.

19 And you would go in and you would help those people, like
20 handle mental health issues, you know, within primary care.
21 They would still kind of own the patient, but you would be a
22 consultant and help out.

23 In addition to that, I was doing lots of teaching of
24 medical students, psychologists, you know, child psychiatry
25 fellows, general psychiatry. I taught the psychotherapy

1 course for the residents in psychiatry. I was teaching their
2 yearlong CBT course for much of that time. I also worked in
3 juvenile corrections and a little bit in adult corrections
4 during that time.

5 Q. What was your work with the corrections?

6 A. Well, ever since I finished my forensic psychiatry
7 fellowship in 2005, I have worked in juvenile corrections.
8 That includes in detention centers but also in what are
9 called correctional centers, so detentions before you got
10 locked up for a short term and the correctional centers are
11 more longer term. I did do a little bit of adult
12 correctional work also.

13 Q. What did that work involve?

14 A. Well, all work in corrections, you get an assessment,
15 everyone, and a child when they come into the facility gets
16 assessed. So I would do an assessment for everyone at the
17 facility that was under my care, or if I was the only
18 psychiatrist, then it would be everyone. And then you treat,
19 following up everyone on medications and then also some, you
20 may just follow up also for psychotherapies or other stuff,
21 too.

22 Q. Could you please summarize your educational background?

23 A. Sure. I have a BA in biochemistry from Columbia
24 University. I graduated from St. George's University School
25 of Medicine. I went to -- I did my adult or general

1 psychiatry residency at UMDNJ at Newark which is now called
2 Rutgers, so they have changed the name. So that would be
3 Rutgers Newark.

4 I did a child psychiatry fellowship at LSU Health Science
5 Center in New Orleans. I was chief resident during that
6 time. And then I also did a forensic psychiatry fellowship.

7 Q. Have you authored any peer-reviewed publications?

8 A. I believe I have ten peer-reviewed publications.

9 Q. Have you served as a reviewer for any journals?

10 A. Yes. I probably can't remember the names of all of the
11 journals, but I know for Pediatrics and Adolescent Health, I
12 think it is. So probably for maybe about four journals I
13 have done reviews.

14 Q. Are those journals listed on your CV?

15 A. Yes, it should be all on my CV.

16 Q. Are you member of any professional associations?

17 A. Yeah. I'm a member of the American Academy of Psychiatry
18 and the Law. I'm a member of the American Psychiatric
19 Association. And for the American Academy of Child and
20 Adolescent Psychiatry, I was a co-chair of the media
21 committee there from 2013 to 2021.

22 I was the liaison between the American Academy of Child
23 and Adolescent Psychiatry and the American Academy of
24 Pediatrics, I believe from 2015 to 2022. And I'm also a
25 distinguished fellow at the American Academy of Child and

1 Adolescent Psychiatry, which was awarded in 2016.

2 Q. Have you received any awards for your work as a
3 psychiatrist?

4 A. Yes. I was two years out of my residency in 2007, was
5 the first time that I both a Best Doctors' award which is a
6 peer recognition award for physicians. So I've continually
7 received Best Doctors since then. So every year I've
8 continued to get Best Doctors.

9 And I consider it a recognition that I was elected to
10 office within what's called the Louisiana Council for Child
11 Psychiatry. That is the state branch of ACAP, which is the
12 American Academy of Child and Adolescent Psychiatry. I was
13 the secretary-treasurer for a few years, but I was elected
14 president also for two years.

15 Q. Do you have any clinical experience with gender
16 dysphoria?

17 A. Yes, I do.

18 Q. Could you explain what that is?

19 A. Gender dysphoria is a condition where there is an
20 incongruence between someone's gender identity or sense of
21 self and their biological sex. It's a condition where they
22 have intense distress related to that, and that distress
23 causes a problem in functioning somewhere, at work, school,
24 somewhere in their life. It has to be around for at least
25 six months in order to meet criteria.

1 Q. I was really asking: Can you explain your clinical
2 experience?

3 A. Yeah. I can see or treat patients with gender dysphoria
4 in any of the places where I work which would include the
5 adult psychiatry clinics at the University of South Florida.
6 It would include the child clinics at the University of South
7 Florida. It would include within juvenile corrections or in
8 any of my consultation work.

9 Q. And do you keep up with the scientific literature
10 regarding treatments for gender dysphoria?

11 A. Yes, I do.

12 Q. Why is that?

13 A. Well, it's essential, because I see patients, for one, so
14 it's important for me to provide the best care to anyone who
15 comes and sees me. So, of course, I want to be up to date on
16 everything that I do. So I've following it in that regard.

17 Also, as a faculty member who does a lot of teaching, I
18 have residents, you know, medical students, child psychiatry
19 fellows, they all work underneath me, and so I need to be
20 able to know what the literature is and teach them while
21 we're seeing patients.

22 Q. Dr. Kaliebe, did you attach a copy of your curriculum
23 vitae to your expert report in this case?

24 A. I believe I did.

25 Q. Is that a complete and accurate description of your

1 professional experience?

2 A. It may a little dated at this time; but, yes, I believe I
3 submitted one.

4 MR. PERKO: Your Honor, I believe that is on the
5 stipulated exhibit list as Exhibit DX30, and I'd ask it be
6 admitted at this time.

7 THE COURT: DX30 is admitted.

8 (DEFENDANTS' EXHIBIT NO. 30: Received in evidence.)

9 MR. PERKO: And, Your Honor, at this time, we tender
10 Dr. Kaliebe as an expert in psychiatry.

11 THE COURT: Questions at this time?

12 MR. GONZALEZ-PAGAN: Just briefly some questions for
13 voir dire, Your Honor.

14 VOIR DIRE EXAMINATION

15 BY MR. GONZALEZ-PAGAN:

16 Q. Good afternoon, Dr. Kaliebe. Nice to you see you again.

17 A. Uh-huh, good to see you, too.

18 Q. Dr. Kaliebe, you have not published any literature
19 regarding gender dysphoria; is that right?

20 A. That's correct.

21 Q. Or you have not published any literature regarding
22 transgender people; is that right?

23 A. Correct.

24 Q. You have not done any original scientific research with
25 regards to gender dysphoria?

1 A. That's correct.

2 Q. Nor have you done any original scientific research with
3 regards to transgender people?

4 A. Correct.

5 Q. Or gender identity?

6 A. Correct.

7 Q. And you do not provide medical treatment for gender
8 dysphoria?

9 A. Are you saying I do not administer hormones or surgeries?
10 That is correct.

11 Q. And you were deposed in this case, if you recall?

12 A. Correct, yes.

13 Q. Previously you testified that, throughout your career,
14 you have only diagnosed approximately a dozen patients with
15 gender dysphoria.

16 A. Correct.

17 Q. And you have previously testified that some of these
18 dozen patients have gone on to receive gender-affirming
19 medical treatment; is that right?

20 A. Correct.

21 Q. You also testified that you would not be providing any
22 treatment directly addressing this patient's gender dysphoria
23 but rather providing treatment for their comorbidities; is
24 that correct?

25 A. Well, I'm not sure exactly what the question was last

1 time, but I do believe that providing psychotherapy can also
2 help with gender dysphoria. So usually when you have a
3 patient come in, you're just trying to get to know them as
4 best you can and provide the best care that you can. I
5 wouldn't rule out that providing psychotherapy to them helps
6 them also with their gender dysphoria, but I just come in and
7 treat a patient as I see them and try to do the best care
8 that I can.

9 Q. Understood. Thank you.

10 You actually, for regular psychotherapy, you refer your
11 patients out; is that correct?

12 A. Well, I actually do do a lot of psychotherapy myself, and
13 I am quite experienced and well-trained in psychotherapy. It
14 is a tradition in psychiatry that we do export out because we
15 have a lot of patients and only so much time for
16 psychotherapy. It depends what setting and in what
17 situation.

18 So I do do a fair amount of psychotherapy, but I'm also
19 often -- more often referring people out for psychotherapy
20 because there is only so much time that I have and ability to
21 follow up.

22 Q. Dr. Kaliebe, I'm just showing a transcript of your
23 deposition in this case.

24 Do you recall that it was taken on March 20, 2023?

25 A. Yes.

1 Q. And specifically you stated:

2 *I don't know that what we would say we were giving*
3 *therapy for gender dysphoria.*

4 Those are your words, correct?

5 A. Correct. Yes; that's correct.

6 Q. So I guess, are you saying now that you treat gender
7 dysphoria as part of your practice?

8 A. Well, I think when you are giving psychotherapy, you are
9 treating the whole person, and that would include the mix of
10 mental health concerns that they have, and I think that does
11 include gender dysphoria, plus anxiety, depression, ADHD,
12 autism, whatever else are comorbid.

13 So I think -- how I read that question before, are you
14 like particularly -- when you see a patient with gender
15 dysphoria, are you particularly honing in on the gender
16 dysphoria as the thing that you are going to talk about in
17 psychotherapy? I think you do therapy open to whatever will
18 be most helpful. It may delve into issues related to gender
19 dysphoria or it may not, depending on what happens with the
20 patient.

21 Q. Okay. With your dozen patients that you have diagnosed
22 or so, have you specifically sought to address their gender
23 dysphoria with psychotherapy?

24 A. Well, I would say -- I would say, yes, in that, when you
25 are doing general therapy with a patient, especially a

1 younger person, and you're talking to them about all of the
2 issues in their life and exploring what's going on, that that
3 would be and could be addressing their gender dysphoria.
4 Although, when someone has a number of comorbidities, I'm not
5 directly going at their connection between their body and
6 their gender identity and the distress. If it comes to that,
7 and they are willing to talk about that and they want to talk
8 about it, I'm open to do that.

9 Q. But you previously testified that providing treatment for
10 comorbidities doesn't necessarily address a patient's gender
11 dysphoria?

12 A. Correct. It may or may not, yes.

13 MR. GONZALEZ-PAGAN: Your Honor, at this time we
14 would posit that Dr. Kaliebe may be able to testify as to the
15 diagnosis and assessment of gender dysphoria, and otherwise
16 not speak about treatment of gender dysphoria, certainly not
17 medical treatment of gender dysphoria.

18 THE COURT: Well, if we get to particular questions
19 that you think he doesn't have the expertise to address, then
20 object to them.

21 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

22 THE COURT: I did have one question along those same
23 lines.

24 Dr. Kaliebe, you treated 12 patients who have gender
25 identity issues. How many of those were adults and how many

1 children?

2 THE WITNESS: I would have to also add that I have
3 treated a number of patients since then. So the number is
4 now -- that is what I gave at the deposition. But I did pick
5 up that adult clinic, so I have a number more. So I have, you
6 know, maybe four or five adult patients that I have now at
7 least seen or overseen. Mostly it has been child -- I mostly
8 functioned as a child psychiatrist and my child psychiatry
9 clinic has been longer, so it's been mostly child.

10 THE COURT: So most of the 12 were children and --

11 THE WITNESS: And in the child clinic, you age out at
12 18. Sometimes we keep them on if there is something going on.
13 And then in juvenile corrections, you know, I have had people
14 who have aged into being 18 but came in before 18.

15 THE COURT: In talking with the children in the group
16 of 12, I understand you're treating the whole patient. So you
17 are trying to figure out what's going on. And do you
18 sometimes talk specifically about gender identity?

19 THE WITNESS: I would like to get to a place where we
20 are able to talk about it, and I would like to have openings
21 to do that. It kind of depends on how close you are with the
22 patient and what's going on. And I'm often working with
23 others. It's multi-disciplinary team.

24 Like in juvenile corrections, all the patients I work
25 with also have a therapist. So I'm working with their

1 therapist and seeing the person myself. So I will -- I'm
2 willing to open those doors, but if that is a door that the
3 therapist who does see them more often than me -- although, in
4 reality, within a lot of the places I work, I'm actually the
5 most experienced therapist there.

6 So they depend on me to sort of understand what's
7 going on with the patient and make some suggestions where
8 therapy may or may not go. So, yes, I think it would be great
9 to explore those things. I think you don't want to push hard
10 on things that are going to be overly sensitive.

11 THE COURT: That answer was kind of how you ought to
12 do it. I was really asking what you actually did.

13 THE WITNESS: Okay.

14 THE COURT: So of the however many of those 12
15 children, how many did you actually talk about gender identity
16 with?

17 THE WITNESS: Well, when you say "talk about," are
18 you saying -- you know, because there's different depths of
19 talking. Obviously, you do some reviews and find out what's
20 going on. You're talking like more in depth. Is that the
21 question? You're going to just ask questions about those
22 things, just as diagnostically, that's a different --

23 THE COURT: Fair enough. More than just -- I don't
24 know what that distinction would be in real life. You are
25 talking to a patient, and the patient is, say, male assigned

1 at birth and says, "I'm really a girl," I'm guessing you don't
2 just move on. That seems to be a show stopper, and surely you
3 talk about that little bit, right?

4 THE WITNESS: Correct.

5 THE COURT: So I don't understand the differences.
6 Did you really talk about it? Well, yeah, I assume if the
7 child says that, then you really talk about it at least a
8 little bit.

9 My question: Did you talk at least a little bit with
10 how many of those 12 on the subject of gender identity?

11 THE WITNESS: Yes. So I would probably say that, you
12 know, obviously, like I'm saying, we are doing superficial
13 work some of the time, because we are just assessing, they are
14 coming in, I'm working with other people. So I would say four
15 of the group that I know well or have known for years even, so
16 there's four that I have had more in-depth type. If that is
17 what you're saying, more exploratory-type work, yes.

18 THE COURT: So real discussion about gender identity
19 for children.

20 THE WITNESS: Correct, yes.

21 THE COURT: You may proceed.

22 MR. PERKO: Thank you, Your Honor.

23 DIRECT EXAMINATION

24 CONTINUED BY MR. PERKO:

25 Q. Dr. Kaliebe, what were you asked to do in this case?

1 A. I was asked to review the evidence-base regarding gender
2 dysphoria. I was asked to speak about the increase in
3 patients presenting with gender dysphoria. I was asked to
4 talk about the scholarly and scientific dialogue related to
5 gender dysphoria, and I was asked to talk about psychotherapy
6 and other treatments for gender dysphoria.

7 Q. When you said evidence-base for gender dysphoria, did you
8 mean evidence-base for gender-affirming treatments?

9 A. Treatments, yes.

10 Q. What did you do in order to assess the evidence-base
11 supporting gender-affirming treatments?

12 A. Well, I have been actively involved in trying to figure
13 out what is the evidence-base and what the best treatment is.
14 Obviously, it is very complex science. I would say I have
15 reviewed at least 50 papers that are directly related to
16 gender dysphoria treatment. I go to conferences, and I
17 particularly have been trying to see all of the presentations
18 at APA, or the American Psychiatric Association, so that I'm
19 up to date.

20 I have done the online review. I did an online review
21 from the American Psychiatric Association.

22 In terms of reviewing the literature, I, of course,
23 specifically looked at the systematic reviews and some of the
24 other forms of coalescing the research to get a good idea of
25 what the overall evidence-base is.

1 Q. Can you name some of the systematic reviews that you
2 reviewed?

3 A. Well, there were systematic reviews done by Finland, by
4 Sweden, they were done in England, and the Endocrine Society
5 relied on reviews when they were making their
6 recommendations, and then there was the review in the Florida
7 report.

8 Q. What did you conclude about the evidence-base supporting
9 gender-affirming treatments?

10 A. Well, overall the evidence-base is low quality, and that
11 is consistent with all of the reviews.

12 Q. Did you review the report by Brignardello-Petersen and
13 Wiercioch attached to the GAPMS report?

14 A. I did.

15 Q. And what did you conclude from that?

16 A. Well, it was similar to the other reviews in that it
17 looked at -- it used a systematic method to review the
18 evidence, and it did come to the conclusion that the
19 evidence-base was overall low quality.

20 Q. Does the fact that the Brignardello-Petersen report is
21 not peer-reviewed give you any pause for concern?

22 A. No, because for one, the -- one of the authors is a
23 clinical epidemiologist from McMaster University, which is
24 one of the premier, you know, where they developed the GRADE
25 system. And for, two, the conclusions were similar to the

1 other reviews. So it wasn't really much different in terms
2 of the conclusion.

3 Q. You mentioned that you were asked to discuss the recent
4 increase in gender dysphoric diagnosis.

5 Can you please elaborate on that?

6 A. Yes. So the DSM-5, which was published in 2013, rated
7 the incidents of gender dysphoria as 2 to 14 per hundred
8 thousand, in 2013, right? So that's a very low number
9 compared to what the current amount is. And that's
10 consistent with my career. When I was medical school for
11 four years, three psychiatry residences, and 11 years of
12 practice in Louisiana, I didn't have a single patient
13 present, complaining of gender dysphoria.

14 And I worked in multi-disciplinary teams. I consulted
15 with pediatricians. I had medical students, psychologists.
16 No one was seeing patients presenting with gender dysphoria,
17 other than the very rare patient, and it just happened that I
18 didn't get one of those rare patients.

19 And then now more recently, we have patients all of the
20 time coming with gender dysphoria. So something has really
21 significantly changed, and it's quite a puzzle. I had a
22 clinic. I saw two patients with gender dysphoria yesterday.
23 I had a clinic earlier in the year with three patients with
24 gender dysphoria. So after years of not seeing patients with
25 gender dysphoria, now we're seeing a huge increase.

1 Q. What are some of the --

2 THE COURT: I'm sorry. We just went through this,
3 and it was 12 patients and four or five since then. And I
4 asked you some more questions, and you had had a real
5 discussion with four children. And now you say you see them
6 all of the time. I don't get it. If you see them all of the
7 time, how did we not get to more than 16 or 17?

8 THE WITNESS: I was comparing the incidents of what
9 we are seeing now, compared to my whole career up -- for my
10 first 20 years of not seeing a single patient, and now in one
11 clinic seeing two patients or three patients, that's a huge
12 increase from what it was. Maybe the way I said it, it wasn't
13 as eloquent as it could have been, but that's a significant
14 change.

15 THE COURT: Look, I'm going to be the least eloquent
16 guy in the room. I'm not worried about how eloquently you
17 said it. I just didn't seem to understand that. I thought,
18 after the initial questions about your background, when I
19 asked questions, I thought I had nailed this down. Twelve
20 patients until recently. When you took over the adult clinic,
21 you saw four or five more. So that seems to me 16 or 17
22 lifetime.

23 THE WITNESS: Correct.

24 THE COURT: But just a minute ago in response to
25 Mr. Perko's question, you now said -- you didn't say

1 avalanche, but that was sort of it. We are now seeing
2 something along those lines. We're seeing them all of the
3 time. I just thought something you are seeing all of the
4 time, if you have seen 17 in your life, that didn't seem to
5 square. So that's why I stopped to say, what did I miss? I
6 either misunderstood the prior testimony or I misunderstood
7 what you just told me, or I'm misunderstanding something. So
8 what is it?

9 THE WITNESS: Well, when you have a busy, busy
10 career -- I mean, as a resident you see a ton of patients, in
11 medical school you see a lot of patients. You work for a long
12 time not seeing these patients, and then now you're seeing
13 them, something has changed. Now, I don't know --

14 THE COURT: You are seeing them as what, four or five
15 people?

16 THE WITNESS: Well, from a diagnosis that you didn't
17 see at all for 20 years within medicine, it's a significant
18 difference, yes.

19 THE COURT: All right. Got it.

20 THE WITNESS: Twenty years is a lot of time to be
21 practicing psychiatry.

22 THE COURT: Look, I started to tell you when you
23 started, it was evident to me, even before the other side
24 started asking questions about qualifications, you have done a
25 lot of stuff. You are a high energy guy, and I respect that.

1 I was going to tell you -- I was going to bring it up
2 because the court reporter would appreciate it if you slow
3 down a little bit; and, frankly, you are high energy guy. So
4 got it.

5 THE WITNESS: I'll try.

6 BY MR. PERKO:

7 Q. Doctor, what are some of the potential reasons for an
8 increase in gender dysphoria diagnoses?

9 A. Well, as we heard earlier today, any kind of psychiatric
10 diagnosis -- and I think this included -- is a combination
11 of, like, individual factors in the person. But also it's
12 subject to, like, social, family, cultural factors. And,
13 obviously, our genes have not changed in the last 30 years,
14 but our society has quite a lot.

15 And so when you look, when you this rise in patients
16 during that time, you also can see some parallel rises. For
17 one, we just have more kids with depression and anxiety, and
18 I think things have gotten harder for our kids. And so there
19 are more kids that are struggling. We have an opiate
20 epidemic and all sorts of other things that are contributors
21 to kids having problems.

22 Then, in addition, it is clear, if you look at the
23 literature with how stuff spreads through things like social
24 media, there are social factors even with health, even with
25 like heart disease, who you're around, who you spend time

1 with, that very much affects what kind of health you have and
2 what kind of psychiatric or psychological problems.

3 There is even data going back to the Victorian era about
4 how culture and the effects of society and how medicine
5 characterizes illnesses changes how people present their
6 suffering. And so that has changed through the years in
7 different ways based on our diagnoses and views at the time.

8 In addition, we know that these media and social related,
9 what some people would call contagions, have been shown for
10 tic disorders and movement disorders, dissociative identity
11 disorders, eating disorders, self harm, suicidality, all that
12 stuff is in the literature, that those can spread through
13 electronics. So there seems to be some mix of culture and
14 stuff spreads more easily and more these days than it did
15 before. That's my best guess.

16 Q. Doctor, you said you were also asked to comment on the
17 status of the debate about gender-affirming treatments.

18 Could you elaborate?

19 A. Yes. In my opinion the debate is quite dysfunctional.
20 It's become very different from any type of debate that I
21 have ever seen in the medical literature. At some point it
22 seems like the major medical organizations, and I would say
23 in particular the American Academy of Pediatrics, American
24 Psychiatric Association, American Academy of Child and
25 Adolescent Psychiatry, and the Endocrine Society, at some

1 point they decided that gender-affirming treatments were --
2 had a very strong evidence-base and that they also were
3 morally or ethically the right type of treatment.

4 And it seems that since those organizations have come to
5 that conclusion, that they have been really pushing that
6 idea. And when they come out with press releases and when
7 they are in the news promoting that type of treatment,
8 clearly, the editors of their journals know what the major
9 organizations are doing, and it seems that that has shut down
10 the normal -- I mean, a lot of these proclamations from the
11 professional organizations, I keep up with the literature and
12 read it. I didn't see a back and forth in the journals
13 about, well, this is the benefits of this going forward, this
14 is the risk of this going forward. But all of a sudden we
15 were presented with gender-affirming treatments as the only
16 treatment.

17 In addition, like Dr. Levine was saying before, I
18 attended his APA meeting, and I've never seen presenters
19 treated as badly at a medical conference. I mean, it was
20 quite unbelievable. The people who got up to ask questions
21 afterwards were all, you know, heaping negativity and
22 invective on the presenters rather than just asking -- it was
23 a very thoughtful presentation, and it would give you pause
24 to ever want to present at a conference when you see that.

25 Furthermore, you just see that the -- I myself have been

1 trying to get presentations in the -- especially in the child
2 psychiatry realm, and I had one rejected last year. We had a
3 research symposium, which I think in a highly unusual manner
4 was rejected last year.

5 This year I had one of the high up, an M.D. and
6 researcher from Finland, an M.D. and researcher from Sweden,
7 I had a handpicked clinician who is a specialist in gender
8 care from England, and I had the past president of the
9 American Academy of Pediatrics, and I submitted to do a panel
10 at the Child Psychiatry Conference, who says they love to
11 have international work and international presenters, we got
12 rejected.

13 I also asked to present from Sweden the same researcher,
14 from Finland the same researcher, a researcher from England.
15 Again, as a discussant, the former president of the American
16 Academy of Pediatrics, again, shot down.

17 So it just seems that somehow if you're not -- anything
18 is skeptical in your request to be on stage or get to be
19 heard, you get shot down.

20 Q. Dr. Kaliebe, what are the types of psychotherapy and
21 other alternative treatments are there for gender dysphoria?

22 A. Well, I think that, as we heard earlier, there's --
23 psychotherapy is a classic mental health approach. We've
24 been doing psychotherapy for a very long time for all sorts
25 of problems with people.

1 Psychotherapy, such as cognitive behavioral therapy, has
2 been shown to be helpful for anxiety disorders of all types,
3 trauma-related disorders, depressive disorders, personalities
4 disorders, you know, eating disorders.

5 So we have a long history of success with psychotherapy
6 for those disorders. Until recently, there wasn't as large
7 of a population base with gender dysphoria and -- because
8 it's hard to do studies and for many multiple other reasons.
9 It seems like we don't -- we have not yet developed
10 specialized treatments that are psychotherapies or they're
11 kind of in their infancy for gender dysphoria compared to
12 some of those other things that have been shown to be
13 effective. But I don't see any reason that we couldn't come
14 up with effective psychotherapies for -- for that.

15 In addition, there are modern twists on therapy that we
16 could add that I think would make therapies even better.
17 There is a very good evidence-base for mindfulness as a
18 treatment for a number of mental health conditions, and
19 mindfulness is just a mediation where you tune into your
20 body, you get out of the future, you get out of the past.
21 And when you spend time with that type of meditation, it
22 helps you calm. It has very good evidence for depression,
23 anxiety, a number of things. And there are moving
24 mediations, I think Yoga was a particularly good one, that
25 help you get more in touch with your body. And many trauma

1 experts actually really recommend those.

2 So I think we could add on some of these more modern
3 techniques to some of the classic therapies in order to treat
4 gender dysphoria.

5 MR. PERKO: Thank you, Your Honor. I have no further
6 questions.

7 THE COURT: Cross-examine?

8 MR. GONZALEZ-PAGAN: Thank you, Your Honor.

9 CROSS-EXAMINATION

10 BY MR. GONZALEZ-PAGAN:

11 Q. Dr. Kaliebe, you would agree that gender dysphoria is a
12 real condition that requires treatment?

13 A. Correct.

14 Q. You provided some testimony just earlier about the number
15 of people presenting for care. Do you recall that?

16 A. Correct.

17 Q. You previously testified that the fact that more people
18 have been showing up at clinics could be, could be explained
19 by, (a), that the care is more available; and, (b), that more
20 people feel comfortable seeking care; is that correct?

21 A. Yes.

22 Q. You were just discussing some more modern techniques to
23 possibly consider for treatment for gender dysphoria; is that
24 right?

25 A. Yes.

1 Q. Gender dysphoria has been an established diagnosis in
2 2013; is that correct?

3 A. Yes.

4 Q. And gender identity disorder was an established diagnosis
5 from 1980 to 2013; is that correct?

6 A. Yes.

7 Q. And so are you saying that over the last 43 years nobody
8 has studied the use of psychotherapy to treat this diagnosis?

9 A. Correct. I mean, there is some case reviews or minor --
10 I mean, there is some literature, but there is very little
11 literature out there.

12 Q. And with regards to mindfulness, you previously
13 testified -- well, you discuss as part of mindfulness the
14 possible use of yoga in your report as a treatment for gender
15 dysphoria; is that right?

16 A. Yes. It could be a component of treatment, yes.

17 Q. And you have previously testified that yoga has not been
18 shown to effectively resolve any mental health conditions; is
19 that correct?

20 A. Well, I just actually read -- we had a grand rounds
21 presentation last week at USF, and he cited multiple
22 systematic reviews on yoga. And they were quite positive
23 actually. So I could revise my answer that the evidence-base
24 for yoga is actually more impressive than I thought, and
25 those are specifically for depression and anxiety.

1 Q. And they were studying yoga as a treatment for depression
2 and anxiety?

3 A. Correct.

4 Q. And what's the name of the study?

5 A. Well, I don't have it in front of me, but there's two --
6 there's more than one, but there were two systematic reviews
7 that were presented at the grand rounds last Thursday.

8 Q. I'm sorry. I was a little confused. Was it one or was
9 it two?

10 A. There were two different studies that were systematic
11 reviews of yoga that were presented. One of the residents
12 did grand rounds, and he presented. So I don't have the
13 names in front of me. I can easily find them for you, but it
14 was two different systematic reviews that both found quite
15 good results actually.

16 Q. And you had reserved the opportunity to supplement your
17 opinions in this case, right?

18 A. Yes.

19 Q. You did not provide a supplemental report discussing
20 these studies; is that correct?

21 A. No.

22 Q. Okay. You previously testified about social contagion as
23 a possibility to explain the rise in gender dysphoria as you
24 consider it?

25 A. Correct.

1 Q. You've also previously testified that you are
2 hypothesizing on this point; is that right?

3 A. I think the evidence is pretty compelling, but I think it
4 is -- how should I say -- there is -- everything is
5 multifactorial. So I don't know that it's a complete
6 solution, but it seems consistent with the evidence.

7 Q. I'm just asking if it's a hypothesis or a proven
8 phenomenon.

9 A. Well, social contagion itself does seem to be a known and
10 proven phenomenon, and it does -- in my opinion, it is in
11 play in this situation.

12 Q. But is social contagion a proven phenomenon for gender
13 dysphoria?

14 A. Proven?

15 Q. Yes.

16 A. I think that it's debatable. I think it's debatable.

17 Q. So you don't know?

18 A. I believe it to be a component of the rise in gender
19 dysphoria. I think that's consistent with the evidence.

20 Q. I understand that's your belief, Dr. Kaliebe. I'm not
21 trying to be difficult. I'm just asking: Is it a proven
22 thing? Are there any studies documenting it?

23 A. Well, actually, if you look at the most recent
24 Psychiatric Times, Paul Weigle just wrote an article on
25 social contagion. So it's the Psychiatric Times that just

1 came out. And he talks about psychiatric contagion in a
2 number of disorders, and he actually mentions the polls that
3 were done at the American Psychiatric Association where 80
4 percent of doctors at the American Psychiatric Association
5 said that either often or very often they thought that social
6 media had influenced their patients' presentation of gender
7 identity.

8 So I think it's not just me. I think a lot of practicing
9 child psychiatrists believe that.

10 Q. And the Psychiatric Times, that's not a peer-reviewed
11 scientific publication, right?

12 A. No, it's not.

13 Q. You previously testified that --

14 THE COURT: Let me -- let me tell you for -- just a
15 comment in general.

16 I've listened to this again and again, and nobody is
17 objecting, and I usually just sit here quietly. If there was
18 a jury in the box, I wouldn't say a word.

19 If you are going to impeach a witness with what the
20 witness has said previously, first, you have to ask the
21 question. So if he's testifying, and he has not said anything
22 about color the traffic signal is in a case involving a wreck,
23 and he previously told you in a deposition that the light was
24 green, then what you have to do to do this properly is say,
25 what color was the light? And when he says red, you can trot

1 out the deposition where he said green. The right way to do
2 it is not to say, didn't you previously testify that the light
3 was green?

4 Now, I bring that up only because I take it you are
5 trying to impress me. You would do much better if you just
6 asked the witness -- and a lot of times he will tell you the
7 same thing today that he told you at the deposition.

8 MR. GONZALEZ-PAGAN: Understood, Your Honor. I ask
9 for your forgiveness on this.

10 BY MR. GONZALEZ-PAGAN:

11 Q. Dr. Kaliebe, would you agree that in particular small
12 populations that tend to be isolated and/or discrete, tend to
13 turn to social media actually as a way to connect and find
14 one another?

15 A. Yes, I can -- I can definitely concur.

16 Q. During your direct you discussed a little bit some of the
17 opinions and even during our exchange some of the opinions
18 of -- your discussions with regards to other psychiatrists
19 and their experiences. Do you recall that?

20 A. Yes.

21 Q. Would you agree that those conversations are not
22 representative -- are not a representative sample of all
23 childhood adolescent psychiatrists?

24 A. I believe my interactions with child and adolescent
25 psychiatrists is about as representative as any one could be.

1 So, yes, there is no one individual whose their social
2 network or those people that they talked to would totally
3 capture all of child psychiatry. I do know people from very
4 different parts of child psychiatry are quite a varied group,
5 so I believe it's somewhat representative.

6 Q. Dr. Kaliebe, when you were asked:

7 *Your conversations are not a representative sample of all*
8 *childhood adolescent psychiatrists. Would you agree with*
9 *that?*

10 You previously answered: *Correct.*

11 A. Correct, and I still agree with that, but I gave the
12 caveat that I believe that I really know a diverse amount of
13 child psychiatrists. So I'm as representative as you could
14 be kind of. I mean, no one person would be representative
15 of -- their social network could never be representative of
16 the whole.

17 Q. You previously discussed the breakdown in academic
18 debate, if you will, regarding this condition and the
19 treatment thereof; is that right?

20 A. Correct.

21 Q. The Endocrine Society has published letters to the editor
22 that are critical of gender-affirming care.

23 Am I correct on that?

24 A. I believe once or twice, yes.

25 Q. And when asked earlier about your review of the

1 literature, I believe you mentioned that you have reviewed 50
2 papers or so, and that you relied your opinions with regards
3 to the scientific evidence for treatment on the systematic
4 reviews that you had reviewed.

5 Am I understanding your testimony correctly?

6 A. Yes.

7 Q. And you cited to the reports from Sweden and Finland and
8 the report from Brignardello-Petersen; is that right?

9 A. Yes. The systematic reviews are, of course, important.

10 Q. None of those are published peer-reviewed literature; is
11 that correct?

12 A. No. That is correct, although they are -- well, I did
13 mention -- well, I didn't mention, but WPATH themselves had
14 commissioned a systematic review which had similar
15 conclusions, and that is published.

16 Q. But with regard to Sweden and Finland and
17 Brignardello-Petersen, those are not published peer-reviewed
18 literature?

19 A. Correct.

20 Q. And in your report, you only cited to four original
21 studies. Am I correct on that?

22 A. Correct.

23 Q. So you didn't review or at least discuss in your report
24 any original studies beyond those four?

25 A. Well, I only cited some studies. I had read many, many

1 more. So I think I used all of the studies that I know about
2 to help me form my opinion, but I don't have to specifically
3 cite them all, so that's why there was only four.

4 MR. GONZALEZ-PAGAN: No more questions, Your Honor.

5 THE COURT: Redirect?

6 MR. PERKO: No, Your Honor.

7 THE COURT: Dr. Kaliebe, you talked about therapy.
8 We can probably all use therapy from time to time, and I don't
9 doubt its usefulness. That's not the point of the questions.

10 I take it that a goal of therapy -- and I'm talking
11 about psychiatric therapy, the kind of thing you do for
12 patients. The goal of therapy or one goal of therapy is to
13 reduce the patient's distress.

14 THE WITNESS: Correct.

15 THE COURT: And if the patient has distress over
16 gender identity, a goal would be to reduce the patient's
17 stress over gender identity. True?

18 THE WITNESS: Correct.

19 THE COURT: In your view, would a psychiatrist
20 providing that kind of therapy to a person with distress over
21 gender identity have as a goal either, one, reducing the
22 distress by making the person comfortable with a gender
23 identity aligned with sex assigned at birth; or, two, reducing
24 distress by making the person more satisfied, less distressed
25 over identifying as a gender other than the sex assigned at

1 birth; or, three, one of the other of those depending on the
2 individual and the individual's own individual circumstances.

3 So which would be the goal, one, two, or three?

4 THE WITNESS: Well, I don't think the -- I think you
5 could have different goals with different patients, so it may
6 depend on the context of the patient and the person you are
7 seeing.

8 THE COURT: That's probably answer three.

9 THE WITNESS: Well, yeah. And a lot of the way I
10 would answer this question is: Are they still a developing
11 individual? Right? Where if you are still developing, and we
12 don't know what type of person you may eventually become, then
13 I think getting you to come to peace with or accept or maybe
14 even learn to love the body that you have while you are still
15 developing is a laudable goal. And that could be a very good
16 goal for most young people most of the time.

17 And I think you could be explicit about that. I
18 don't think that -- that doesn't necessarily mean to change
19 their gender identity, but more make them comfortable. Many
20 kids are almost disembodied, you know, not in touch with their
21 body.

22 THE COURT: I got all of that. What I'm trying to
23 find out is: Are you okay with number two or -- look, it's
24 perfectly okay to be morally opposed to trans treatment. You
25 talked about the dysfunctional political debate. I don't

1 think I'm treading any new grounds when I say there are people
2 engaged in the political debate who just don't believe there
3 are trans people and don't believe that there is any real
4 gender-identity difference.

5 We had somebody who had joined a brief earlier saying
6 this is false identity. I don't have all the quotes, but they
7 were pretty dramatic. You might have been in the courtroom.

8 And I'm just trying to find out whether that's your
9 view. Are you in camp two? Is it never the proper therapy
10 for the psychiatrist to assist the person in being comfortable
11 with a gender different from the sex assigned at birth?

12 THE WITNESS: Well, what I would say is that there's
13 a -- we don't have enough information, so it's not clear
14 because that's just -- we don't have that science right now.
15 And what I think for a developing person, my reading of the
16 evidence, if you -- when we talk about the Dutch studies, I
17 mean, they are not that impressive, honestly, because they
18 don't map on to most of the populations that we are actually
19 treating these days.

20 So they were like early onset, more male and didn't
21 have a lot of comorbidities, when we're seeing patients with
22 all of these comorbidities and problems. And so I think in an
23 idealized world and in the real world that I live in, it's
24 sort of two different things. The kids I have been seeing, I
25 think really we need to be more --

1 THE COURT: All four of them.

2 THE WITNESS: Well, more in depth perhaps.

3 THE COURT: I'm sorry. I shouldn't have interrupted.

4 Look, I thought that was an easy answer. Yes,
5 sometimes, number two is appropriate; or no, two is not
6 appropriate. You launched into this long explanation, and I
7 think what you told me is, for a developing adolescent, you
8 don't think two is appropriate.

9 THE WITNESS: Yes, I do not believe that we should be
10 doing hormones and surgeries for developing adolescents.

11 THE COURT: My question was therapy. And I think I
12 take it from your answers that you don't think therapy that
13 would make an adolescent comfortable with gender identity
14 different from the sex assigned at birth is ever appropriate.
15 Did I misunderstand it?

16 THE WITNESS: I would say a little bit. I think that
17 we wouldn't have a goal of trying to change someone's gender
18 identity in therapy. So I'm not trying to get to one
19 particular result. It's more you want to -- so if that's the
20 end result that they have a, you know, a gender identity
21 opposite from their natal sex, I am fine with that. I'm not
22 opposed to that.

23 I do think that you would have a leaning towards or
24 it is sort of a better outcome for most kids most of the
25 times, considering the comorbidities and everything going on,

1 that they come to peace with their natal sex because then they
2 don't have all the problems that come from not having that,
3 and the distress from not having that. But I'm okay
4 with -- obviously, there are going to be people that are going
5 to go on and be transgender and not be comfortable with their
6 natal sex, so you could support that.

7 Is that a better answer? We were on different
8 wavelengths, I'm guessing.

9 THE COURT: That's more what I was asking, exactly.

10 Questions to follow up on mine?

11 MR. PERKO: No, Your Honor.

12 MR. GONZALEZ-PAGAN: No, Your Honor.

13 THE COURT: Thank you, Dr. Kaliebe. You may step
14 down.

15 THE WITNESS: Thank you.

16 THE COURT: We are probably coming up on the
17 afternoon break. Where do we stand?

18 MR. JAZIL: Your Honor, we've run out of witnesses.
19 We have Anne Dalton, Matt Brackett, and Dr. Scott left.
20 Dr. Scott was planning on being here. She had a health issue
21 arise, and she'll be available Monday, Your Honor.

22 THE COURT: My mother used to say once is a habit.
23 You let the kid get chocolate milk one night, you are going to
24 be giving out a lot of chocolate milk.

25 Remind me what Dr. Scott says.

1 MR. JAZIL: Dr. Scott is the neuroscientist from the
2 United Kingdom, Your Honor. She talks about the effects of
3 puberty blockers on the brain. I expect her to be a short
4 witness.

5 THE COURT: Is she still in the U.K.?

6 MR. JAZIL: Yes, Your Honor. We are making Zoom
7 arrangements.

8 THE COURT: All right. Tell me what -- one of the
9 things -- when I let the plaintiffs do this, one of the things
10 I noted was you guys are in town so they are the ones being
11 inconvenienced. Now it's the other way around; they are the
12 ones that travel.

13 MR. GONZALEZ-PAGAN: Your Honor, we are happy to
14 accommodate restarting tomorrow with the factual witnesses, if
15 it's okay with the Court.

16 THE COURT: Outstanding. For all the dysfunctional
17 political debate -- and these kind of cases get intense on the
18 two sides, and the fact that all of the parties have been able
19 to deal professionally with one another at the lawyer level is
20 to be commended all the way around. That doesn't mean you
21 should make a habit of not having your witnesses here, but I
22 get it. So she'll be here Monday morning?

23 MR. JAZIL: Yes, Your Honor. By Zoom most likely.
24 She's trying to figure out if she can travel.

25 THE COURT: We're going to put her on by Zoom anyway.

1 Do you need to wait till Monday for the Zoom witness?

2 MR. JAZIL: Your Honor, she's going through a medical
3 emergency, so she's --

4 MR. GONZALEZ-PAGAN: Your Honor, just to clarify, my
5 understanding is we would have the fact witnesses tomorrow.

6 MR. JAZIL: Yes.

7 THE COURT: You don't have the fact witnesses here
8 now?

9 MR. JAZIL: Your Honor, one was in the courtroom and
10 I let him go. I didn't think we would get to him.

11 THE COURT: Okay.

12 MR. JAZIL: I apologize, Your Honor, bad timing on my
13 part. There are two fact witnesses. One fact witness will be
14 very short, Anne Dalton. The other is Matt Brackett, the
15 author of the GAPMS report.

16 THE COURT: All right. We can make all that work.
17 Look, if the Monday witness is a Zoom witness, you need to
18 talk with one another on the two sides. Let's don't have
19 people flying back to Tallahassee for a Zoom. Although --
20 well, let's talk about this. We were going to do closings.
21 We are going to some argument tomorrow morning at the
22 preliminary injunction, but that's not closing in this case.
23 We've got closings coming up, and I really would prefer to do
24 that in person.

25 I can do this. If we have people on the team that

1 don't want to return and be here in person, we can probably
2 set it up so that people could monitor the argument. But
3 anybody that's going to argue and participate, it's just
4 better in person. Let's do it in person. If everybody wants
5 to come, that's fine. I'm not suggesting they shouldn't. I'm
6 just giving you the option.

7 So what we have is two fact witnesses tomorrow, an
8 expert witness Monday maybe in person, maybe by video.

9 MR. JAZIL: Most likely by video.

10 THE COURT: Most likely by video. And then closing
11 argument.

12 MR. JAZIL: Yes, Your Honor.

13 MR. GONZALEZ-PAGAN: Your Honor, if I may, I believe
14 the only other matter is we filed last night the motion to
15 amend.

16 THE COURT: Yeah.

17 MR. GONZALEZ-PAGAN: We were waiting for the official
18 position from --

19 THE COURT: Right. What do you say about the motion
20 for leave to amend?

21 MR. JAZIL: I don't oppose the motion, Your Honor.

22 THE COURT: I'll grant it, and would. Unique
23 circumstances. The rules, of course, allow an amendment right
24 up to and even after trial. I'm not sure I ever let somebody
25 amend other than on some little technical basis during the

1 trial, but it turned out that during the trial the new statute
2 was signed and so it became a statute.

3 I have given you a 15-second reaction the other day.
4 Thinking about it a little more, may stressed it incorrectly,
5 I don't think the challenge to the rule is moot. I do think
6 standing to challenge the rule goes hand-in-hand with the
7 challenge to the statute.

8 If the statute was in place and unchallenged, then
9 the rule wouldn't make any real difference and that would
10 create a standing issue. But with the challenge to the
11 statute, then the standing to challenge of the rule because if
12 the statute got struck down and the rule is still there, you
13 would still have the same adverse situation.

14 So you got standing to challenge both simultaneously.
15 I don't think nominal damages keeps you in the game, but I
16 don't think any of that matters with the statute now having
17 been -- become effective. It took effect immediately, true?

18 MR. JAZIL: Yes, Your Honor.

19 THE COURT: So the statute is in effect. It's
20 properly challenged. We will deal with the preliminary
21 injunction on that in the other case in the morning.

22 What else can we take care of? Everything lined up?
23 I think we've just got the witnesses and then closing
24 arguments.

25 MR. JAZIL: Your Honor, in the other case you also

1 have a TRO now.

2 THE COURT: Same.

3 MR. GONZALEZ-PAGAN: Your Honor, I'm not counsel in
4 the other case, but my co-counsel, Ms. Chriss and Ms. Dunn,
5 are counsel in the other case, so they can speak to that.

6 MS. CHRISS: Yes, we filed the TRO motion.

7 THE COURT: And let me -- while I am thinking about
8 it, hold the thought and let me --

9 In the case we're are here trying, the Dekker case,
10 when I wrote the order after the pretrial conference, I gave a
11 specific date as of which the -- it's in the second case, the
12 Doe case.

13 After the scheduling conference in that case, I wrote
14 an order saying that both sides had agreed to accept the
15 record in the Dekker case as of a specific time. Frankly, I
16 didn't recall whether we said that explicitly on the record
17 when we were talking about it. But as I was putting the order
18 together, it occurred to me that I probably ought to have a
19 set date so we would know exactly what the record was, and the
20 plaintiffs in Doe wouldn't necessarily have seen the evidence
21 that's now come in.

22 Now we have got a lot of evidence that's been taken
23 in Dekker, and so what I wanted to check on was: In the Doe
24 case, for the preliminary injunction tomorrow morning, do you
25 agree that the testimony that's been taken in the Dekker case

1 is part of the record in the Doe case for the preliminary
2 injunction?

3 MS. CHRISS: Yes, Your Honor.

4 MR. JAZIL: Your Honor, one caveat. Since Dr. Scott
5 hasn't testified, I would simply ask the Court to consider her
6 expert report which we attached to the summary judgment
7 motion, because at the preliminary injunction stage --

8 THE COURT: And I think that report would already be
9 covered by the scheduling order I did, because that was part
10 of the record already as of whatever that date was. So, yes,
11 I will consider Dr. Scott's report. And for that matter, if I
12 don't rule before the end of the testimony in this case, I
13 would suggest that Dr. Scott's live testimony ought to be
14 included as well and also the two witnesses you put on
15 tomorrow morning.

16 Does that work?

17 MR. JAZIL: It works for me, Your Honor.

18 MS. CHRISS: Yes, Your Honor.

19 THE COURT: One of the questions I'm going to ask in
20 the morning, and since you are here, you can probably tell me
21 the answer right now:

22 What's going to happen, if anything, between tomorrow
23 morning and Monday afternoon when we have closing arguments
24 or, for that matter, the rest of the week, if I can get a
25 ruling out next week? Is there any reason why you need a

1 ruling tomorrow morning as opposed to Monday afternoon as
2 opposed to next Friday?

3 MS. CHRISS: Are you specifically talking about the
4 preliminary injunction?

5 THE COURT: Yes.

6 MS. CHRISS: I mean, the same issues that you are
7 already aware of that we briefed in terms of our plaintiffs
8 are not able to access care that they need right now, two of
9 our plaintiffs have started puberty and need to be prescribed
10 hormones, are currently on blockers and are unable to be
11 prescribed. So the longer they wait, the more harm accrues,
12 but I don't think Friday or Monday is --

13 THE COURT: You can tell me more in the morning when
14 you check on it. Here's my understanding of meds:

15 Sometimes you get a prescription, and it's good for
16 the next three months, and then you get it refilled and -- or
17 one month and you get it refilled.

18 So the question is, are you going to miss a refilling
19 between tomorrow and a week from tomorrow, or is the timing
20 such that that seven days doesn't matter?

21 MS. CHRISS: With respect to the two plaintiffs who
22 are facing the most imminent harm, it's a new prescription, so
23 they have not yet been prescribed hormones. They have been on
24 blockers, and their physicians have deemed them ready to start
25 hormones. And the only thing precluding that initial

1 prescription which could be written any time is the rule still
2 being in effect. But then we face the same issue with the
3 statute codifying the rule.

4 THE COURT: And I take it that the time to start this
5 is a physician's judgment, but it's not an exact science. So
6 whether it's the 19th or the 26th is just kind of a judgment
7 call and probably not going to make all the difference.

8 MS. CHRISS: I would not disagree with that,
9 Your Honor. I just want to reiterate the harm that these
10 children are facing.

11 THE COURT: All right. And I ask partly because I've
12 got to do my schedule. I've got a lot of work to do.

13 MS. CHRISS: Understood.

14 THE COURT: And the answer is the sooner, the better.
15 Got it.

16 What else?

17 MR. JAZIL: Your Honor, should I be prepared to argue
18 the TRO as well?

19 THE COURT: I don't know that the TRO is any
20 different from the preliminary injunction. I think it's
21 the -- it's the same thing.

22 MR. JAZIL: Should I be working --

23 THE COURT: I was on the rules committee in Florida
24 very briefly back decades ago when Florida went back and
25 changed it to only a single temporary injunction instead of a

1 TRO and preliminary injunction.

2 TROs are different if they're done without notice
3 but, of course, we are not dealing with that here. There is
4 no reason for a TRO. We are going to have a full extensive
5 evidentiary record, and I'm going to make a ruling on a
6 preliminary injunction. If I called it a temporary
7 restraining order, you'd just have to go fight about whether
8 that made it appealable or whether it had the 14 plus 14
9 limit.

10 In all practical respects, it's a preliminary
11 injunction up or down. So I plan to have one ruling, and it
12 won't matter that it's cast both ways.

13 MR. JAZIL: Your Honor, I haven't gone back and
14 looked at the amended pleadings yet. My understanding is that
15 the criminal liability provision is also being challenged. I
16 just note that I don't speak for the State Attorneys who would
17 be enforcing that position. I hate to add another wrinkle,
18 but --

19 THE COURT: That's down the list of things to worry
20 about. The biggest thing we need to worry about is whether
21 the plaintiffs have a right to this treatment.

22 MR. GONZALEZ-PAGAN: Your Honor, if I may, one
23 question, just to clarify, given that there's the amendment
24 that occurred and the TRO has been filed as well, is the Court
25 still intending for trial to begin at ten? We just want to

1 some clarity.

2 THE COURT: For the trial what, to begin at ten?

3 MR. GONZALEZ-PAGAN: Tomorrow.

4 THE COURT: Is that what I said?

5 MR. JAZIL: I thought it was nine.

6 THE COURT: I thought we were going to have the
7 argument at 8:30.

8 MS. CHRISS: 8:30, yes.

9 THE COURT: 8:30 is when we said we're going to have
10 the argument.

11 MR. GONZALEZ-PAGAN: For the Doe case.

12 THE COURT: Right.

13 MR. GONZALEZ-PAGAN: So for the trial in this case,
14 are we -- should we just get here at nine and be ready to
15 begin? I'm just trying to get some guidance from the Court on
16 that.

17 THE COURT: Well, I would think you would be
18 interested, and it's a public hearing. Aside from that,
19 somebody -- I guess the courtroom deputy said should she set a
20 time limit in the notice of hearing. We didn't do that.

21 My off-the-top-of-my-head thought was half an hour a
22 side ought to be fine. I'll have read the materials but, you
23 know, we will get into this, some exchange.

24 MR. GONZALEZ-PAGAN: We will be here.

25 THE COURT: I used to tell people when they were

1 asking for more time that you've already had more time than
2 you would get if this was argued in the United States Supreme
3 Court. Then the Supreme Court went and started going on for a
4 long time, so that doesn't work as well anymore. But it seems
5 to me half hour a side is what we are talking about.

6 Mr. Jazil, you raised the question about notice to
7 the state and all those things. I didn't look back at the
8 rule, but I think when you've got an official capacity
9 defendant, you're probably there. They probably have to serve
10 the AG, Attorney General. I don't know if you've done that.

11 MS. CHRISS: So, Your Honor, we discussed the motion
12 to amend -- for leave to amend the other complaint. But for
13 this complaint, if we have leave to amend, then we can go
14 ahead and issue the summons and serve the new defendants.

15 THE COURT: Do you even need leave to amend? Can't
16 you just amend?

17 MS. CHRISS: This is our second amended complaint,
18 Your Honor.

19 THE COURT: Ah, you do need leave to amend.

20 Any reason they shouldn't get leave?

21 MR. JAZIL: In the Doe case, Your Honor, I don't
22 oppose the motion.

23 THE COURT: So leave is granted. And, yeah, you can
24 proceed to serve it. I don't think that is going to affect
25 anything we are doing in the morning. I assume that Mr. Jazil

1 will be defending that case as well.

2 MR. JAZIL: As best I can, Your Honor.

3 THE COURT: Maybe depends on the ruling, who knows.

4 Nobody thought that was funny. Obviously it wasn't.

5 When the judge tells a joke and nobody laughs, it's really not
6 funny.

7 MR. JAZIL: He was just reminded me, Your Honor, to
8 do something which I've already done.

9 THE COURT: All right. Very good. I'll see you
10 tomorrow morning, same place, 8:30.

11 *(The proceedings adjourned at 3:10 p.m.)*

12 *(The proceedings resumed at 3:14 p.m.)*

13 THE COURT: Please be seated.

14 I didn't handle, Mr. Jazil, your question as well as
15 I should have, and so I -- because I didn't have the context
16 back.

17 The Attorney General and the State Attorneys have
18 just been joined. So the TRO, preliminary injunction question
19 becomes more important as to them and who is representing them
20 becomes more important.

21 We've had various ones of these cases. Sometimes I
22 think you've represented the State, but sometimes the Attorney
23 General has other lawyers, too. And I guess what you are
24 telling me is you're not sure what's going to happen here.

25 MR. JAZIL: No, Your Honor, I'm not sure. In the

1 past what's happened is the Attorney General's Office approves
2 that I speak for them, and I just haven't had those
3 conversations yet.

4 THE COURT: And often somebody in the Attorney
5 General's Office has been on the pleadings.

6 MR. JAZIL: Yes, Your Honor, like Bill Stafford or
7 others from the complex litigation division.

8 THE COURT: I'm trying to go back quickly and recall.
9 We have had cases with the State Attorneys or others with --
10 your Jacobson case now gets everybody sued all over the state.
11 So I've probably had school boards and election cases. You
12 get the supervisors and the canvassing boards, and many times
13 those folks have hired their own lawyers.

14 MR. JAZIL: Yes, Your Honor.

15 THE COURT: So part of this is the State Attorneys,
16 and sometimes it gets to be a standing case and sometimes it's
17 just a question of what do you really need to make this
18 happen.

19 You talked about getting the Attorney General served.
20 You're not going to get -- there must be 20 State Attorneys?

21 MR. JAZIL: 22, I think.

22 MS. CHRISS: It's 20, Your Honor, looking at names.

23 THE COURT: And so tomorrow morning, I mean, do they
24 know? Have you told the people?

25 MS. CHRISS: Not yet. I was hoping to talk to

1 Mr. Jazil whether they would accept service or representing
2 them or --

3 THE COURT: I think in one of these cases -- I'm
4 trying to remember what the issue was because I only had it
5 secondarily. But I know from the Warren case that he was the
6 State Attorney in Hillsborough. He and all the other State
7 Attorneys had been sued for something, and they entered an
8 agreement that they would abide by the result, and they were
9 all dismissed from the case. I think I'm remembering that
10 correctly.

11 Whether that's something that can be done here or
12 not, what you need to do to simplify this -- I'm not
13 suggesting it, I'm just telling you that I know that at least
14 in one other case underlying -- or discussed in the Warren
15 versus DeSantis case that had come up. It may have been an
16 election case.

17 Mr. Jazil, do you remember what the underlying case
18 was, where they entered the agreement?

19 MR. JAZIL: Yes, Your Honor. There are several. In
20 the election cases, the trend has now been there is a subset
21 of the 67 supervisors of elections who entered into an
22 agreement saying we will abide by whatever the Court decides.
23 Just don't come after us for fees under 42 USC 1988.

24 THE COURT: That's supervisors.

25 MR. JAZIL: Yes, Your Honor.

1 THE COURT: How about State Attorneys?

2 MR. JAZIL: State Attorneys have taken the position
3 in other cases, Your Honor, saying that we will abide by
4 whatever the Court decides. We will serve as nominal
5 defendants and they move on.

6 I can't recall the State Attorneys being sued in
7 election cases. I think --

8 THE COURT: That could have been an abortion-related
9 case. Somehow it came up in Warren versus DeSantis. An
10 abortion case in --

11 Oh, that's what it was. It was the challenge to the
12 abortion statute under the Florida constitution in Florida
13 State Court, and I think the State Attorneys must have been in
14 agreement that they would abide by the ruling, and so they got
15 dismissed from the case.

16 Different standing issue, of course, in state court
17 than in federal court. Some of that may be down the road, but
18 the question is tomorrow morning we're going to have a
19 hearing. There are going to be parties to the case that will
20 have at most about 16 hours notice of the hearing. And so
21 that brings the TRO back into play.

22 You can tell me now or you can address it in the
23 morning, but part of the question is: What are we going to
24 accomplish here? You've got doctors in Florida who want to
25 write this prescription, but you may need to find out what

1 your doctor is willing to do.

2 MS. CHRISS: So if I may, Your Honor, I will note
3 that part of what we challenged in the TRO, the provision of
4 SP-245, Section 4, there's the ban on providing the care,
5 which basically just codifies the Boards of Medicine and
6 Osteopathic Medicine. And in fact, it gives the authority
7 to the -- the unfortunate authority to the Boards of Medicine
8 and Osteopathic Medicine to create emergency rules
9 implementing SP-254, Section 4.

10 Then there is a provision that, if the doctor
11 violates that provision, they can be held criminally liable.
12 But I may be wrong and might need to think more about this,
13 but since Mr. Jazil represents the boards and they are
14 responsible for one of the provisions at issue here, if that
15 were enjoined, I don't know that the criminal penalty would
16 come into play.

17 THE COURT: Do you think the crime is only violated a
18 rule that has not yet been adopted?

19 MS. CHRISS: I will -- I will think more and opine on
20 that tomorrow, if that's okay.

21 THE COURT: Okay. You might want to check if it's
22 feasible with your doctor to see what the doctor is going to
23 need; because, frankly, if the doctor is not going to do it
24 anyway, I'm certainly not going to enter an injunction telling
25 the doctor to do anything, and the doctor is not a party to

1 the case. If the doctor is not going to do it anyway, then
2 that's a whole different problem.

3 MS. CHRISS: Understood, Your Honor. We can
4 definitely speak with them.

5 THE COURT: All right. I came back in. I don't
6 think I accomplished anything other than to note the issue
7 that we will need to clean up in the morning.

8 MR. GONZALEZ-PAGAN: Your Honor, if I might, just for
9 clarity of the record, I believe the entire colloquy pertains
10 to the Doe case and not this case. But I just wanted to
11 clarify that.

12 THE COURT: It did.

13 MR. GONZALEZ-PAGAN: We are not adding any new
14 parties.

15 THE COURT: Well, you need to think through whether
16 you have a standing issue when you don't add additional
17 parties. I don't know if you've looked back at the Jacobson
18 case, but Mr. Jazil was in the case and I was not. It wasn't
19 my case, so I'm not sure I'll describe it perfectly but it
20 does come up again and again.

21 Here's the brief description: It was a challenge to
22 an election provision, I think the order of the parties on the
23 ballot. So the plaintiffs sued the Secretary of State who is
24 the chief election officer, probably not a precise
25 description, and another district judge in this district

1 entered an injunction about the order of the candidates on the
2 ballot. The State appealed. The State didn't raise standing
3 in the trial court, didn't raise standing in the brief on
4 appeal. Said in oral argument, oh, there's a standing issue.
5 Issues an opinion vacating the injunction. No standing.

6 So don't go thinking because I haven't addressed
7 standing or the defense hasn't addressed standing that that
8 means you don't have a standing problem.

9 MR. GONZALEZ-PAGAN: Yes.

10 THE COURT: And if what you're asking for in the
11 Dekker case in your amended complaint, and I haven't -- I have
12 been through it but I haven't studied it. But essentially
13 what you are asking for is an injunction that would allow the
14 plaintiffs to get the medical care that they and their parents
15 and their doctor think they need. If it's going to be a crime
16 for a doctor to provide that care, you need to think about
17 whether you have to have somebody with criminal enforcement
18 authority like the State Attorneys as defendants. Because at
19 least if I understand the law of the Circuit, if the
20 injunction wouldn't compel the relevant actors to do what it
21 is you are trying to have done, then you don't have standing.

22 MR. GONZALEZ-PAGAN: Understood, Your Honor. We are
23 happy to review that Jacobson case, and we did have a motion
24 to amend, but we're happy to review that case and provide
25 further briefing and argument.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: And I'll confess, I went through the motion for leave to amend pretty quickly because I anticipated -- correctly, as it turns out -- that the defense probably wasn't going to contest it. And so as I said, I did read through your order, but I'm not sure I can pass the test on your motion.

MR. GONZALEZ-PAGAN: We'll make sure we're here.

THE COURT: Very good. This time I really mean it. We are adjourned for the day. I will see you at 8:30 in the morning.

(The proceedings adjourned at 3:26 p.m.)

* * * * *

I certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter. Any redaction of personal data identifiers pursuant to the Judicial Conference Policy on Privacy are noted within the transcript.

Judy A. Gagnon
Judy A. Gagnon, RMR, FCRR
Registered Merit Reporter

5/18/2023
Date

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESSES FOR THE DEFENSE: PAGE

STEPHEN B. LEVINE, DEFENSE WITNESS, DULY SWORN

DIRECT EXAMINATION BY MR. PERKO.....	966
CROSS-EXAMINATION BY MR. CHARLES.....	992
REDIRECT EXAMINATION BY MR. PERKO.....	1023

PATRICK LAPPERT

DIRECT EXAMINATION BY MR. JAZIL.....	1058
VOIR DIRE EXAMINATION BY MR. MILLER.....	1070
CROSS-EXAMINATION BY MR. MILLER.....	1087

KRISTOPHER EDWARD KALIEBE

DIRECT EXAMINATION BY MR. PERKO.....	1095
VOIR DIRE EXAMINATION BY MR. GONZALEZ-PAGAN.....	1101
CROSS-EXAMINATION BY MR. GONZALEZ-PAGAN.....	1119

* * * * *

DEFENSE EXHIBITS

<u>NO.:</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
30	Kristopher Kaliebe's Curriculum Vitae	1101
32	Stephen B. Levine's Curriculum Vitae	970

* * * * *